

2 PATRICK M. RYAN, United States Attorney for the
3 District of Oklahoma, 210 West Park Avenue, Suite 400, Oklahoma
4 City, Oklahoma, 73102, appearing for the plaintiff.

5 SEAN CONNELLY, LARRY A. MACKEY, BETH WILKINSON, SCOTT
6 MENDELOFF, and JAMIE ORENSTEIN, Special Attorneys to the U.S.
7 Attorney General, 210 West Park Avenue, Suite 400, Oklahoma
8 City, Oklahoma, 73102, appearing for the plaintiff.

9 STEPHEN JONES and ROBERT NIGH, JR., Attorneys at Law,
10 Jones, Wyatt & Roberts, 114 East Broadway, Suite 100, Post
11 Office Box 472, Enid, Oklahoma, 73702-0472; JERALYN MERRITT,
12 303 East 17th Avenue, Suite 400, Denver, Colorado, 80203;
13 CHERYL A. RAMSEY, Attorney at Law, Szlichta and Ramsey, 8 Main
14 Place, Post Office Box 1206, Stillwater, Oklahoma, 74076; and
15 CHRISTOPHER L. TRITICO, Attorney at Law, Essmyer, Tritico &
16 Clary, 4300 Scotland, Houston, Texas, 77007, appearing for
17 Defendant McVeigh.

18 MICHAEL E. TIGAR, RONALD G. WOODS, ADAM THURSCHELL,
19 REID NEUREITER, and JANE TIGAR, Attorneys at Law, 1120 Lincoln
20 Street, Suite 1308, Denver, Colorado, 80203, appearing for
21 Defendant Nichols.

22 * * * * *

23

24

25

1 PROCEEDINGS

2 (In open court at 9:00 a.m.)

3 THE COURT: Be seated, please.

4 This is 96-CR-68, United States against Timothy James
5 McVeigh and Terry Lynn Nichols. We're here for oral argument
6 on motions that have been filed that relate to opinion

7 witnesses; and the issue, as I've defined it previously, is
8 what is the meaning of Daubert vs. Dow Merrell, the Supreme
9 Court opinion, and how does it apply to the expected testimony
10 from witnesses in this case.

11 So for the Government.

12 MR. MACKEY: Good morning, your Honor. Larry Mackey
13 on behalf of the United States. With me is Pat Ryan, who will
14 present argument concerning the statewide jury pool; Sean
15 Connelly; Jamie Orenstein, who will argue on behalf of the
16 United States concerning the Daubert pleadings; Scott Mendeloff
17 and Beth Wilkinson.

18 THE COURT: Thank you. And for Mr. McVeigh,
19 Mr. Jones?

20 MR. JONES: Good morning, your Honor. Stephen Jones
21 on behalf of Mr. McVeigh, who is present in court. To my
22 right, Jeri Merritt, who will argue the motion with respect to
23 Daubert. I will address our motion with respect to the
24 statewide jury pool; and on Ms. Merritt's right, Mr. Rob Nigh;
25 and on his right, I'm pleased to present on the Court two new

4

1 members of the defense trial team that the Court has
2 authorized, Cheryl Ramsey, a former Assistant Attorney General
3 of Oklahoma and judge of the district court, and Mr. Chris
4 Tritico of Houston, Texas.

5 THE COURT: And Mr. McVeigh is present.

6 MR. JONES: He is.

7 THE COURT: Mr. Tigar.

8 MR. TIGAR: Michael Tigar on behalf of Terry Lynn
9 Nichols. Mr. Nichols is present in court. With me are Ronald
10 Woods, Reid Neureiter, Adam Thurschwell and Jane Tigar.

11 THE COURT: Thank you.

12 MR. TIGAR: Your Honor, pursuant to a prior order of
13 the Court, our case agent, Mr. Killam, is in court today. I
14 wonder if the Court would also permit attendance by a
15 prospective expert; that is, a person who is not a fact expert,
16 who we have asked to observe the Daubert argument in connection
17 with potentially helping us on these issues; that is to say,
18 he's not a fact witness, and I do not think he falls within
19 your Honor's 615 order. But I thought in light of all that's
20 gone on, I should ask.

21 THE COURT: And what is his name?

22 MR. TIGAR: I would prefer not to reveal his name at
23 this time, your Honor, because we haven't endorsed him as yet.
24 He will have one of our passes when he arrives, if that's
25 acceptable to the Court.

5

1 THE COURT: No, it isn't. Either reveal his name so
2 it's recorded of record that he's been present, or exclude him.

3 MR. TIGAR: All right. His name is Dr. James S.
4 Gordon.

5 THE COURT: Thank you. Any objection to Dr. Gordon
6 being here?

7 MR. MACKEY: None, your Honor.

8 THE COURT: All right. Now -- and Counsel have
9 correctly noted I did not announce, in announcing the hearing,
10 that we will also hear the motion by Mr. McVeigh's counsel for
11 the use of a state-wide jury pool for the selection of the jury
12 for his trial.

13 In reviewing the papers that have been filed with
14 respect to this Daubert issue, I noted that in the beginning

15 there were some differences between counsel for Mr. McVeigh and
16 for Mr. Nichols as to what was being contested; and then the
17 Government's response indicated that the issues with respect to
18 hair and fiber comparisons may be moot because maybe those --
19 such testimony was not going to be offered in Mr. McVeigh's
20 trial; so I think we ought to find out what the Government's
21 position is on that so we know whether that type of issue is an
22 issue.

23 MR. ORENSTEIN: Judge, consistent with what we said in
24 our earlier pleading, the witness may be called to testify; and
25 if the Court is unwilling to reserve that part of the issue

6

1 until trial, until such time as he's called or not, then we
2 should go ahead and discuss it today. We're not prepared at
3 this point to say he will definitely not be called as a
4 witness.

5 THE COURT: Well, one of them is hair and one of them
6 is fiber, and it's the same witness.

7 MR. ORENSTEIN: Yes. Agent Fram will testify about
8 both hair and fiber.

9 THE COURT: So we'll discuss that. And here,
10 Mr. Jones, I wasn't -- well, Ms. Merritt, I wasn't too clear as
11 to whether that's being challenged.

12 MS. MERRITT: Your Honor, we're challenging the hair
13 and fiber; but the hair we're challenging under the first prong
14 of Daubert, saying it's not a science, and the fiber we're
15 challenging under the second prong as to how the methodologies
16 and procedures were utilized in this case; and we joined in
17 Mr. Tigar's objections as well.

18 THE COURT: So are you saying that there is a
19 scientific basis for making fiber comparisons but not for hair?

20 MS. MERRITT: Yes. There can be. If it's done
21 properly, fiber testing -- microscopic fiber testing is
22 scientific. We're not challenging it on that basis, just how
23 it was done in this particular case.

24 THE COURT: Well, we're limiting this this morning to
25 the question of the scope of Daubert and its applicability to

7

1 these particular methodologies, tests, and results.

2 MS. MERRITT: Right; and I wasn't planning on
3 addressing the fiber today for that reason.

4 THE COURT: And, Mr. Tigar, you have objected to that
5 on the Daubert grounds; but I don't think we need to hear it,
6 then, since we're preparing for Mr. McVeigh's trial.

7 MR. TIGAR: All right, your Honor. We have -- we've
8 made our position clear on our papers, and I will not address
9 it when we argue to the Court.

10 THE COURT: All right.

11 Well, we'll begin with Mr. McVeigh's counsel,
12 Ms. Merritt.

13 DEFENDANT MCVEIGH'S ARGUMENT RE HANDWRITING AND HAIR EVIDENCE

14 MS. MERRITT: Good morning, your Honor.

15 THE COURT: Good morning.

16 MS. MERRITT: Your Honor, I think that it's clear that
17 Rule 702 contemplates some degree of regulation of the subjects
18 and theories about which an expert can testify. Rule 702 says
19 that if it is scientific, technical, or other specialized
20 knowledge that will assist the trier of fact to understand the
21 evidence or to determine a fact in issue, an expert can testify
22 to it. Clearly, the first requirement for expert testimony
23 under Daubert is that the subject matter of the testimony

24 constitute knowledge. If the subject matter or theory about
25 which the expert wants to testify is not knowledge, it doesn't

8

1 matter whether it's specialized or technical, and it doesn't
2 matter whether it would have assisted the trier of fact. If
3 it's not knowledge, then it is not admissible under Rule 702.

4 THE COURT: Well, I don't agree with that. I don't
5 think that's what Daubert says.

6 MS. MERRITT: Well --

7 THE COURT: Daubert talks about scientific knowledge.

8 The Rule includes things other than scientific knowledge.

9 MS. MERRITT: Correct.

10 THE COURT: Including skills.

11 MS. MERRITT: Correct.

12 THE COURT: Including experience.

13 MS. MERRITT: Correct.

14 THE COURT: And that's where I think the problem lies:
15 that Daubert has been exaggerated way beyond anything that was
16 intended by it to make some kind of scientific verification
17 necessary before anybody expresses an opinion.

18 Now, I think that there is much to be said for this
19 opinion -- and I pronounce it Starzeckpyzel.

20 MS. MERRITT: Starzeckpyzel.

21 THE COURT: All right -- from the Southern District of
22 New York; and you like the first part of it.

23 MS. MERRITT: That's right.

24 THE COURT: Yeah. But you part company when the judge
25 makes this very same distinction that I'm suggesting.

9

1 MS. MERRITT: Sort of. I am not disagreeing with the
2 Court. I agree that if it is specialized or technical
3 knowledge, it can come in. I am not saying that handwriting,
4 if it were specialized or technical knowledge, could not come
5 in. What I'm saying is a little bit of a nuance on that, and
6 I'll explain it further in a minute. But what I'm saying is
7 that it is not knowledge as "knowledge" is defined commonly.
8 And because it is not knowledge, it doesn't -- "knowledge,"
9 when we get into the definitions, we'll see that all of the
10 dictionaries define "knowledge" essentially as something that
11 is factual, truthful, or capable of verification. In fact,
12 Daubert even defines "knowledge."

13 THE COURT: Well, doesn't it all depend on what the
14 opinion is and how it's expressed? I mean there is a great
15 difference between a witness who has the requisite training and
16 skill saying, "Look, I've compared this handwriting on this
17 exhibit with this exemplar and I've used the techniques of
18 microscoping and, you know, all of those things that are often
19 involved in that kind of a comparison, and these are the things
20 I find, and I see these similarities and these dissimilarities
21 and so forth" but does not go on to reach any sort of ultimate
22 conclusion that this was written by the same person or
23 expresses some probability or degree of confidence.

24 The problem with -- and the literature is full of it,
25 and you've cited it -- the problem with handwriting is that

1 there is no testing of the -- no verification-type testing of
2 these opinion results; and in addition, there has never been
3 within the discipline of people who practice this skill --
4 there has never been any agreement on how to express the

|||||
6 Therefore, it seems to me that we should draw the distinction
7 between somebody getting on the stand and saying, Yeah, written
8 by the same person, or no, not written by the same person, vs.
9 these are the similarities or these are the dissimilarities;
10 and the jury can decide.

11 MS. MERRITT: I understand what the Court is saying,

|||||
13 expert to do that; whether or not the jury isn't fully capable
14 of doing that on their own looking --

15 THE COURT: Well, we're not going to pass on the
16 microscopes to the jury and do all of the kinds of things that
17 these folks do routinely; that is, the handwriting comparison
18 people.

|||||
20 there is no problem with that, of course; that the jury can
21 make its own comparisons, both in the jury room and, for that
22 matter, initially in the courtroom. But it is quite a
23 different thing to look at it with the same eye, magnification,
24 sometimes chemical tests of what kind of ink and all that kind
25 of stuff that we hear about.

11

1 MS. MERRITT: That's true; and the court draws another
2 distinction between what kind of identification or handwriting
3 analysis is being performed; and in this case we're talking
4 about handwriting identification analysis, we're talk --

5 THE COURT: I don't like the word "analysis," you
6 know. I've heard that used by these people who try to
7 determine somebody's personality and character from
8 handwriting, and that's a different --

9 MS. MERRITT: Right. That's graphology.

10 THE COURT: Yeah. Different thing.

11 MS. MERRITT: I won't use that word. I'm trying not

12 to use the word "experts," because I don't like to call them
13 experts.

14 THE COURT: I don't either. It's opinion testimony,
15 and opinion testimony is what 702 is all about. And to begin
16 with, in determining the admissibility of the opinions under
17 702, it seems to me you start with what is the opinion being
18 offered and work back from that.

19 MS. MERRITT: I believe the opinions being offered in
20 this case relate to handwriting identification; that is, the
21 authorship of certain documents, so that the testing of dyes or
22 imprints and the things for which you use scientific equipment
23 probably will not be at issue. It will be a question of an
24 expert testifying that he reviewed what are called known
25 documents; and after that, he reviewed what were called the

12

1 questioned documents and he looked for the similarities within
2 the known document and then he looked at the questioned
3 documents; and then he compared them to see if there were a
4 sufficient number of similar characteristics to make a
5 conclusion and seeing whether or not there was a significant
6 difference between the documents.

7 THE COURT: All right. Now, if that testimony stops
8 short of the conclusion, do you object to it?

9 MS. MERRITT: Yes.

10 THE COURT: Why?

11 MS. MERRITT: I object to it because it is too
12 subjective. If you will -- and when we question these
13 handwriting experts on the stand, you will see that they cannot
14 answer basic questions that they should be able to answer, such
15 as how many differences does it take before you will say that
16 this is an irreconcilable, significant difference. How --

17 THE COURT: That's the point I made earlier; that
18 there are no standards.

19 MS. MERRITT: And because there are no standards, it's
20 not verifiable and it's not testable and it's not fact and it's
21 not knowledge.

22 THE COURT: Well, the similarities and the
23 dissimilarities are different from that. That's my point. I
24 don't see anything wrong with a witness who has the necessary
25 equipment and skill saying, These are the similarities and the

13

1 dissimilarities.

2 The ultimate inference of what that means is an issue
3 for the jury; and I don't think that's the proper subject of
4 opinion.

5 MS. MERRITT: True, but then you also get into -- if
6 you take that attitude, then you get into the aspect of Rule
7 403: If the Government's FBI agent comes up and testifies as
8 to the similarities and the characteristics and how many there
9 are, is the jury going to place too much weight on that even
10 though he has not been -- he hasn't given the conclusion? And
11 that's --

12 THE COURT: Not with an adequate cautionary -- you
13 know, that's exactly what the judge talked about here in
14 New York: A cautionary instruction, limiting the jury's
15 consideration of it so they don't think that we're talking
16 about some scientific knowledge.

17 MS. MERRITT: I understand. That is what the judge in
18 Starzeckpyzel did, but he also made another observation that I
19 don't think is valid; and if I can go to Starzeckpyzel for a
20 minute, what he decided in that case was that handwriting

21 opinion testimony is not scientific evidence under Daubert; and
22 as the Court noted earlier, I completely agree with that.

23 THE COURT: Scientific knowledge.

24 MS. MERRITT: Correct. But then he went on to say
25 that he believed it constituted either technical or other

14

1 specialized knowledge and therefore it could come in under Rule
2 702 and because the Daubert factors did not apply. And in
3 doing so, he gave an example; and one of the examples he gave
4 was that of the harbor pilot.

5 THE COURT: Yeah. I don't think it's a very good
6 example.

7 MS. MERRITT: Neither do I.

8 THE COURT: Except, I guess, if the guy made 100 trips
9 and didn't run into another boat, that's significant.

10 MS. MERRITT: True, but the difference is that if the
11 harbor pilot makes a mistake, he's going to either crash into
12 the side of his docking slip or he's not going to come home;
13 and we know he made a mistake. With handwriting experts, we
14 don't know ever if they made a mistake. The handwriting expert
15 will get up there and say, This is so-and-so's handwriting.
16 The case is over, the person may go to jail, but we never have
17 a way of finding out if that is true or not. With the harbor
18 pilot, at least we know if they crash or don't come home, they
19 made a mistake; so at least the harbor pilot is more verifiable
20 than handwriting. With handwriting, the problem is that it is
21 not verifiable and there is no way to test it.

22 THE COURT: The conclusion that it came from the
23 person is not verifiable. Whether these similarities or
24 dissimilarities -- they're right there; and the jury can say,
25 Yeah, I agree with that, or, No, I don't agree with it.

1 MS. MERRITT: But there is no way for the
2 handwriting -- how is the jury then supposed to know how many
3 similarities or how many dissimilarities?

4 THE COURT: It's up to the jury to decide that.

5 MS. MERRITT: Well, then why can't the jury have a
6 magnifying glass -- you don't need a microscope -- and make the
7 same visual observation, subjective visual observation that the
8 handwriting expert does?

9 THE COURT: For the same reason we don't have a jury
10 go out to an accident scene and do measurements and all those
11 kinds of things. That's the subject of testimony.

12 MS. MERRITT: Let me give you another analogy, then.
13 Let's take photographs. You usually don't have an expert
14 witness on the stand to look at two photographs and decide
15 whether or not the people look like each other or not, or
16 whether or not it's the same person. You would let the jury
17 look at the photographs to decide if it was the same person.

18 THE COURT: We let a person who knows them get on the
19 witness stand, and a lay opinion can come in on that.

20 MS. MERRITT: If there is somebody who knows them,
21 right. My point is you don't need an expert; and my argument
22 is that I believe it's the same for handwriting. And I think
23 one of the things we're asking for in connection with this
24 motion is to allow our expert, Professor Dembaux, to testify
25 because he has been qualified as an expert in the analysis --

1 the limits of handwriting identification testimony.

2 THE COURT: I've read his stuff.

3 MS. MERRITT: And the Court in Velasquez in the Third
4 Circuit case actually reversed a continuing criminal enterprise
5 conviction --

6 THE COURT: I know.

7 MS. MERRITT: -- because the trial court didn't allow
8 him to testify.

9 THE COURT: I don't think anybody is suggesting that
10 he can't testify with respect to the limits, but it depends
11 upon what the first testimony is; and if the first testimony
12 does not give the ultimate conclusion about this is or isn't
13 the same author, you have a much different question.

14 MS. MERRITT: But when the handwriting expert or the
15 handwriting identification person, opinion witness, gets up and
16 says that these characteristics in Document A are similar to
17 Document B, that's exactly what the court in the Williamson vs.
18 Reynolds case, the Oklahoma hair case, said is impermissible
19 because of Rule 403: that the probative value would be
20 outweighed by the prejudice because the jury would get
21 confused.

22 THE COURT: Well, let's remember that was a habeas
23 case, and it was done in retrospect; and it was not -- there is
24 not a very good record before the court as to how the opinion
25 witness testified. I don't mean that it is inaccurate. I mean

17

1 that the foundation was not very well laid.

2 MS. MERRITT: But the point I think that I'm using
3 this case for is the point that the court said: when you have
4 an expert up there or you have an opinion witness and he's
5 testifying to the jury that -- there are certain similar
6 characteristics, the jury may confuse that with "that's a

7 match." And I hear what the Court is saying: that because the
8 handwriting witness is not going to give his opinion that it's
9 a match, it's okay.

10 And I'm just pointing out that under Rule 403, you
11 still have problems because the jury may not understand the
12 distinction; it may think when the handwriting witness
13 testifies that there are similarities between these two
14 documents, they may take that to mean it's a match.

15 THE COURT: Well, it's my job to make sure the jury
16 understands the limited purpose for which the testimony has
17 been received.

18 MS. MERRITT: Can I move on back to Daubert now, or --

19 THE COURT: It's your argument.

20 MS. MERRITT: Okay. First, I think when we start with
21 Daubert, Daubert speaks only to scientific knowledge; and in a
22 footnote it makes it pretty clear that it isn't even going to
23 address the technical or other specialized knowledge prong of
24 Rule 702. And the courts around the country have in various
25 ways addressed that and said, Well, you know, what happens when

18

1 we have specialized or technical knowledge? Do the Daubert
2 factors apply, or do they not apply?

3 And I think that in this circuit, at least, the answer
4 is pretty clear because of the Compton vs. Subaru case; and
5 Compton vs. Subaru basically says that if it's going to be
6 scientific knowledge, then all of the Daubert factors apply and
7 the court has to make the inquiry as those four factors: Has
8 it been subject to peer review, has it been published, is there
9 a known error rate, and can it be empirically tested?

10 Then the Court in Compton says if it is not scientific

11 knowledge, it still must pass the general test of Rule 702 for
12 reliability and relevancy. It just doesn't have those added
13 Daubert factors to go through.

14 And in reviewing the Government's response to our
15 motions, I don't think it's clear whether or not they're
16 conceding that handwriting identification testimony is not
17 science. They certainly haven't made that concession. If
18 they're going to argue, as -- that handwriting identification
19 testimony has a methodology, then I think that the Daubert
20 factors have to apply to it and the Court has to use those
21 factors.

22 If they are going to say that it is -- that that first
23 prong of the Daubert factors don't apply and that only -- it
24 only has to be shown reliable and relevant under Rule 702, then
25 I think the Government has to concede that it's not scientific

19

1 evidence. And I don't think the Government has done that yet.
2 It sort of wants to use the second prong test, but it hasn't
3 really admitted that it's not science yet; so I think that's
4 something that the Government is going to have to address.

5 But, you know, I've pointed out in our papers that I
6 believe the Starzeckpyzel court is correct that it's not
7 science; and it's not science because it cannot be empirically
8 tested, it hasn't been empirically tested, and it isn't subject
9 to peer review or publication, and there is no known error
10 rate. And in fact, there is no -- there is really a dearth of
11 studies.

12 Now, I noticed that the Government in its reply
13 mentions this new study by Moshe Kam that has never even been
14 released yet. In fact, my understanding is that he's going to
15 be releasing it February 20, at the annual meeting of the

16 American Academy of Forensic Sciences in New York. That's the
17 first time it's going to be made public. It was testified to
18 in a hearing, the same kind of hearing as this, in Atlanta
19 twice in the last month. But it hasn't been made public.

20 And the Government asserts in that study that all of a
21 sudden now we have a study that shows handwriting
22 identification testimony is reliable, and I would take great
23 issue with that finding, because having consulted with our
24 experts and reviewing that study, what it shows is the
25 opposite. It shows that 87 percent of lay people can determine

20

1 correctly who wrote a document. I think it's 86.5 percent -- I
2 think it's a half percent higher -- that lay people got the
3 stuff right than the handwriting experts.

4 The difference came in what's called "false
5 positives," where who -- who didn't guess one way or the other
6 more.

7 And the reason for that, which the Government doesn't
8 point out in its brief, is that there was a financial incentive
9 system in that study. In that study -- and --

10 THE COURT: I don't want to talk about the study.

11 MS. MERRITT: Okay. That's -- then there is no -- if
12 you're not going to talk about the study then there still --

13 THE COURT: I'm not talking about your studies,
14 either.

15 MS. MERRITT: I'm saying there are none. Government
16 raises --

17 THE COURT: Well, there are some studies that go the
18 other way; and you cited them.

19 MS. MERRITT: There was one study, which was Moshe

20 Kam's first study; but that had its critics, also, because it
21 used a very small database and because of the incentive
22 problem. On the one hand you had handwriting experts who were
23 looking out for their livelihood and had a great incentive to
24 be very careful. And on the other hand, you had a small group
25 of college students who really had no incentive to do it

21

1 correctly. And both studies were funded by the FBI, which is
2 another issue that I don't think the Government raises and I
3 think is a consideration.

4 And if you take away those two studies, there really
5 isn't anything that shows that handwriting experts or
6 handwriting identification witnesses will more correctly
7 identify handwriting than lay people.

8 And that's the second prong of Daubert, is that it has
9 to be -- it has to assist the trier of fact. And if it's not
10 going to assist the trier of fact, the witness shouldn't be
11 allowed to testify to it. And it's our position that lay
12 people are just as capable of looking at two pieces of writing
13 and deciding whether the same person wrote them or comparing
14 one person's -- a writing written by one person with another to
15 see if that same person wrote both as is an expert; and
16 therefore, we don't need opinion witness testimony on it.

17 I'd also point out that the Government, as the
18 proponent of this evidence, has the burden of establishing its
19 admissibility; whereas it's the Court, of course, who acts as
20 the gatekeeper in deciding the admissibility under Rule 104.

21 I think one other point: The Tenth Circuit again has

22 made that distinction with what factors apply, whether it's
23 scientific knowledge or whether it is specialized or technical
24 knowledge in the Muldrow case. There were two experts that
25 were called upon to testify in that case. One was a police

22

1 officer who was going to testify as to specialized knowledge
2 about drug trafficking, and the other was a chemist who was
3 going to testify to the chemistry of the drugs.

4 For the chemist who was going to testify about the
5 drugs, the Daubert factors applied; but for the police officer
6 who was going to testify as to the specialized knowledge about
7 drug trafficking, only the Rule 702 factors of reliability and
8 relevancy applied.

9 And what we're asking is that the Court schedule a
10 pretrial -- an evidentiary pretrial hearing on this issue at
11 which Professor Dembaux can testify that handwriting as an
12 alleged expertise has never been validated as credible,
13 scientific, technical, or other specialized knowledge. It
14 doesn't comport with the requirements of evidentiary
15 reliability that the Supreme Court set forth in Daubert, and it
16 doesn't have a foundation in cognizable objective methodology.

17 And I now understand that the court in Velasquez very
18 well as questions found that handwriting did pass all three,
19 but I would submit that those findings are wrong. The only
20 thing the court in Velasquez, in my opinion, decided right is
21 that Professor Dembaux should have been allowed to testify.

22 There are still some other reasons, I think, that
23 handwriting is too subjective for the Court to consider
24 reliable or helpful to the trier of fact; and that would
25 involve going into how handwriting analysis is done, and I'm

1 not certain the Court wants to go into that today. If the

2 Court wants to just stick to the Daubert factors --

3 THE COURT: That's my plan.

4 MS. MERRITT: So what we would request is that the
5 Court allow us to present expert witness testimony on the issue
6 of the reliability or nonreliability of scientific handwriting
7 testimony so that we can demonstrate to the Court that it is
8 not reliable and that it will not assist the trier fact,
9 because jurors are just as capable of looking at handwriting
10 and identifying it as is the Government's expert. And the

11 prejudicial value of having an FBI agent up there, wearing the
12 badge of the Government, testify as to his finding of
13 similarities is going to outweigh any probative value for the
14 jury; and it's going to be way too prejudicial.

15 Does the Court want me to go on to --

16 THE COURT: Stay with handwriting. Do these one at a
17 time.

18 Mr. Tigar, you're on this as well.

19 MR. TIGAR: I would ask the Court's guidance: Does
20 the Court want me to make the portion of our argument that
21 deals with the meaning of Daubert and the scope of its
22 application and then turn to the handwriting issue, or --

23 THE COURT: Yes. I think they really, you know -- you
24 can address the handwriting by the use of your analysis of

25 Daubert.

24

1 DEFENDANT NICHOLS' ARGUMENT RE HANDWRITING AND HAIR EVIDENCE

2 MR. TIGAR: Yes, your Honor.

3 Let me begin by saying that in our view, Daubert
4 imposes a burden of proof on the Government to establish
5 certain foundational facts with respect to certain kinds of
6 evidence. And that's why we had thought that the Government
7 ought to come forward and present some indication of what that
8 foundational showing would be.

9 Second, we do take issue with what I understand to be
10 a part of the Court's position -- and I hope the Court will
11 hear me out. In Compton vs. Subaru, this court did address the
12 scope of Daubert, as opposed to what isn't within it. And
13 contrary to the Government's assertion, what the court there
14 said was in Judge Porfilio's opinion was that Daubert would
15 apply when there was a methodology that can be subject to
16 Daubert analysis, and it would not apply when there is not a
17 methodology that could be subject to Daubert analysis.

18 I also, at the risk of rearguing a position that the
19 Court seems to reject, point out that the Supreme Court in
20 Daubert --

21 THE COURT: I haven't ruled, Mr. Tigar. I've only
22 offered these suggestions to focus the argument.

23 MR. TIGAR: I understand, your Honor; and I wasn't
24 suggesting the contrary.

25 The Supreme Court did say in footnote 8 that the

25

1 discussion was limited to the scientific context; but that
2 footnote is in a paragraph that deals with the word
3 "scientific" and the word "knowledge." And as the First
4 Circuit held in the Bogosian case that we found on Westlaw and
5 cited yesterday, the Daubert approach -- that is to say, the
6 foundational things that must be shown -- applies equally well
7 outside the narrow compass of scientific cases. After all,
8 knowledge applies to any body of known facts or any body of
9 ideas inferred from such facts or accepted as truth on good
10 grounds.

11 So even if the evidence is not scientific, the
12 knowledge prong of Daubert, the knowledge part of the Daubert
13 analysis does apply.

14 And I take also the suggestion -- we endorse it --
15 made by Ms. Merritt: Expert witnesses are not tethered to the
16 personal knowledge requirement. Because they are not tethered
17 to the personal knowledge requirement, there is this risk that
18 the jurors will attach too much importance to their testimony.

19 One of the ways that we analyze hearsay questions is,
20 of course, to look at the words of the hearsay rule. But often
21 at the end of the day, we ask ourselves, Does this pose the
22 hearsay dangers? And if it poses the hearsay dangers, then
23 we're on alert and we might say, Gee, we'll exclude that
24 evidence; we're not sure what section of 803 we're talking
25 about, but it raises the dangers.

1 And so here, because as the Daubert court pointed
2 out -- and there is no disagreement; all the justices agreed
3 with that -- these experts are not tethered in that way, there
4 is this need for a foundational showing.

5 Handwriting analysis is an example. Certainly, your

6 Honor, no one -- well, I won't say no one. We would not
7 quarrel with a witness getting up and saying, I have a
8 microscope and under my microscope I took pictures of down-
9 strokes of "g"s written on two occasions, and here are my
10 pictures.

11 When the witness sees fit, either directly or by
12 inference, to add more to that and say there really is science
13 back of this, there really is a methodology back of this, then
14 it seems to us that Daubert analysis applies; that is to say,
15 there is a need for some foundational showing if that person
16 wants to go any further than that.

17 THE COURT: Well, this is where -- yeah. This is
18 where I'm suggesting the difference. If opinion is -- if a
19 person's opinion on the witness stand is "This, I am confident,
20 was written by the same person," now, then, using the
21 techniques that were used to come to that opinion, the
22 foundation upon which such an opinion has to be based, it seems
23 to me, is the notion that it is scientifically valid; that
24 there is scientific knowledge; that the way in which a person
25 writes is so consistent that you can say that these identifying

27

1 characteristics identify that person.

2 And I don't think there is any such scientific
3 knowledge. And that's why I don't think that, you know, these
4 people can express such opinions.

5 And I'm in agreement with that so far, having not yet
6 heard from the Government; but it's sort of like -- and we're
7 jumping ahead. But let's take hair samples and the hair
8 comparison. There is quite a difference between saying that,
9 you know, my microscopic tests and my determinations of width

10 and fiber and all of that sort of analysis of these hair
11 samples leads me to conclude that this hair came from this
12 head.

13 Now, that's one, and that's subject to a lot of
14 criticism, because where is the scientific knowledge for that?

15 However, if the person did a DNA analysis and used a
16 proper protocol and all of those things that would make the
17 evidence admissible and says yes, the DNA here matches, we've
18 got a much different question, because there is scientific
19 validity to at least two types of DNA tests.

20 MR. TIGAR: Although your Honor, absent a decision
21 like the one the Eight Circuit just rendered, there would still
22 have to be a Daubert hearing to establish that for purposes of
23 the case.

24 THE COURT: I understand. But that's the kind of
25 thing it seems to me to be the difference. Here you've got

28

1 scientific knowledge that at least properly done, DNA analysis
2 can identify uniquely an individual in any part of the body
3 that contains DNA, which I guess is everything. Not being an
4 expert in DNA, I'm a little careful with that. But --

5 MR. TIGAR: Nor I, your Honor.

6 THE COURT: But it's a lot different when you say,
7 This hair came from this head because I've done these
8 comparisons.

9 MR. TIGAR: Yes, your Honor. And I think that we're
10 moving towards -- I'm moving towards understanding your Honor's
11 position about this. The problem comes when the Government
12 wants to do what the Daubert court condemns under the guise of
13 opinion testimony. There certainly is no problem with someone
14 saying I, have a microscope, I know how to use it, I take

15 pictures through it, these are my pictures.

16 THE COURT: Yes.

17 MR. TIGAR: And a person who gets on the stand and
18 says, Been at the FBI laboratory 30 years; never met Fred
19 Whitehurst, so you don't have any problem on that score; been
20 looking at handwriting exemplars all these times, done quite a
21 lot of handwriting myself, Palmer method, used pencils, and so
22 on; and by golly, I can tell you when I see this that -- that
23 these things are similar. And that's the reason I picked them
24 out, as a matter of fact. The reason I picked these out is
25 that I'm real good at that.

29

1 Well, the problem is that handwriting analysis, like
2 hair analysis, is one of those fields invented by a small group
3 of people. It's like the story of the self-made man who was
4 inordinately fond of his creator. These people did not have
5 any outside folks that are criticizing their work, and there is
6 this danger of the oversell. Thus to the extent that all the
7 person wants to do is show pictures, no, we certainly don't
8 have any problem.

9 I'm not going to talk about hair, unless your Honor
10 wants me to move into that --

11 THE COURT: We'll do these one at a time was my plan,
12 but I think there is a -- I think there is quite a similarity
13 in the approach when we're talking hair and handwriting.

14 MR. TIGAR: Yes, your Honor. And I think also that in
15 looking at your Honor's difficult job, where we come down --
16 what we come down to is this: These cases have got to be
17 tried. They've got to be tried in the near term. The purpose
18 of all of us is not to waste the jury's time. The Government

19 has the burden of coming before your Honor and saying, This is
20 the evidence we intend to introduce under Rule 702, this is why
21 we can untether these witnesses from the personal knowledge
22 requirement, and this is our foundation.

23 The Tenth Circuit in the case cited as a matter of
24 fact by the Government, the Robinson case in 16 F.3d, once
25 again reaffirmed this gatekeeping function; and they did that

30

1 in the context, your Honor, of an accidentologist. Now,
2 granted, the accidentologist, as the court said, relies on
3 underlying valid scientific ideas such as physics, and so on.
4 But when it is sought to stand upon the little perch provided
5 by the little bit of scientific knowledge and leap to
6 conclusions, then, of course, the gatekeeping function becomes
7 very important.

8 That's our approach to Daubert. It is, it seems to
9 us, a very practical one. We've got to have these hearings.
10 We ought to do them before trial and out of the presence of the
11 jury, as suggested in Robinson.

12 With respect to handwriting, no, we don't have any
13 objection if a witness who doesn't oversell comes and says,
14 These are my pictures, assuming the defense can say, Well, here
15 is some other pictures as well; but when the next step is
16 sought to be taken, that, we do say, is Daubert; and we say it
17 under the Compton case.

18 THE COURT: All right. Thank you.

19 We're anxiously awaiting what the Government is going
20 to offer in testimony.

21 PLAINTIFF'S ARGUMENT RE HANDWRITING AND HAIR EVIDENCE

22 MR. ORENSTEIN: Your Honor, our position on
23 handwriting evidence is that it is as Judge McKenna described

24 in the Starzeckpyzel case. It's an area where someone who has
25 been for many years looking at samples of handwriting has

31

1 gained a certain adeptness at noticing minute similarities and
2 dissimilarities, in Judge McKenna's term, and aids the jury in
3 being able to guide them to what they might otherwise miss.
4 And I think that is the position that your Honor is agreeing
5 with.

6 THE COURT: Yes, up to, you know, whether you're
7 looking for a final conclusion.

8 MR. ORENSTEIN: Right. Now, on the point of the final
9 conclusion -- and I -- beyond -- up to the point of can an
10 expert get on the stand and point out the similarities and
11 dissimilarities, I'm not going to address any further, because
12 I think your Honor agrees that that's something that's
13 perfectly appropriate; and frankly, I don't see why that would
14 need a factual hearing along the lines Ms. Merritt suggests to
15 point out that experts can do that.

16 Where I guess we part company is on the issue of can
17 an expert render a conclusion on the ultimate issue: Is X
18 person the author of this writing?

19 THE COURT: Right.

20 MR. ORENSTEIN: I think there are a number of reasons
21 why your Honor should allow such testimony and --

22 THE COURT: What do you intend to offer? Let's get to
23 it.

24 MR. ORENSTEIN: Okay. There are a number of documents
25 that we --

32

1 THE COURT: I don't mean each document, but I mean the
2 question that you're going to ask these opinion witnesses.

3 MR. ORENSTEIN: In short, the question would be -- the
4 series of questions --

5 THE COURT: After going through the comparison and all
6 that stuff.

7 MR. ORENSTEIN: Well, I think there is an issue of
8 whether you would do it with the comparison first and the
9 conclusion last, or the other way around.

10 THE COURT: Well, you do it the former, because I can
11 control that; and that's the way I do it.

12 MR. ORENSTEIN: Fine. The testimony would be, Have
13 you compared this questioned document with one or more known
14 documents, nonsamples of the -- in this case, the defendant's
15 handwriting, which have been authenticated through some other
16 means? And based on that comparison, do you have an opinion as
17 to whether or not this person is the author of that questioned
18 document? And depending on the particular document involved,
19 the expert would say, Yes, I have an opinion that he wrote it,
20 or, No, I don't have an opinion that he wrote it; but I have an
21 opinion that he probably wrote it, and here's the reason why I
22 have less confidence in it.

23 THE COURT: Have you got anybody who would say yes,
24 without any qualification?

25 MR. ORENSTEIN: Oh, yes. It's just there are

1 different documents. Some of the documents, the expert will
2 say, Yes, in my opinion, Timothy McVeigh wrote this document;
3 in my opinion, Terry Nichols wrote that document.

4 THE COURT: Well, I'll tell you right now if you're

5 going to do that and persist in it, we will have to have such a
6 hearing, because I don't think that there is any basis in
7 science for that kind of an opinion; and as has been pointed
8 out repeatedly, there are no agreed standards for the
9 terminology. There is no confidence level that's been agreed
10 upon. It's not like the old way in fingerprints, where you had
11 to have 12 points of identification. Now I guess you don't
12 have those anymore, although maybe that's something that has to
13 be argued about, too. But, you know, there are no standards.

14 MR. ORENSTEIN: Judge, right; and we're not offering
15 it as a scientific standard.

16 THE COURT: Well, but there has to be, because take my
17 DNA analysis --

18 MR. ORENSTEIN: Uh-huh.

19 THE COURT: -- and for hair or any body tissue. You
20 know, that's lacking. We do not have any body of scientific
21 knowledge of which I am aware that says that there are such
22 identifying characteristics every time a person puts pencil or
23 pen to paper that you can say that's who it is.

24 MR. ORENSTEIN: Agreed, Judge. But I think that's not
25 the standard that's needed and particularly in the handwriting

34

1 area, and I'll explain why.

2 What a handwriting expert does is say, I've become
3 adept at recognizing handwriting. And an eyewitness --

4 THE COURT: And they do it by experience. There is no
5 academy of training for these people. They just say, I've done
6 enough of that that now I'm a self-declared expert at it.

7 MR. ORENSTEIN: That's to some extent true; and if you
8 have a hearing, obviously there will be testimony about the

9 extent to which there is training and proficiency testing.
10 But someone who says, I recognize Judge Matsch because
11 I've seen him before, isn't able to say the eyebrows are the
12 same, the nose has the exact same measurements as the person I
13 measured before. That would be the analogy to DNA testing.
14 But he can say, I've seen enough people so I have a sense of
15 being able to say when I recognize something; and it's that
16 kind of experience -- it's a specialized experience that
17 someone brings to the arena with handwriting.

18 Agent Williams can say, I have looked at many, many
19 examples of handwriting over the years, and I have never seen
20 the letter Q constructed in this particular way. He can't say
21 for a certainty that nobody else could possibly construct the
22 letter Q in this way; but he can say, In my experience, I've
23 never seen someone do it like that.

24 THE COURT: Or that this same person constructs the
25 letter Q every time the same way. That's the problem with it.

35

1 MR. ORENSTEIN: He can't say that; but what he can say
2 is, Every example that I've seen in both the questioned and the
3 known document has a similar construction for that letter. And
4 it can be attacked by an impeaching expert, Professor Dembaux,
5 if you will, who can say, Well, you're not taking into account
6 the fact that there is a Q in one word of the known document
7 that isn't constructed that way or in one instance in the
8 questioned document.

9 I think that's the sort of thing that an expert can
10 get up and say, I have experience, and this is my opinion based
11 on that experience. And that is subject to attack by --

12 THE COURT: How do we determine when his experience

13 showed him to be wrong, like the point Ms. Merritt makes?

14 MR. ORENSTEIN: I disagree with the point Ms. Merritt
15 makes, actually. If someone, for example, confesses that the
16 questioned document was written by me rather than the person
17 that the expert says it was written by, that can be the subject
18 of a motion for a new trial based on new evidence. It can
19 happen.

20 THE COURT: Well, sure, it can happen.

21 MR. ORENSTEIN: It can happen and --

22 THE COURT: There is no verification of how many times
23 this guy was wrong.

24 MR. ORENSTEIN: No, but there is testing, and
25 that's -- that's what the Kam study shows, I think; that you

36

1 can subject these experts to testing.

2 THE COURT: Well, then you've got to hold a hearing on
3 the testing and the validity of the testing, which itself is
4 scientific, in that the statistical methodologies involved have
5 to be evaluated in detail.

6 MR. ORENSTEIN: Sure. You can --

7 THE COURT: I don't intend to do that. I think you
8 ought to think twice about whether you're going to offer these
9 conclusory opinions.

10 MR. ORENSTEIN: Certainly, Judge, we'll take guidance
11 from the Court.

12 There is one other argument I'd ask you to consider
13 before deciding the matter, which is accepting your
14 arguments -- your point that there is a difference between DNA
15 profiling, where you can point to specific characteristics that
16 are never going to change --

18 MR. ORENSTEIN: -- and handwriting, where you're
19 basing your opinion -- and it's not saying with certainty, but
20 you're saying, My opinion is I recognize this as being the same
21 as the known sample. That's an argument that I think can
22 certainly apply to hair comparisons, and I know we'll get into
23 that in a few moments. Let me just add one thing, though:

24 We're not ever going to say or have an expert say, This hair
25 came from this head. It's only a matter of this hair is

1 consistent with that hair.

2 THE COURT: Right.

3 MR. ORENSTEIN: But the rules of evidence have two
4 provisions that I think it's worth bringing to the Court's
5 attention on this issue, on the issue of handwriting. First,
6 generally it does -- the Rule 704 does allow an expert to
7 attest to an ultimate opinion, an opinion of ultimate issue;
8 but I think more specifically to this issue, Rule 901(b)(3)
9 specifically allows the handwriting expert to authenticate a
10 document based on a comparison with a known exemplar.

11 Whatever the merits, that can be debated, about the
12 wisdom of that. Congress has enacted these rules, the Supreme
13 Court has enacted them with Congress' approval, and these rules
14 specifically allow the kind of testimony that we're proposing
15 to elicit.

16 I think if the -- if the rule is to be --

17 THE COURT: Which rule are you talking about?

18 MR. ORENSTEIN: 901(b)(3)?

19 THE COURT: "For identification." It doesn't say
20 that -- well, let's look at it.

21 Generally, my understanding of this rule and the way

22 in which I have confronted it in the past is that a witness on
23 the stand can say that because I'm familiar with this person's
24 signature, it's on this document, and that authenticates the
25 document for purposes of admissibility of the document.

38

1 MR. ORENSTEIN: That's one of the ways you could do
2 it, by someone who is familiar with it.

3 THE COURT: What case do you have that says this
4 supports this type of conclusion/opinion that you're suggesting
5 in this case?

6 MR. ORENSTEIN: I don't have any case that directly
7 says that, and I think there are two reasons for that. One is
8 this hasn't been subject to challenge, and courts have
9 traditionally allowed in the kind of testimony that we're
10 proposing to elicit, so it hasn't been the subject of much
11 litigation.

12 But the other case that I would point out is the
13 Starzeckpyzel decision, which addressed 901(b)(3). I'm doing
14 by sort of negative inference here. In Starzeckpyzel the issue
15 was is this writing a forgery. And the Government there sought
16 to rely on Rule 901(b)(3) as an alternate basis for admitting
17 the testimony. And Judge McKenna there said, It doesn't apply
18 here because although you can use it to authenticate a writing,

20 So I think the reasoning by Judge McKenna in that
21 case -- though of course he didn't have to decide it and
22 therefore didn't decide it -- supports the idea that where
23 we're seeking to authenticate a document as saying Mr. McVeigh
24 actually wrote this document and that's why it should be in
25 front of the jury, I think it's appropriate to rely on

39

1 901(b)(3) to say, to have a comparison by an expert witness
3 which have been authenticated. I think that's within the plain
4 language of the rule, and I think it's consistent with what
5 we're trying to do. And that's -- and I think that allows
6 something more than just saying, The letter A is written
7 similarly on these two documents.

8 THE COURT: Well, of course, the rule begs the

10 MR. ORENSTEIN: I'm not sure I understand.

11 THE COURT: It says, "The comparison by the trier of
12 fact --" we don't have any problem with that "-- or by expert
13 witness. Now, the question here is, is this the subject of

15 MR. ORENSTEIN: I think the rule doesn't beg the
16 question.

17 THE COURT: Well, it does. Congress doesn't define
18 who is an expert witness -- yet.

19 MR. ORENSTEIN: No, it doesn't define who is an
20 expert, but it does clearly say here that an expert -- someone
22 existence of this rule presupposes that there are some people
23 who can be qualified as experts in this area. Some expert can
24 say, Even though I am not previously familiar with this
25 person's writing, based on my comparison of the questioned

40

1 writing with the known, I can authenticate this document as
2 having been written by that person.

3 Now, if that rule is to be changed, if such opinions
5 done by an interpretation of Daubert. I think it must be done
6 by Congress in amending the rules.

7 So I think -- I think that the Court's point is well
8 taken for areas of expertise which are based on comparison

9 generally; and I think that I wouldn't have this argument
10 obviously for a hair expert, saying, I identified this hair --
11 THE COURT: Why do you make the distinction between
12 hair comparisons and the handwriting comparisons?
13 MR. ORENSTEIN: Well, I make the distinction for this
14 point only because there is a specific rule that I read --
15 THE COURT: Well, forget the rule for a moment.
16 MR. ORENSTEIN: I agree with you --
17 THE COURT: You say, as I understand it, that you're
18 not going to offer this conclusory-type opinion from somebody
19 who has examined and compared hair samples.
20 MR. ORENSTEIN: I'm sorry. I didn't --
21 THE COURT: But with respect to handwriting, you are.
22 MR. ORENSTEIN: Yes.
23 THE COURT: Now, why?
24 MR. ORENSTEIN: Because -- well, there are two reasons
25 I think; and one, I will freely admit, is my own speculation,

41

1 because I haven't asked the different experts about this. But
2 handwriting has so much possibilities for variation that it
3 also has so many more possibilities for individualization. And
4 I think it's harder to say that about a piece of hair.
5 So that there is more opportunity for a person's
6 handwriting to exhibit unique characteristics that make it more
7 and more possible through additional comparisons to, say -- to
8 narrow down the class of people who could have done this piece
9 of writing and to say that yes, it's that person's writing.
10 THE COURT: Now, are you always able to identify your
11 own handwriting?
12 MR. ORENSTEIN: Am I?

13 THE COURT: Yes.

14 MR. ORENSTEIN: My handwriting is particularly bad,
15 Judge, so I'm not the best example. But no, I think there are
16 times when people fail to recognize handwriting that they're
17 familiar with. And I don't think --

18 THE COURT: Or including your own.

19 MR. ORENSTEIN: Including my own, correct.

20 THE COURT: I mean I have that experience. It seems
21 to me everybody does.

22 MR. ORENSTEIN: My handwriting has previously been
23 criticized by judges, so I can usually recognize it. But the
24 point is well taken: A person can fail to recognize his own
25 handwriting, but I think that's where we're trenching on what

42

1 Daubert says should not be done. The conclusion itself should
2 not be the basis of admissibility. It's the -- it's the
3 technique or the method or the specialized skill that's being
4 used.

5 So handwriting, I submit, has greater opportunities
6 for being individualized, being recognized, that a hair does
7 not.

8 But the other reason is that frankly, hair analysis
9 may one day get to the point where the practitioners of hair
10 comparison are confident enough in what they do to say, Yes, I
11 can identify this hair. And at that point there will be
12 testing of them, and the tests will show that they're right or

13 wrong.

14 Handwriting has progressed to that point and the study
15 by Professor Kam -- and I know your Honor doesn't want to get
16 into it here, so I'll reserve my responses to the criticisms of
17 it, but I think that a fair consideration of that study will
18 show that handwriting experts do one thing that a lay person
19 does not do, which is when he makes an identification, when he
20 is confident enough in the characteristics, similarities, to
21 say, These two documents were written by the same person, he is
22 much more likely to be right than is a lay person.

23 There has been testing of that; and the testing has
24 shown to a statistically significant degree that handwriting
25 experts are able to do what they claim to do; and I think

43

1 that's one other reason for the distinction that I'm drawing
2 between the ability of the handwriting comparison expert to
3 make this kind of identification and a hair comparison expert
4 to say only these two are consistent.

5 THE COURT: Okay.

6 Ms. Merritt?

7 DEFENDANT MCVEIGH'S REBUTTAL ARGUMENT
8 RE HANDWRITING AND HAIR EVIDENCE

9 MS. MERRITT: Your Honor, I don't have much more to
10 say about handwriting other than that we still take the
11 position that the Government's witness should not be allowed to
12 testify as to similarities and differences in handwriting that
13 it views. And before the Court allows that to happen, we would
14 request a hearing at which we can demonstrate to the Court why
15 that is not appropriate.

16 And again without going into the details of Professor
17 Kam's study, I would say that my information is opposite from

18 that of Mr. Orenstein; so if that is going to be an issue, we
19 should have a hearing on that, also, particularly as to the
20 financial remuneration that went to one category of persons
21 involved in the study and didn't go to the other. But the
22 evidence was that both -- in that study was that lay people
23 identified it as correctly as the experts. It was just with
24 the area of false positives, but that was because it cost them
25 money if they guessed a wrong false positive.

44

1 In any event, that's our position on it; and we would
2 ask the Court who hold an evidentiary hearing, if it's going to
3 do anything more than what Mr. Tigar said, which is say these
4 are the pictures I took, this is the handwriting; but once it
5 gets into "I find that these similarities exist between these
6 two," I think that's what is objectionable.

7 THE COURT: Yeah, but I thought you were objecting
8 earlier to even that much, because you're saying that the jury
9 doesn't need that, it's not helpful and therefore is excludable
10 under that area of the rule.

11 MS. MERRITT: I am still objecting to that.

12 THE COURT: Yeah. All right. Well, I don't agree
13 with you on that.

14 MS. MERRITT: I knew that.

15 THE COURT: So your position then, I take it now
16 converges with Mr. Tigar beyond that objection, which is there
17 for the record and I've overruled it; that you have no problem
18 up till you get to this "Yes, it's the same person," or
19 "probably so," or whatever. Do you have the same objection to
20 "It couldn't possibly be the same person"?

21 MS. MERRITT: Yes.

22 THE COURT: Okay. And it's rooted in the same thing,

23 then, I take it: that this is not verifiable science.

24 MS. MERRITT: Correct. And it's also one other thing:

25 It's not subject really even to cross-examination or

45

1 impeachment. I think somebody -- Mr. Orenstein made the point,

2 Well, you can always cross-examine the expert.

3 THE COURT: Yeah, because you can't say, How many
4 times have you been wrong?

5 MS. MERRITT: Right. You can't say that. You also
6 can't say, Well, you see these similarities; how many

7 similarities does it take to say that this is consistent with

8 somebody's writing; that this is probably written by the

9 person, this is absolutely written by the person? How many

10 dissimilarities? You know, how do you decide whether a

11 dissimilarity is significant or not significant? You can't

12 answer it.

13 THE COURT: Like the old fingerprint that you had to

14 have 12 points of similarity.

15 MS. MERRITT: Right.

16 THE COURT: Not similarity. You have to have 12

17 points that you can show are the same. Well used to say that.

18 I'm not sure we have to do that anymore, but -- well, you've

19 heard Mr. Orenstein talk about the hair; that they don't intend

20 to offer such opinions with respect to the hair comparisons.

21 So aren't we as far as the hair then -- we don't have a

22 problem, do we? Because we're just -- I mean, sure, you're

23 still objecting, well, you don't need the trier of fact, then,

24 to show pictures of the pieces of hair and under microscopes,

25 and so forth.

46

1 MS. MERRITT: In a sense, that's true. But I would
2 also point out that hair is -- the problem with hair is that if
3 the expert gets up there and talks about that he examined these
4 hairs under a microscope and he found similarities that -- or
5 that he found a match between certain characteristics that the
6 jury is going to confuse that match with the experts' saying
7 that this hair matched the person, matched the hair from that
8 person.

9 THE COURT: Well, again, that's a matter of cautionary
10 instruction.

11 MS. MERRITT: And as the Court pointed out, there is
12 now this new hair testing that can be done, for example,
13 through DNA. And also I think now they can test for cocaine
14 metabolites in the hair. They can do a test on hair that will
15 show if the person whose head it came from had used cocaine or
16 not through scientific analysis. And that's far different than
17 what we're talking about here, which is just identifying a
18 class of persons that the hair might have come from and saying
19 that; so I don't think I even have that much more to say about
20 hair, other than we would have to have a hearing if the
21 Government was going to try and introduce that kind of evidence
22 as well.

23 THE COURT: What's your view of the, or counter to the
24 argument made about that this is admissible under 901(b)(3)?

25 MS. MERRITT: Well, your Honor, I think I addressed

1 that Rule 901 in my original brief; and I think that pertains
 2 basically to lay witnesses saying -- being asked on the stand,
 3 Are you familiar with the handwriting of this person?

4 Yes, I am.

5 And I hand you now . . . What is this? Can you look
 6 at this and identify the handwriting?

7 Yes, it's theirs.

8 THE COURT: Yes, but the rule says expert witness.

9 MS. MERRITT: We don't need them.

10 THE COURT: Okay, Congress, take that. All right.

11 Thank you.

12 Mr. Tigar?

13 DEFENDANT NICHOLS' REBUTTAL ARGUMENT

14 RE HANDWRITING AND HAIR EVIDENCE

15 MR. TIGAR: I would answer the 901(b)(3) question by
 16 pointing out that matters of fact can never be the subject of

17 legislation, nor of judicial precedent; that is to say, what is

18 and is not an expert always has to be the subject of some

~~19 FACTUAL INQUIRY. AND INDEED, THAT'S REFLECTED IN 28 U.S.C.~~

20 Section 1731, which says that the admitted or proved

21 handwriting of any person shall be admissible. It has to be

22 proved, and that statute is cited in the advisory committee

23 notes to 901(b)(3).

24 That's a springboard, your Honor, for what we were

25 going to say about hair analysis. The Federal Judicial Center

1 reference manual on scientific evidence notes that over the

~~2 YEARS, A NUMBER OF FORENSIC TECHNIQUES THAT INITIALLY FOUND~~

3 their way into the courtroom have subsequently fallen into
4 disfavor; that is, the Frye test, by talking about acceptance
5 in the field, encouraged the formation of these coteries of
6 self-styled experts; and the manual notes that such things as
7 voice print identification and parafin tests have fallen out of
8 use? Why? Because we know more now.

~~9 HOW DOES THAT RELATE, THEN, TO HAIR? I HOPE THAT I~~

10 heard the Government make a concession, but perhaps not.

11 THE COURT: Well, what I heard -- and I don't think --
12 is they're not going to offer this type of opinion that this
13 hair came from that head.

15 up, I think I know the source of the confusion here.

16 THE COURT: Yeah, but that's what you said; right?

17 MR. ORENSTEIN: In one of our summaries we made an
18 allusion to the fact that there would be testimony of

19 identification, and the summary itself was a mistake. The
20 report by the expert spoke only in terms of consistency. And

22 MR. TIGAR: So the Government is withdrawing
23 Conclusions 3 and 5 in Special Agent Fram's report that was
24 furnished to us on the 3rd of August and is attached to our
25 motion.

49

1 THE COURT: Is that right.

2 MR. ORENSTEIN: We're amending it to say it's
3 consistent with, rather than an identification; and the

5 testimony that we'll be offering.

6 THE COURT: All right.

7 MR. TIGAR: Then, your Honor, we still have an
8 objection, because "consistent with" represents an expression
9 of opinion.

10 Now, hair evidence is different from handwriting; that

11 is to say, we all look at handwriting. We look at our
12 signatures, we recognize or don't recognize what we wrote
13 before. The hair person is going to get up here and say that
14 there are certain shaft thickness, cortical fusi. He is going
15 to use a lot of words and concepts that are beyond the ken of
16 lay people who haven't done this study. Thus, the patina of
17 expertise is there.

18 And even the conclusion "consistent with," your Honor,
19 rests upon no valid scientific basis; that is to say, our
20 conclusion is that this is junk science. To say other than by
21 using DNA -- that is, pulling out by the roots and using DNA,
22 which is not what was done here, is to look for consistencies
23 that have -- that are meaningless in the sense that they have
24 no scientific basis that gives them any meaning whatever.

25 And it -- even the terminology and the technique used

50

1 gives this false aura of science to matters. It's like --
2 well, I use an analogy, your Honor: The Romans and the
3 Etruscans, in order to decide whether to build a building, had
4
5 and they had scientists called "haruspex." And there was
6 extensive literature about it; and they could prove that the
7 livers of animals sacrificed at good buildings matched the
8 liver of the animal sacrificed for the building they proposed
9 to build; and so therefore, the liver of these two chickens
10 that were killed at different times were consistent with each

12 Now, did we not understand what Daubert teaches us --
13 that is to say, that these are matters of knowledge and not
14 precedent -- we would still be locating buildings based on
15 these kinds of sacrifices.

16 The point is that Agent Fram isn't testifying about

17 anything that has the slightest validity. And I go beyond
19 suppose we amend the conclusion: Hair on blanket found in
20 McVeigh's Noble County Jail cell is consistent with a hair from
21 Terry Nichols. Now, we know that Terry Nichols wasn't ever in
22 the Noble County Jail cell. We know that Terry Nichols --
23 THE COURT: Well, let me just interrupt you for a
24 moment. I think "consistent with" is still something different
25 from "these are the comparisons that I made and they show --

51

1 you know, these are the consistent comparisons."
2 MR. TIGAR: Yes, your Honor.
3 THE COURT: And he can testify about that. That's
4 different from saying it's the same person.
5 MR. TIGAR: It is different, your Honor; but our
6 objection is still a Daubert objection.
7 Let me use as an example an explosive residue. There,
8 you read a machine. The machine says that the peak I got from
9 this is consistent with the presence of ammonium nitrate. We
10 can't say "identified as." After all, there could be other
11 things that do it that are -- that could cause the same kind of
12 a peak. But your Honor, that process is still science. There
13 has to be a methodology that tells us that every time a machine
14 registers a certain kind of peak, it gives us something that is
15 consistent with any one of a given number of chemical
16 compounds.
17 And if we found, as we will get to when we talk about
18 Agent Burmeister, that in fact the silicone peak on the machine
19 is way down in the noise, then we might have a scientific
20 objection.
21 But my point is just as the "consistent with," which
22 the Government is going to rely on in the explosive residue

23 area, represents a form of science, "consistent with" here
24 represents a claim by a man who says, "I can say that because
25 I'm a scientist. I can say 'consistent with.' I'm better at

52

1 that than the average person who just pulls a couple of hairs
2 from people's heads and looks at them under a microscope."

3 So with all respect, your Honor, even with the
4 Government's retreat, the underlying question, is this kind of
5 hair comparison junk science or not -- that inquiry in our
6 respectful submission still has to be made, because here is a
7 witness testifying not on the basis of personal knowledge but
8 on the basis of characteristics that he says are sufficiently
9 common or individual. That's the two sides of the coin, to
10 make it possible to say "consistent with." Otherwise, your
11 Honor, all hair would be the same.

12 So in our respectful submission, that still would
13 require a foundational showing. And there is a methodology
14 there; and because there is a methodology, that foundational
15 showing must be consistent with Daubert.

16 THE COURT: All right.

17 MR. TIGAR: One more thing, your Honor. This is one
18 of these "Why do you need it?" These two people know each
19 other. They know each other for a long time. There is plenty
20 of evidence as to what sorts of contacts they have. The Noble
21 County Jail cell blanket evidence seems to me to be such a
22 stretch under any circumstances as to wonder, you know, why are
23 we doing this?

24 And I would add just one thing: Even the method of
25 collection, your Honor -- that is, they take a vacuum cleaner

1 and vacuum the blanket, with no evidence that that procedure
2 has any validity whatever. I mean, maybe their vacuum cleaner
3 looks different from my vacuum cleaner, your Honor, in terms of
4 having a filter cleaned each time and there not being other
5 hairs left, and so on; but that, too, leads to this fundamental
6 question, why are we doing this here? It seems such a stretch.

7 MR. ORENSTEIN: Judge, may I respond to a couple
8 things that came up?

9 THE COURT: You may.

10 PLAINTIFF'S SURREBUTTAL ARGUMENT

11 RE HANDWRITING AND HAIR EVIDENCE

12 MR. ORENSTEIN: And I guess let me start by responding
13 to the last point we heard. Why do we need it? Because we
14 don't get to ask the jury, When have you heard enough evidence
15 to vote guilty? We have to prove our case beyond a reasonable
16 doubt; and we should be given the latitude consistent with the
17 rules of evidence, of course, to prove it in the way that we
18 think best.

19 And I don't think that's anything more than a
20 rhetorical point that's being made, you know, as to why we need
21 it.

22 But a couple things that Ms. Merritt and Mr. Tigar
23 said that I think are worth responding to. It's twice now that
24 Ms. Merritt has, I think, made a fundamental misreading of the
25 Kam study; and it's something that may be the source of a

1 hearing or not, depending on what you decide. I think it's,
2 for purposes of clarity of the record -- it's worth addressing.

3 The incentive and penalty structure that Professor Kam

4 and his colleagues put together simply did not encourage
5 guessing. There was a penalty of \$25 -- the test subjects, the
6 nonprofessionals, would get 25 --

7 THE COURT: I don't want to deal with that study
8 unless I have to. I guess I have to, if you're going to
9 proffer or expect to offer at trial the opinion that the same
10 person wrote two documents, so . . .

11 MR. ORENSTEIN: Without rehashing it, that's not
12 necessarily true, if you accept our arguments about 901(b)(3).

13 THE COURT: I don't.

14 MR. ORENSTEIN: And the point I'd like to respond
15 to -- Mr. Tigar's point is with respect to Section 1731,
16 handwriting must be proved. Of course it must. And this is
17 one way of proving it authorized by Congress.

18 But again, the point of -- that this has to satisfy
19 the Daubert standards because it employs a methodology I think
20 is once again getting into the area where your Honor has noted
21 before that Daubert is being used loosely. Daubert said where
22 there is a science being used, a scientific basis of knowledge,
23 then there are some factors that we use; otherwise it's the
24 traditional reliability standards that Compton talks about.
25 And Compton said the district court should not use its

55

1 discretion to restrict viable theories of a party. So I think
2 there is some blurring of the terms here; but beyond that, I
3 think your Honor has our position.

4 MS. MERRITT: Your Honor, can I add one thing?

5 THE COURT: Yes.

6 DEFENDANT MCVEIGH'S FURTHER ARGUMENT
7 RE HANDWRITING AND HAIR EVIDENCE

8 MS. MERRITT: This has to do with the language
9 "consistent with," which was brought up by Mr. Tigar and by the
10 Court and as to what would be allowed to be testified. And I
11 just wanted to point out that the -- there have been some
12 standards formulated called Terminology for Expressing
13 Conclusions of Forensic Document Examiners by the ASTM. They
14 have not yet been approved but --

15 THE COURT: What's the ASTM?

16 MS. MERRITT: I believe I believe it's the American
17 Society of Technological -- I forget what the M is. It's one
18 of these -- it's one of the organizations that tries to make
19 standards for these disciplines, and it's the most national
20 one.

21 Anyway, I just wanted to point out that what they
22 said --

23 THE COURT: Well, what are you saying about them; that
24 they can have standards?

25 MS. MERRITT: I'm saying that they are proposing

56

1 standards.

2 THE COURT: Well, you know, why worry me with that?

3 MS. MERRITT: Because I want to point out what it says
4 about "consistent with"; and what they do is they divide
5 terminology into recommended -- terms that are recommended to
6 be used and terms that are not. And they have moved
7 "consistent with" from the recommended column to the column
8 that says "deprecated and discouraged expression," because it
9 says that, "To say that the known writing is consistent with
10 the questioned writing has no intelligible meaning." And I
11 just wanted to point that out.

12 THE COURT: Okay.

13 Well, we'll take a recess before we go to these other
14 issues; but I'll tell you that my view of it is that you can
15 have, you know, a witness who has done measurements and has
16 supplied technology to make comparisons to testify about those
17 comparisons, whether this is handwriting samples vs. documents,
18 or whether it's hair. But to express the opinion that this

20 scientific knowledge that will authorize them to make that kind
21 of an opinion, and you're going to have to prove that to me at
22 another hearing; so that's my view of it: that there is a
23 difference between, you know, scientific knowledge and
24 technical skills and tools, the use of laboratory tools and so
25 forth. And therein lies the difference.

57

1 So I think the Government has to tell us whether

3 do with respect to the handwriting but not to hair. Reconsider
4 that. If you still want to do that, then we'll have to have
5 such a hearing on handwriting.
6 20 minutes. Recess.
7 (Recess at 10:17 a.m.)
8 (Reconvened at 10:37 a.m.)

10 All right. Our next -- our next issue is, what, the
11 explosive residue?

12 MR. JONES: Your Honor, before we do that, just so the
13 record is complete, if I may, we have adopted Mr. Tigar's
14 briefs and the position with respect to the tool marks and
15 Linda Jones.

16 THE COURT: All right. Well, the tool marks is much
17 the same thing, I would think; that is to say, there's a
18 difference between, again, as with ballistics in showing
19 enlargements from the microscope pictures or prints of the

20 microscope pictures of the striations and all of those things

21 versus this was one and the same tool.

22 DEFENDANT NICHOLS' ARGUMENT

23 RE TOOL MARK AND EXPLOSIVES EVIDENCE

24 MR. TIGAR: Yes, Your Honor, we -- certainly we will

25 not have an objection to the Government showing microscopic or

58

1 other photographic evidence of the interior of the lock and of
2 the drill bit with which the comparison was made. That doesn't
3 seem to us to involve anything other than taking pictures and
4 magnifying things.

5 THE COURT: Right.

6 MR. TIGAR: The Government's conclusion with respect
7 to tool marks is a tool mark on a drill lock from Marion,
8 Kansas, identified as a mark produced by a drill bit; that is,
9 they propose to have the expert come to a conclusion of
10 identity. Now, ballistics does have a -- there's a recognized
11 body of scientific knowledge out there. The problem with tool
12 mark identifications, as our brief points out, is that there
13 isn't any. And indeed, in our Prong 2 brief, we quote from the
14 protocol that we later received that was Bates stamped and it's
15 in the record. And what the tool mark examiner is told to do
16 is look through the microscope, make sure that your equipment
17 is clean, and then draw your own conclusions.

18 Well, that's rather like a manual on how to drive a
19 car: Get in car, turn on ignition, start car, don't run into
20 anything. There simply is no body of scientific knowledge that
21 supports the drawing of the conclusion. Now, the Government
22 tries to avoid this by what we respectfully suggest is a three
23 shells and no pea linguistic game; that is, the Government says
24 we escape from Daubert because it isn't scientific.

25 Well, as I said earlier -- and the Court can accept,

59

1 obviously, or reject that idea -- under Compton, there is a
2 methodology there. If there is a methodology there that leads
3 the expert to this conclusion, then the Daubert-type analysis,
4 as pointed out in these other cases that we cited, is
5 applicable; that is to say, there is a foundational question
6 here: Can this person be untethered from the personal
7 knowledge requirement so as to opine on this particular
8 identity as opposed to just showing us a bunch of pictures?

9 It's particularly troublesome here, Your Honor,
10 because the other scientific evidence produced by the
11 government, albeit belatedly, contradicts the evidence of this
12 expert. Now, ordinarily, we'd say, Gee, isn't that for the
13 jury to look at? But where the other evidence shows that the
14 tool mark identification person might not have it right, we are
15 doubly driven, it seems to us, to require some foundational
16 showing. And the evidence to which I refer is the Oakridge
17 Laboratory which shows that the brass shavings in the chuck of
18 the drill do not match the brass from the lock. So under those
19 circumstances, we think that qualification is required. That's
20 our position, your Honor.

21 It's, again, this question of what do you have to show
22 by way of foundation to permit someone to testify under Rule
23 702 once you get beyond this basic notion of now I'm going to
24 show you some pictures. So that is our position on tool mark
25 evidence.

60

1 Would your Honor like me to address the explosive
2 residue question?

3 THE COURT: Yes, go ahead.

4 MR. TIGAR: Explosive residue is addressed by the
5 McVeigh trial team more as a Prong 2 issue than as a Prong 1.
6 We have taken it on Prongs 1 and 2. And I want to focus here
7 on two bits of evidence that it seems to us make this a Prong 1
8 inquiry. The first is the report of Linda Jones, that she is a
9 British person. She says she's been in a lot of places where
10 things have been blown up. The Government has not assured us
11 that she was not present or will not rely on the New Mexico
12 blast. That is a matter that is going to have to be addressed
13 by the Government.

14 But Linda Jones does not claim to be a person who
15 examined the physical evidence, herself, microscopically or
16 scientifically. Rather she got reports from Mr. Burmeister.
17 Those are the reports on which Mr. David Williams was principal
18 examiner. In addition to that, she examined videotapes and
19 examined pieces of evidence, came to Denver, looked at them.
20 She is in the position, your Honor, of a accidentologist. That
21 is a person who sits astride a body of knowledge which is
22 indisputably scientific and wants to draw an overall conclusion
23 about how this event came to be.

24 Well, accidentologist-type evidence, like
25 reconstruction-type evidence, as the Tenth Circuit pointed out

1 in Robinson, does have a methodology. And because the
2 accidentologist is at so many steps removed from the primary
3 gathering of evidence, there are special dangers about such
4 testimony. Miss Jones wants to say that this device was, in
5 her opinion, a relatively simple ammonium nitrate, fuel oil

6 device of several tons.

7 Now, the problem with that is that she doesn't tell us
8 by what methodology she excludes other conclusions. That is,
9 she doesn't tell us how it -- what it is that she studied, what
10 books she read, what peer review there is that permits a person
11 to give such an opinion. That's particularly troublesome
12 because she couches her opinion in an apparent effort to avoid
13 those methodological problems by saying that the explosion is
14 consistent with, for example, such a device.

15 Well, there comes a point, your Honor, at which her
16 attempt to retreat from her methodological insufficiency makes
17 her testimony so unreliable as to be excluded as simply mere
18 speculation and not at all helpful to the jury. So she's
19 caught between those two poles. What I respectfully suggest we
20 need is if we're going to have this kind of accidentologist
21 overview, there's got to be some showing that it's reliable.

22 Second part --

23 THE COURT: What would that be? I'm struggling to see
24 what evidence would support an opinion within your view of
25 what's required.

62

1 MR. TIGAR: We would ask Ms. Jones, for example, Have
2 you ever studied a scene, a bomb scene that is equivalent to
3 this in any material respect? She's done a lot of car bombs
4 and she's looked at them, but she's remarkably unspecific about
5 that. Is there literature, Miss Jones, about the study of
6 these scenes?

7 THE COURT: You're looking for identifying
8 characteristics of a particular device?

9 MR. TIGAR: Yes, your Honor, identifying

10 characteristics and to the exclusion of other kinds of devices.
11 Remember, your Honor, unless the Government can prove to the
12 satisfaction of this jury that this device was made with things
13 to which these defendants had access and were known to deal,
14 then the Government's case is seriously weakened. So, yes,
15 we've got to inquire whether she's in a position to draw the
16 sorts of conclusions that she draws from this, you know,
17 position of reviewing the evidence. And I think it's
18 particularly important, your Honor, that methodology is all.

19 She claims to be a forensics chemist with a great deal
20 of experience, but she doesn't focus on what skills, what
21 scientific principles underlie the conclusions that she wants
22 to give you. The British forensic people in bombing cases
23 don't come to the court with a clean record. The people who
24 brought you the Gilford 4 are on the march again. And I think
25 there is this need to look at the evidence. Let me take it out

63

1 of this context.

2 THE COURT: Why is that a Daubert one, first prong
3 instead of second prong?

4 MR. TIGAR: Because, your Honor, in Robinson, which is
5 the grade crossing case, there the Court dealt with two sets of
6 issues. One was the video re-creation, and there is a great
7 deal of discussion about that. And I know it was Judge Bright
8 sitting by designation, your Honor, but he was a Tenth Circuit
9 judge for a day, so I think we have to pay attention.

10 THE COURT: Also was a distinguished Eighth Circuit
11 judge.

12 MR. TIGAR: Yes, your Honor, and he cited his own
13 treatise apparently in support of his conclusion which adds
14 additional weight to what he was to tell.

15 But the second part of the Robinson analysis was this
16 person was an accidentologist who wanted to tell us, based on a
17 review of all the physical evidence at the scene, how the train
18 hit the car. And the railroad had one, too, because there is a
19 real dispute about whether the person in the vehicle that got
20 hit had violated the rules about the grade crossing barriers.

21 Accidentologist testimony, with which I trust the
22 Court is familiar in these cases, comes under particular
23 Daubert scrutiny because of the risk that from so small a
24 percentage of scientific inquiry, the accidentologist wishes to
25 make so great a leap to conclusions about how the thing must

64

1 have happened. So I can't argue it any more eloquently than
2 Judge Bright, and I would be a temerarious man to try it. But
3 I think the Court of Appeals says in Robinson, Wherever you
4 have somebody who looks like an accidentologist, Daubert
5 controls.

6 Now, there's a second part of this: The Government,
7 in evidence that we have moved to exclude on the ground that it
8 came late and of suspicious provenance at that, has now got
9 Mr. Burmeister testifying about ammonium nitrate residue on the
10 very same piece of physical evidence that Linda Jones bases her
11 conclusion on. That's this Q507. It's a piece of the Ryder
12 truck body.

13 And what Mr. Burmeister does is after having in May 9,
14 1995, concluded there is ammonium nitrate on this piece, Q507,
15 he then goes back and looks at it again in the fall of '96
16 because ICI, a manufacturer of ammonium nitrate, gave him
17 certain information. And Mr. Burmeister goes back to
18 certain -- to his original conclusions. And what the

19 Government wants to do is have him say that the ammonium
20 nitrate on this board is ammonium nitrate that originated in
21 prills, not in some other forms, because as the Government must
22 concede ammonium nitrate is present in literally hundreds, the
23 undisputed evidence shows, of explosive compounds.
24 The question, therefore, is: By what methodology can
25 Mr. Burmeister, who now -- who supports Ms. Jones as well --

65

1 she's essential, he's essential to her conclusions -- by what
2 methodology does Mr. Burmeister come to this conclusion? And
3 it turns out, your Honor, that the methodology is fatally
4 flawed. First, of course, all ammonium nitrate prill
5 manufacturers use some combination of chemicals to coat the
6 ammonium nitrate because its too hygroscopic, it puddles, it
7 absorbs water. 90 percent of the ammonium nitrate is going to
8 have traces of aluminum, sulfur and silicon.

9 But when you look at Burmeister's lab reports dating
10 back to May '95 -- on which, by the way, David Williams is
11 principal examiner; Burmeister and Williams' names are both on
12 there -- you find that the traces of these chemicals to which
13 the Government now attaches such enormous significance in this
14 belated production, the traces of these chemicals hardly rise
15 above the background noise of the instrument. And we say that
16 those conclusions have got to be subject to a Daubert analysis.
17 We don't have any problem with the ammonium nitrate peak. The
18 ammonium nitrate peak on the machine, that's routinely within
19 the realm of what can be detected in a laboratory. But
20 Mr. Burmeister is going way out on a limb by testifying about
21 the significance of relatively small peaks on the machine that
22 are consistent with background noise.
23 Now, that would also relate to Prong 2 because this

24 kind of relatively small peak could result from the machine
25 having been improperly calibrated or the little stub or card

66

1 that's put in to do the read not having been properly inserted
2 or prepared. What we therefore have, your Honor, is that the
3 Government has built an enormous edifice of speculation upon a
4 small piece of plastic-covered plywood recovered at the scene
5 by someone who cannot say that it was deposited there by the
6 blast, how long it laid there, what water washed over it to
7 cause whatever was on it to dissolve and recrystallize. This
8 edifice of speculation is built by two people: Linda Jones,
9 who is an accidentologist with a British accent and some
10 experience in car bombs, and by Steven Burmeister, whose work
11 comes out of the laboratory itself discredited for reasons I
12 need not go into here, but who is making his conclusions based
13 upon what our supplemental submission shows is invalid science.
14 For that reason, we think that the Court's time would be well
15 served by having a hearing at which the Government would have
16 to establish foundation for the submission of this evidence.

17 THE COURT: All right.

18 Mr. Orenstein.

19 PLAINTIFF'S ARGUMENT RE TOOL MARK AND EXPLOSIVES EVIDENCE

20 MR. ORENSTEIN: Thank you, Judge. I'll address each
21 of the, I guess three areas now, tool marks, explosives, and to
22 the extent Agent Burmeister's findings are now in issue. And I
23 guess, if you don't mind, it will easier for me to start with
24 Agent Burmeister because that's the freshest in my mind.

25 THE COURT: All right.

67

1 MR. ORENSTEIN: What strikes me first of all is I'm
2 not sure if the attack on Agent Burmeister makes a lot of
3 sense. They're claiming there are problems with the lab; but
4 after a tremendous amount of discovery, there has been no claim
5 that what Agent Burmeister is doing is bad science or he's in
6 any way disqualified. Quite the opposite.

7 But to the extent that they're saying -- Mr. Tigar is
8 saying, rather -- that his methodology is fatally flawed
9 because the peaks that he's reading are barely above background
10 noise, that strikes me as a classic jury argument, the sort of
11 thing that the Daubert court said should be left to the
12 adversarial process. And if you'll excuse me for a moment
13 while I get some notes.

14 The Daubert court specifically addressed this when
15 they said, you know, there's some concern that in abandoning
16 the Frye general acceptance test, that we're going to have a
17 free-for-all of speculation and unsupported pseudoscientific
18 assertions. And they said, no, that vigorous
19 cross-examination, presentation of contrary evidence and
20 careful instruction on the burden of proof are the traditional
21 and appropriate means of attacking shaky by admissible
22 evidence. And it seems to me that the court in talking about
23 reliability -- and Compton did the same thing -- said that
24 these are areas that should be challenged by the adversarial
25 process in front of the jury and that a court should not use

68

1 its discretion in this way to keep evidence from the jury.

2 One more thing about Burmeister, just to clarify what
3 it is that we're talking about here. It's possible to get the
4 misimpression -- I'm sure it wasn't intentional -- that Agent

5 Burmeister went back to do new findings after learning about
6 the coating on the prill that's used in ammonium nitrate. What
7 he did was he had found back in 1995 that, in addition to
8 finding ammonium nitrate indicated in his readings, there were
9 some certain elements, and he didn't know what significance to
10 attribute to that.

11 Later he found out that those elements are used by an
12 ammonium nitrate prill manufacturer in the coating of their
13 prills. So that's the conclusion that he drew, that those
14 trace elements were consistent with having been in a prill but
15 not consistent with ammonium nitrate in dynamite, for example,
16 because those elements wouldn't be there.

17 But this seems to me --

18 THE COURT: Did he run more tests, then, or was that
19 just in explanation of the tests previously run and the
20 existence of these elements that he couldn't account for
21 before?

22 MR. ORENSTEIN: Well, the existence for the elements
23 was something that he already had.

24 THE COURT: Yeah, that's what I'm saying. And he
25 didn't know where they came from.

1 MR. ORENSTEIN: Right. And I think after he learned
2 that those elements are used by the -- company's name is ICI.
3 ICI manufactures ammonium nitrate prills, and they have in
4 their coating these particular elements. And Miss Wilkinson
5 can help me with the facts of what he did at that point.

6 MS. WILKINSON: He went to ICI, your Honor, did
7 additional testing with ICI to determine the contents of those
8 elements in their prills and in some other prills that are

9 found at Mr. Nichols' house and compared those with the
10 elements he already knew existed on the crystals on Q507 and
11 was able to determine that therefore it's more likely these
12 crystals came from a prill instead of from -- in their pure
13 crystalline structure, which you would have found in a dynamite
14 or in an emulsion or some other type of explosive. But it's
15 based on his scientific testing of the materials.

16 MR. ORENSTEIN: I think in answer to your question,
17 though, it's not that there's additional testing of Q507.

18 THE COURT: No, no, I didn't mean that.

19 MR. ORENSTEIN: And I guess the only other basis that
20 they're asserting for excluding this is not so much Daubert
21 first prong challenge but that it's belatedly turned over. I
22 think that's an ill-founded objection from someone who is not
23 going to trial for several months. But that's the subject of a
24 separate motion, and I'm sure the Court will give us an
25 opportunity to respond to their brief.

1 Let me turn, proceeding backwards, I guess, to Linda
2 Jones. And I think it's -- it's an unfortunate analogy to say
3 she's an accidentologist with a British accent, and I'm not
4 sure what the British accent part of it tells the Court. But
5 she's not an accidentologist; and I think if there's a
6 comparison to be made, it's most like the fire chief whose
7 testimony was approved in the Muldrow case. A fire chief and
8 an explosives analyst do very similar things. They have a
9 background that's both scientific and experiential. They know
10 the chemical and physical properties of certain physical
11 substances. The fire chief knows about accelerants. The
12 explosives analyst knows about ammonium nitrate, other
13 explosives. They both have experience in seeing what the

14 effects of the use of those substances are. And based on their
15 experience and their knowledge of physical properties, they can
16 examine the evidence left by a fire or an explosion and tell
17 you things about what happened.

18 And the Court in Muldrow approved the use, said it was
19 not erroneous to admit this kind of testimony. So -- and it's
20 also in some ways like what the Court said in Compton with
21 respect to the engineer. It's not something that you have to
22 have done before, exactly like you're doing it now. If you
23 have general training in this area, it's appropriate to let the
24 jury hear this evidence and to let the two parties fight it out
25 as to its significance.

71

1 As to Ms. Jones' qualifications to do this, first it's
2 not a first prong type of challenge. But Ms. Jones is the
3 leading British expert in explosive analysis. She's been doing
4 this in one form or another for over 23 years. She's been a
5 forensic examiner since 1985. She's a fellow in the Royal
6 Society of Chemistry. She's a member of the Institute of
7 Explosive Engineers for several years. She's authoring, I
8 believe, a chapter in a textbook about forensic aspects of
9 explosions. This is a qualified expert. And obviously if they
10 want to challenge her qualifications, we can address that
11 either in voir dire, at a pretrial hearing, but it's certainly
12 not the sort of thing that is a first prong objection which is
13 can this ever properly be the subject of expert testimony at a
14 trial.

15 With respect to tool marks, I guess a couple of
16 factual things need to be corrected. The metallurgical
17 examination, as I understand it, showed that there was a --

18 THE COURT: Let me just back up a second. Is her
19 ultimate conclusion expected to be that after looking at all of
20 these things, my view is that this explosion and the
21 consequences of it are consistent with an ammonium nitrate,
22 fuel oil device?

23 MR. ORENSTEIN: She will not say ammonium nitrate,
24 fuel oil, is my understanding. Certainly, she will -- Miss
25 Wilkinson will correct me if I'm misstating it.

72

1 THE COURT: What's she going to say?

2 MS. WILKINSON: She's going to say it was one device
3 placed in the truck that is consistent with a ammonium
4 nitrate-based explosive.

5 THE COURT: Okay.

6 MR. ORENSTEIN: The difference being not the fuel oil
7 part of it is not her conclusion.

8 THE COURT: It's not?

9 MS. WILKINSON: She is not going to ultimately say it
10 was an ANFO bomb based on her scientific analysis and based on
11 her experience.

12 MR. ORENSTEIN: Your Honor, unless the Court has
13 additional questions about Miss Jones, I'm going to proceed
14 backwards to tool mark.

15 THE COURT: Go ahead.

16 MR. ORENSTEIN: She will not say ammonium nitrate,
17 fuel oil, is my understanding. Certainly she -- and Miss
18 Wilkinson will correct me if I'm misstating it.

19 Or do you just want to state it directly?

20 THE COURT: What's she going to say?

21 MS. WILKINSON: She's going to say, your Honor, that
22 it was one device placed in the truck that is consistent with

23 an ammonium nitrate-based explosive.

24 THE COURT: Okay.

25 MR. ORENSTEIN: The difference between -- not -- the

73

1 fuel oil part of it is not part of her conclusion.

2 THE COURT: It's not?

3 MS. WILKINSON: She is not going to ultimately say it
4 was an ANFO bomb based on her scientific analysis and her
5 experience.

6 MR. ORENSTEIN: Unless the Court has additional
7 questions about Miss Jones, I'd like to proceed --

8 THE COURT: Go ahead.

9 MR. ORENSTEIN: -- backwards to tool mark.

10 There is an objection that, first of all, we should be
11 very careful about letting this in because it's wrong. Again,
12 that violates I think the basic precept of Daubert and Compton,
13 which is we don't focus on conclusions at this juncture, we
14 focus on the methodology. But to the extent that there is an
15 assertion that metal -- independent metallurgical examination
16 shows that they're inconsistent, that's not the case, well --

17 THE COURT: Well, but here's the thing, too. Again
18 getting back to my method of analysis, which is what's the
19 opinion we're talking about; and there's a difference in my
20 mind between saying, well, as a result of my comparisons here
21 and analysis, this drill made this mark versus these marks with
22 this type of drill is consistent with this type of drill.

23 MR. ORENSTEIN: Well, our -- the conclusion that we're
24 offering is that this drill made this mark.

25 THE COURT: All right. You're doing it like a

1 ballistics test, that this slug came from this gun.

2 MR. ORENSTEIN: It's the same type of principle in
3 that the drill leaves behind individual characteristics that
4 you can compare and contrast to other drills. And the marks
5 allow the examiner to say, you know, This drill made this mark.
6 So that's what we're offering. And I guess the response that I
7 have to Mr. Tigar is that --

8 THE COURT: Every electric drill, every Black & Decker
9 drill, every Makita drill or something leaves unique
10 characteristic marks?

11 MR. ORENSTEIN: I don't personally claim to be an
12 expert in this obviously, but my understanding of it is that,
13 you know, any kind of metal --

14 THE COURT: I guess we're talking about bits,
15 actually.

16 MR. ORENSTEIN: The drill bit -- is going to have
17 some -- some tiny imperfections or differences from one drill
18 bit to the next and you can compare them and say, Look, this is
19 the -- the imperfections that we see on this bit --

20 THE COURT: And can't be found in any other drill?

21 MR. ORENSTEIN: Well, again, this is an area where --

22 THE COURT: Where's the science of that? That's the
23 question here.

24 MR. ORENSTEIN: And my position, your Honor, would be
25 that this is not -- it's not the case that every opinion has to

1 be backed up by science. It can --

2 THE COURT: It depends on what the opinion is.

3 MR. ORENSTEIN: Right, but even --

4 THE COURT: But the opinion here has to be rooted in
5 the notion that there are individual characteristics of a drill
6 bit.

7 MR. ORENSTEIN: Right, and that can be based on one of
8 two things. And I think that depending on which it's based on,
9 you have different arguments about its weight. If it's based
10 on science -- in other words, there's a scientific law that no
11 two drill bits can be alike --

12 THE COURT: Exactly.

13 MR. ORENSTEIN: -- that's one thing. And that would
14 certainly --

15 THE COURT: Which you're not suggesting is the case.

16 MR. ORENSTEIN: I'm not suggesting -- I don't want to
17 speak out of turn, though, because it may be that I'm
18 misperceiving what the expert says. But let's assume for the
19 moment that that's not what we're saying, that it's a matter of
20 experience. I would argue to the Court -- and I think this is
21 consistent with Compton -- where the engineer renders his
22 opinion about what caused the event, not based on science, not
23 based on the idea that there are scientific principles which
24 say this must have happened, but based on his background, his
25 experience as an engineer to say that in my experience this is

76

1 likely what caused this event.

2 THE COURT: Well, that's quite a different thing from
3 saying that in my experience every Black & Decker drill bit is
4 different from every other.

5 MR. ORENSTEIN: Well, it's not different from saying,
6 In my experience, I haven't seen this characteristic repeated.
7 And it's the difference between saying, The empirical data that

8 I have is limited but it does support my conclusion, and
9 saying, The empirical data that I have must be generated by
10 scientific truths. I don't think that either Compton or
11 Daubert says that you can only admit the latter kind of
12 testimony.

13 THE COURT: I think this is a lot like handwriting.

14 MR. ORENSTEIN: I agree that the principles we're
15 talking about here are the same, that it's a matter of can
16 experience --

17 THE COURT: Or maybe hair sample is better because you
18 are contending the handwriting has unique individual
19 characteristics.

20 MR. ORENSTEIN: Right, but I think -- the broader
21 point I think is that to a greater or lesser extent in all of
22 these disciplines, you take an expert who, based on experience
23 in looking at these characteristics over time, can say, In my
24 experience, this is something that's unique or it's not.
25 Now --

77

1 THE COURT: But, you know, it really depends. Like
2 you have a chisel that has been used to jimmy a door.

3 MR. ORENSTEIN: Uh-huh.

4 THE COURT: And that particular chisel has an
5 imperfection in it because of its prior use and so forth, and
6 you can match that against a mark on the doorjamb and say that
7 chisel went in there.

8 MR. ORENSTEIN: Uh-huh.

9 THE COURT: That's quite a bit different from saying
10 that every drill bit has a different, unique characteristics.
11 Now, if there's something wrong with this particular one, I
12 don't know. Is that his testimony, that this one has a piece

13 of metal missing or something like that?

14 MS. WILKINSON: Your Honor, that's what he is going to
15 say, that it has unique marks on the drill bit that leave a
16 unique impression in the lock. So the way you phrased perhaps
17 is the way we should phrase our opinion. That's what he's
18 going to say.

19 THE COURT: Okay.

20 MR. ORENSTEIN: Yes, and based on that, I think it's
21 like the chisel analogy, the expert is saying --

22 THE COURT: Yeah, this one can do it because of these
23 unique characteristics of this particular one --

24 MR. ORENSTEIN: Right.

25 THE COURT: -- and that's shown by the marks. That's

78

1 quite a different thing --

2 MR. ORENSTEIN: That's what we're offering --

3 THE COURT: -- by itself to know exactly what the
4 opinion is.

5 MR. ORENSTEIN: So unless the Court has additional
6 questions on the other areas that we've been discussing?

7 THE COURT: Okay.

8 Mr. Tigar.

9 MS. WILKINSON: Your Honor, could I just clarify one
10 thing that I said to you about the Miss Jones conclusion?

11 THE COURT: Come on up and clarify it.

12 MS. WILKINSON: Thank you. When you asked me whether
13 she would be concluding whether it was an ANFO bomb, I said,
14 no, but she's just going to say that it's consistent with an
15 ammonium nitrate-based explosive. That also means that it's
16 consistent with an ANFO bomb. It's just based on her

17 experience and the science. She can only conclude, when I
18 think the Court is alluding to is proper, that it's consistent
19 with the group of explosives that are ammonium nitrate based of
20 a midrange velocity.

21 THE COURT: And when you say the midrange velocity,
22 that relates to the quantum of ammonium nitrate involved?

23 MS. WILKINSON: No, it refers to the supersonic wave
24 of the detonation of the explosive; in other words, the
25 velocity of detonation, how fast the explosive goes out.

79

1 THE COURT: Is she going to talk about that, you know,
2 these results show this size of, this explosive device?

3 MS. WILKINSON: Yes, she opines within a range, within
4 several tons of the explosive, and she's going to say that the
5 damage is consistent with this midrange velocity which shows
6 this pushing and heaving versus a higher explosive which shows
7 shattering, which is more of a brisant-type explosive, a
8 military explosive. She is doing what the Court said, she's
9 eliminating some of the points, but she's not going beyond
10 their expertise to say, I can tell you without a doubt it was
11 an ANFO bomb. And she's just limiting it to that category of
12 explosives based on all these factors that we've discussed plus
13 others.

14 THE COURT: All right. Mr. Tigar.

15 DEFENDANT NICHOLS' REBUTTAL ARGUMENT
16 RE TOOL MARK AND EXPLOXIVES EVIDENCE

17 MR. TIGAR: I am grateful for the clarification
18 because Miss Jones does speak in her report that's attached to
19 our motion of ammonium nitrate being mixed with a suitable fuel
20 oil and termed ANFO, and here I think is the Prong 1 issue: Is
21 she qualified to tell us that; that is, on the one hand, there

22 are low-velocity --

23 THE COURT: I'm going to stay with what Ms. Wilkinson
24 has said in clarification of the opinion to be expected from
25 the witness. So let's deal with that.

80

1 MR. TIGAR: Yes. If that is her --

2 THE COURT: That's why we hold hearings --

3 MR. TIGAR: Yes.

4 THE COURT: -- you know, to get these things cleared
5 up.

6 MR. TIGAR: It is our contention, your Honor, that
7 there is no showing of a scientific methodology that permits
8 her to make this conclusion; that is, to distinguish between,
9 based on the material presented to her, the relative low
10 performance of a gunpowder-type explosive and the relatively
11 high velocity in terms of feet per second out from the blast
12 center of a military-type explosive; that is, she is making a
13 conclusion, but she isn't telling us what methodology permits
14 her to say that this is a midrange explosive, something in the
15 13,000-foot-per-second range. And that is only one of the
16 conclusions she expresses.

17 THE COURT: I don't know what you would require of the
18 witness.

19 MR. TIGAR: Well, one of things we found in the
20 depositions we were able to take, your Honor, is that nobody
21 has any experience in a real-life sense with an explosion of
22 this magnitude. There's nothing to compare it to. Now, that
23 doesn't mean that the Government can't put on its case. Of
24 course it can. But it does mean that absent some prior body of
25 knowledge on the basis of which Ms. Jones can opine, we need to

1 have a hearing to determine whether or not her qualifications
2 permit her to make this sort of reconstruction based on the
3 evidence that was available to her.

4 THE COURT: Well, I would assume part of this is
5 simply extrapolation from what is known about other devices
6 with other results.

7 MR. TIGAR: Well --

8 THE COURT: I mean, can't you just -- it seems to me
9 that it's simply logic that you can say that if certain quantum
10 can do this, then if you increase the magnitude by three, we
11 may have this result.

12 MR. TIGAR: That may very well be, your Honor, and I'm
13 prepared to accept that. However, with all respect, neither
14 the Court nor counsel can say whether or not a simple
15 arithmetic progression of that kind exists or whether such
16 generalizations are appropriate. And this obviously comes up
17 in an analogous context in Daubert. We may swear a
18 pediatrician to testify to some conclusion about some small
19 cell cancer. Well, the pediatrician can reason out the sense
20 of the thing, but unless that pediatrician can show us that he
21 or she has some scientific basis for making this conclusion,
22 then the evidence wouldn't come in. All we're saying is that
23 that's a part of the foundational requirement that has to be
24 shown.

25 Now, the fire chief case that Mr. Orenstein cited, if

1 you will recall, is a perfect example of the distinction. The
2 fire chief there was permitted to testify that the rekindling

3 of the blaze in the burned house had to have come from some
4 source other than spontaneity, thus supporting a conclusion of
5 arson, because, as the Court recounted, thousands of gallons of
6 water had been poured on the blaze. And he said, Gee, in my
7 experience, it wouldn't just start up again after we poured all
8 that water on it, unless somebody had put something in there to
9 make it do that.

10 That sort of a simple conclusion, based on the 28
11 years of experience of the fire chief there, is a far cry from
12 the complex reports that we have from Miss Jones, and that is
13 what we would say is the distinction.

14 Now, with respect to Mr. Burmeister, in our
15 supplemental motion, we point out that Mr. Burmeister did not
16 indeed go back to his original analysis of the Q-507, but he
17 did go meet with ICI, and ICI did the analysis that permits him
18 to make his comparison. Here's what they say about their own
19 methodology that Mr. Burmeister adopts: It is not our normal
20 practice to identify unknown ammonium nitrate samples. We
21 normally know from where the sample has originated. The
22 testing methodology was therefore developed as we proceeded.
23 We were also limited by the amount of material available for
24 testing.

25 Now, that, your Honor, is a voluntary petition in

1 intellectual bankruptcy with respect to the conclusions that
2 Mr. Burmeister offers.

3 And finally with respect to tool marks. Of course
4 every drill bit leaves some kind of a mark. Now, Ms. Wilkinson
5 says this expert is going to say there's something unique about
6 this drill bit. Oh, there is? The drill bit was allegedly

7 used to drill a lock on or about October 1, 1994. The drill
8 bit was thereafter in a box of drill bits with a Makita drill.
9 The drill bit was tested in April or May of 1995 after having
10 been apparently in continuous use. Why do we say apparently in
11 continuous use? Because the chuck of the drill has these metal
12 shavings that don't match the lock, so it's been used to drill
13 other things.

14 How an expert can six months after the fact come to
15 this conclusion of uniqueness with respect to this tool does,
16 it seems to us, require some foundation. After all, a drill
17 bit is not like a chisel, nor is it like a bullet. A bullet
18 leaves the chamber, goes out the barrel, and spins. And thus
19 by looking at the rifling characteristics and other
20 characteristics, you can come to a conclusion. A drill goes in
21 and out; and if you're like me, your Honor, trying to drill
22 through something thick, it goes in, and then you pull it out
23 to see whether you got it all the way through, and then you put
24 it back in, and then you spit on it or dip it in water and then
25 put it back. It's not exactly the same sort of phenomenon.

84

1 So this is one of these things in which I would say,
2 Gee, how did he do that, your Honor, how is he able to offer
3 that conclusion? And once we get beyond photographs, we say
4 that's methodology.

5 THE COURT: Yes.

6 MR. ORENSTEIN: With respect to the, with respect to
7 the tool marks, what Mr. Tigar just went through expounding is,
8 A, disputed, it's a factual matter, and I think his conclusions
9 about the shavings or his beliefs about the shavings are
10 incorrect; but that's more importantly, I think, classically
11 the sort of thing that you would argue to a jury after

12 cross-examination, and I think that's the important point that
13 we have to keep returning to because both Daubert and Compton
14 talk about the fact that this is not a rule to exclude anything
15 that isn't absolutely certain to be what the proponent says it
16 is. It's a rule to make sure that the testimony rises above a
17 certain floor where it's just total speculation or unsupported
18 and then to let the adversarial system allow to jury to come to
19 a conclusion.

20 The idea that one can't extrapolate from past
21 experience, as you were talking about, I think is an
22 unsupportable rule legally. It would essentially mean that for
23 any particular size of a bomb, you get one free shot at using
24 it before you can have an expert identify, and that can't be
25 the law, and it's not the law under Daubert and Compton.

85

1 As to the, the complaint that ICI doesn't generally do
2 this kind of testing, well, Agent Burmeister does, and again
3 this is an area where the results of the test and the
4 experience or not of the person doing it can be tested on
5 cross-examination, but that's not the issue under Daubert.

6 THE COURT: Okay. Well, you know, in looking at the
7 motions as I structure this argument, the question is whether
8 the opinions and the evidence, the testimony that's described
9 here in motions should be excluded at the threshold because
10 Daubert excludes them. And my view of it is that it does not
11 with respect to the tool marks and it does not with respect to
12 the testimony, as I understand it, from Linda Jones about the
13 explosive device and it does not with respect to the hair.
14 Given my understanding of what's going to be offered. And of
15 course I'm making a threshold ruling. There will be other

16 rulings made in the course of the trial. It may be that there
17 won't be an adequate foundation and there will be other
18 objections under the rules of evidence. But we're just looking
19 at this as the threshold.

20 Now, with respect to the handwriting, I don't have any
21 problem with what we've already discussed; that is, the
22 comparisons made, the demonstration of similarity in strokes
23 and all of that sort of thing. When it comes to expressing an
24 opinion of identification with this handwriting, with this
25 person, you'll have to show me more, if that's what you intend

86

1 to do, and if we're going to go forward on that, we'll need a
2 hearing about the validity of the science of handwriting
3 comparison to make an identification of the writer.

4 So, those are my rulings. We'll take up the matter of
5 the jury pool. Mr. Jones.

6 DEFENDANT MCVEIGH'S ARGUMENT RE STATEWIDE JURY POOL

7 MR. JONES: May it please the Court, this matter has
8 been the subject of discussions in chambers with the Government
9 and with Mr. Nichols' counsel as we have tried under the
10 Court's guidance to do the necessary planning leading towards
11 the calling, empaneling, and selecting the jury and getting the
12 trial under way. And the Court heard us in chambers, and in
13 addition to that we filed this brief, so the Court is familiar
14 with the contentions that we have made and the discussion that
15 has occurred, and I don't wish to repeat them unnecessarily
16 here. And so therefore I will be brief.

17 And let me also begin by, with candor to the Court
18 saying that the Sixth Amendment does not require the relief
19 that we are asking. So far as we understand the teachings of
20 the relevant case law. Nor are we at this time making any

21 challenge to the district of Colorado jury selection plan. So
22 I don't know that there is anything, and in fact, I'll go
23 further than that, I don't think that the Court is required
24 under the existing state of the statute, the plan, and the case
25 law, to grant this motion. It is simply a question that I

87

1 believe is within the discretion of the Court and the Court has
2 invited us to give our reasons why we feel that it would be
3 more appropriate, more representative, and more fair to bring
4 in a jury from the entire district rather than the 23 counties
5 which compose the Denver division of the jury wheel.

6 I think that there are two reasons that we would argue
7 to the Court. And one of those is I think less compelling as
8 time goes on. The first was that frankly, we were concerned
9 that when we came to the district of Colorado, that we would
10 find the same type of massive and indeed unfair and prejudicial
11 publicity here that we had to wrestle with in Oklahoma.
12 Whether that's because Denver has a competitive press in that
13 it has two morning newspapers and a highly competitive media
14 market in this area or other reasons, I'm not qualified to
15 speak. But it does seem to me that in carefully reviewing the
16 material, even some that was submitted to the Court as late as
17 last night, that there has been a balance, a willingness to
18 present both editorially and even, if you will, in headline, an
19 objective and fair balance of the respective views of the both
20 sides as either they may have been shaped by counsel or by the
21 reporters either for the press or for television and radio. As
22 opposed to the situation that we found in Oklahoma in which for
23 whatever reason, the overwhelming share of what was said was so
24 one sided and so negative it was kind of like when I ran for

25 the United States Senate, you wondered why they even bothered

88

1 to count my votes or why we even bothered to have a trial in
2 this case because guilt was so overwhelmingly presumed.

3 So while I address that and I point out to the Court
4 that there is this overwhelming emphasis on newspaper
5 circulation in the Denver division so that 75 percent of both
6 The Denver Post and even higher of the Rocky Mountain News
7 circulation is in this area and that the overwhelming portion
8 of the television audiences in this area, to be frank with the
9 Court, I think that is less important based upon the way things
10 stand today. After all, the teachings of Sheppard vs. Maxwell
11 and Estes and the other cases say that you don't have to
12 empanel a jury that is ignorant, you don't have to empanel a
13 jury that doesn't know anything about the case and you can even
14 call jury people that have an opinion. The question is, are
15 they willing to put aside the opinion and base it upon the
16 evidence that they hear in court. And at least it occurs to us
17 that where there is some type of balance or restraint, that
18 that is a factor that we candidly have to recognize.

19 However, there is another, and I think more compelling
20 argument, and I want to choose my words carefully here because
21 I don't wish in any way to be offensive to anyone or to cause
22 anyone any pain.

23 I noticed when I was shown the courtroom the other day
24 that the Court has gone to extraordinary lengths to make this
25 courtroom not just comfortable and solemn, but more

89

1 importantly, neutral, antiseptically neutral. Other than the

2 flag behind your Honor, there are none of the usual
3 appointments, fixtures that we see in federal courtrooms such
4 as the great seal of the United States or other things that
5 indicate that this is a federal government building. And
6 certainly no one can argue about the flag. Now, clearly we are
7 in a federal building. And that's appropriate. I don't
8 suggest that we hold this trial like the Scopes trial under the
9 trees in the spring.

10 But nevertheless, my point is that that could not have
11 been by accident. The charge against my client for which he
12 stands accused is that because of his political philosophy or
13 motivations, he planned and carried out an attack and killed
14 federal employees in a federal building and specifically chose
15 that federal building and to kill those federal employees
16 because they were employees of the federal government or it was
17 a federal government installation. That's the charge.

18 Now, if we were to try that case in an environment in
19 which daily the jury was reminded in some inappropriate way
20 that this was the federal government that was putting him on
21 trial and, I say there inappropriate way, it would be of
22 concern and the Court has, I think, legitimately addressed that
23 concern even down to the design of this room.

24 But, the census data that is most readily available to
25 us, Judge, shows that there are over or almost 50,000 employees

1 of the federal government that reside in the Denver division of
2 the jury wheel, as opposed to 27,000 that reside in all other
3 three districts, Grand Junction, Pueblo, and Durango, combined.
4 So two-thirds of them are here. And the other third are spread
5 over three districts.

6 Now, being a federal government employee does not in
7 and of itself perhaps give a challenge for cause. But at least
8 it's been my experience in trying cases for 30 years, and
9 Mr. Ryan, I know, has had similar experience, that we used to
10 find juries that consisted primarily of employees of Tinker Air
11 Force Base and AT&T employees or Southwestern Bell because they
12 encourage the people to serve on juries. And what I'm simply
13 suggesting is that given the facts of this case, we run, it
14 seems to me, a high risk -- the Court will have to determine
15 whether the risk is acceptable or not -- that many of these
16 people that are federal government employees will not want to
17 sit on this case. And so therefore we have to have a
18 sufficiently large pool that we can get people that will offset
19 those that might at first glance not wish to participate.

20 The other thing is that this census data doesn't
21 address the issue of state employees. And yet we have to
22 remember that the facts of this case will show that not only
23 was the Murrah building destroyed, but also the State of
24 Oklahoma Water Resources Board and some of its employees were
25 killed. Now, they may not correctly be mentioned in the

91

1 indictment here, but it will come out.

2 Well, clearly and while I don't have this precise
3 statistics, since the state capital, the seat of Colorado's
4 government is in the Denver division, then clearly most of the
5 state employees in this state will live in the Denver division
6 of the jury wheel.

7 We have addressed in our brief the question that we
8 believe that the hardship factors are not relevant here. I
9 know that it is a little more difficult, a little more
10 inconvenient, and a little more expensive to enlarge the pool.

11 The other thing that I'm concerned about, and my
12 information may be incorrect, and so if it is, I know that the
13 Court will correct me. But I understand that almost no federal
14 jury trials are held in these other three divisions, that it
15 seems to be the preference of lawyers in those divisions to
16 file their cases in the Denver division so that the jury is
17 chosen from here. I do think that might impact upon the
18 question of the representatives of the sample if the history of
19 the plan here is that for all practical purposes, there aren't
20 trials in the Grand Junction, Pueblo, and Durango divisions.

21 So we would ask the Court, for the reasons stated in
22 our brief and which the Court has heard us graciously in
23 chambers, to move the Court to enlarge the pool here -- well, I
24 shouldn't say enlarge, because you haven't actually called the
25 jury -- but to include the other three districts or at least

92

1 one more district -- I'm sorry -- one more division of the
2 district in the pool from which the members of the jury panel
3 will be summoned. All right.

4 THE COURT: All right. Mr. Ryan.

5 MR. RYAN: Good morning, your Honor.

6 THE COURT: Good morning.

7 PLAINTIFF'S ARGUMENT RE STATEWIDE JURY POOL

8 MR. RYAN: May it please the Court. Mr. McVeigh's
9 brief cites and quotes from 11 federal cases, the Continental
10 Congress, Andrew (sic) Hamilton's Federalist essays on the
11 making of the Constitution, yet not one word of his brief
12 speaks to any authority or even a compelling reason why this
13 court should depart from the Court's normal practice of
14 selecting jurors from the Denver division. Your Honor, I

15 intend to speak to five points.

16 First, Mr. McVeigh asked to be in Denver. To briefly
17 review how we came to be here in this courtroom today, your
18 Honor I know recalls the motion Mr. McVeigh's counsel filed to
19 transfer the venue out of the state of Oklahoma. Counsel for
20 Mr. McVeigh employed an expert consultant by the name of
21 Dr. Penrod. You may remember Dr. Penrod who testified in
22 Oklahoma City. He employed Dr. Penrod to conduct venue surveys
23 and selected the cities of Albuquerque, Lawton, Denver, and
24 Kansas City.

25 In argument to your Honor after the evidence was

93

1 heard, Mr. Jones on behalf of Mr. McVeigh stated, Denver was
2 more fair, less biased than Tulsa or for that matter any city
3 polled inside the Tenth Circuit. Counsel, Mr. Jones, stated
4 that he was willing to accept the designation of Denver; and
5 then Mr. Jones went on to say, to state the following with
6 respect to the transfer to Denver: When you list the
7 advantages of Denver, the fact that it is in the Tenth Circuit,
8 the fact that the Denver division has a very large jury pool,
9 and the fact that Denver has an experience of handling
10 high-profile cases, et cetera, et cetera, et cetera, Mr. Jones,
11 and Mr. McVeigh rather, knew very well what he was asking for,
12 what he was asking this court to do, and now he has it and he's
13 not satisfied.

14 Second, your Honor, although Mr. Jones has taken the
15 sting out of this a little bit by what he said about the
16 pretrial publicity, I was going to remark that, you know, this
17 morning I was awakened in bed to Mr. Jones's voice on the AM
18 station I was listening to, KOA, which is a 50,000-watt radio
19 channel, the signal is carried all the way, at least in the

20 evenings from Red Lodge, Montana to Lubbock, Texas. Yesterday
21 I opened Time magazine, and Mr. Jones was saying that his case
22 was in better shape, that Tim, quote, Tim and I feel very good
23 about our case. Last Friday, as I tried to go to sleep, I
24 listened to Mr. Jones on CNN telling the Denver division and
25 the world about the troubles of the case. In fact, your Honor,

94

1 in the space of one week, I listened to Mr. Jones's quotes from
2 seven time zones all the way, all the way, your Honor, from
3 newspapers in Idabel, Oklahoma, to a British newspaper, the
4 London Sunday Times. I must confess, your Honor, I don't
5 subscribe to that paper. It was shown to me.

6 But, your Honor, the reason Mr. McVeigh, of course,
7 has retreated from the publicity angle is because Mr. McVeigh's
8 counsel is the one who's created all the publicity and that is
9 not a valid reason to expand the Court's normal practice
10 outside the Denver division.

11 Your Honor, I was going to speak to the nature of the
12 publicity, but since Mr. Jones has conceded that it has not
13 been prejudicial, we'll not speak to my Point 3.

14 Your Honor, even if the publicity was in somehow, some
15 fashion prejudicial, the numbers that Mr. McVeigh's counsel
16 cites do not support their argument. I frankly had a difficult
17 time deciphering the McVeigh brief on this point. It seemed
18 that he misunderstood the fact that the Denver division had 23
19 counties, although I heard him today acknowledge that that was
20 the case.

21 To say that the Denver television market extends to
22 72 percent of the state means nothing because 72 percent of the
23 people in Denver -- excuse me, in Colorado live in the Denver

24 division. To say that the Denver Post circulation is
25 75 percent in the Denver division means nothing when 72 percent

95

1 of the people of Colorado live in the Denver division.

2 And similarly, his argument on federal employees
3 absolutely makes no sense to me. The numbers he gave today or
4 in his brief, your Honor, of 45,000 some odd federal employees
5 in the Denver division versus 20 some thousand outside the
6 Denver division shows the opposite of what Mr. McVeigh is
7 arguing. It shows that there is a less, there is a less
8 percentage of federal employees in the Denver division than
9 there is in the state of Colorado. 62 percent of the federal
10 employees in Colorado are in the Denver division. But
11 72 percent of the people are in the Denver division.

12 Your Honor, Mr. Jones speaks to, on page 9 of his
13 brief, the McVeigh brief, cites that, quote, potential jurors
14 outside of the Denver division are certain to have experiences
15 with the federal government which differ from those of the
16 Denver division residents and are important to this case. I
17 don't know what that means. I don't know if the McVeigh
18 defense is hoping to find a greater percentage of people
19 outside Denver who hate the government and therefore would be
20 good jurors for the defense. If that is the goal, your Honor,
21 then it is not a goal we should strive to seek. We're, and I
22 know your Honor is, committed to the notion of selecting jurors
23 who can be fair and impartial, and their animus towards the
24 government plays no part in these proceedings.

25 In short, your Honor, there is no valid reason to

96

1 depart from this court's practice of using jurors outside the
2 Denver division. In conclusion, Mr. McVeigh's counsel speaks
3 of the importance of public acceptance of the verdict in this
4 case. To achieve that public acceptance, your Honor, we feel
5 that the Court should not depart from its normal and customary
6 practices. Staying with those time-honored practices is the
7 way for this case to receive public acceptance that we all
8 seek. Thank you, your Honor.

9 THE COURT: Thank you, Mr. Ryan.

10 Mr. Jones, do you have any reply?

11 DEFENDANT MCVEIGH'S REBUTTAL ARGUMENT RE STATEWIDE JURY POOL

12 MR. JONES: Just briefly, your Honor. Two points.

13 First, I'm sure I'm sorry that Mr. Ryan's sleep was disturbed
14 by me this morning. I assure him that I was not listening to
15 myself on the radio when I awakened, but I'll leave it at that.
16 I'm sorry I don't recognize KLA. I would say that I'll be glad
17 to show Mr. Ryan my scrapbook of clippings from Jamie Gorelick,
18 Weldon Kennedy, Louis Freeh, Scott Mendeloff, Mr. Hartzler, and
19 Leesa Brown. But I don't think that's the issue.

20 The issue is, as Mr. Ryan and I have framed it,
21 concerning where best the jury would come from. I will,
22 however, say, in all deference, that I commend him for refining
23 my earlier remarks to the Court which seemed to be inconsistent
24 with what I am saying today, and I can only say to the Court
25 that Senator Everett McKinley Dirksen said, Consistency is the

1 hobgoblin of small minds.

2 Thank you, your Honor.

3 THE COURT: I hope he gave attribution to who first
4 said that.

5 MR. JONES: He did, your Honor.

6 RULING RE STATEWIDE JURY POOL

7 THE COURT: All right. I've reviewed the motion, and
8 have also reviewed some information about the Denver division
9 of the court and of course it's a misimpression, and I realize
10 Mr. Jones, that you're aware of it, that the Denver division is
11 Denver or even the six-county metropolitan area of Denver
12 because it does indeed include 23 counties that range from the
13 eastern border of the state to the northern border of the state
14 and that cross the continental divide and include Lake County
15 and Chaffee County and Grand County and as you look at it and
16 looking at the census information you see that, first of all,
17 the ratios that were mentioned by Mr. Ryan are correct, that
18 indeed if you look at federal employees as compared with the
19 population of the other divisions, there are more outside of
20 Denver division than in Denver division in that ratio.

21 But I, you know, have reflected, we have within the
22 Denver division very small towns, places like Kiowa and Hot
23 Sulfur Springs and Kremmling and then medium -- Fairplay,
24 medium-sized towns, Leadville. It's a, I think, a very diverse
25 population and with a lot of different attitudes and opinions.

98

1 We have held court in the other divisions, but we don't do it
2 very much. And there isn't that much call for it.

3 But I do know from selecting and empaneling a lot of
4 juries, that when we first send notices out to people in Buena
5 Vista and Sterling and Burlington and some of these places,
6 they think we've made some terrible mistake and they come back
7 to us and say, look, I don't live in Denver, why are you
8 summoning me to a Denver court. And of course we have to
9 explain to them that the, it's not a state court and that it is

10 federal court and that the range here is much broader than the
11 Denver metropolitan area, certainly than the City and County of
12 Denver.

13 I'm confident that using the Denver jury pool we will
14 find people who can try this case, and particularly using the
15 voir dire techniques that we will be using. So I see no
16 compelling reason to change from the normal practice and
17 therefore deny the motion. We'll select the jury from the
18 Denver panel, Denver pool, according to this court's standing
19 jury plan.

20 MR. JONES: Your Honor, may I inquire of the Court,
21 did you say there is a city in Colorado called Fairplay,
22 Colorado?

23 THE COURT: There is.

24 MR. JONES: Can we just call the jury from there,
25 Judge?

99

1 THE COURT: Have you ever been to Fairplay?

2 All right, we'll -- I believe that concludes the
3 matters that we had scheduled for this morning. I do have some
4 more pretrial conference material to, and matters to deal with
5 counsel under rule, under the rule, including some of the
6 methodology for jury selection. So we will recess and I'll
7 reconvene with counsel and both of the defendants in the
8 conference room at one-thirty.

9 (Recess 11:43 a.m.)

10 * * * * *

11

12

13

14
15
16
17
18
19
20
21
22
23
24
25

100

1	INDEX	
2	Item	Page
3	Defendant McVeigh's Argument re Handwriting and Hair	7
4	Defendant Nichols' Argument re Handwriting and Hair	24
5	Plaintiff's Argument re Handwriting and Hair Evidence	30
6	Defendant McVeigh's Rebuttal Argument re	
7	Handwriting and Hair Evidence	43
8	Defendant Nichols' Rebuttal Argument re	
9	Handwriting and Hair Evidence	47
10	Plaintiff's Surrebuttal Argument re	
11	Handwriting and Hair Evidence	53
12	Defendant McVeigh's Further Argument re	
13	Handwriting and Hair Evidence	55
14	Defendant Nichols' Argument re	
15	Tool Mark and Explosives Evidence	57
16	Plaintiff's Argument re Tool Mark and Explosives Evidence	66
17	Defendant Nichols' Rebuttal Argument re	
18	Tool Mark and Explosives Evidence	79

19 Defendant McVeigh's Argument re Statewide Jury Pool 86
20 Plaintiff's Argument re Statewide Jury Pool 92
21 Defendant McVeigh's Rebuttal Argument re
22 Statewide Jury Pool 96
23 Ruling re Statewide Jury Pool 97
24 * * * * *
25

1 REPORTERS' CERTIFICATE
2 We certify that the foregoing is a correct transcript
3 from the record of proceedings in the above-entitled matter.
4 Dated at Denver, Colorado, this 5th day of February,
5 1997.
6
7 _____
8 Paul Zuckerman
9
10 _____
11 Kara Spitler
12
13
14
15
16
17
18
19
20
21
22

23

24

25