

21
22
23
24
Transcription
Street,
629-9285

Proceeding Recorded by Mechanical Stenography,
Produced via Computer by Paul Zuckerman, 1929 Stout
P.O. Box 3563, Denver, Colorado, 80294, (303)

2

1 APPEARANCES

MACKEY,

2 JOSEPH H. HARTZLER, SEAN CONNELLY, LARRY A.

AITAN D.

3 BETH WILKINSON, SCOTT MENDELOFF, JAMIE ORENSTEIN and

General, 1961

4 GOELMAN, Special Attorneys to the U.S. Attorney

appearing

5 Stout Street, Suite 1200, Denver, Colorado, 80294,

6 for the plaintiff.

McLAUGHLIN,

7 ROBERT WYATT, ROBERT NIGH, and AMBER

Broadway,

8 Attorneys at Law, Jones, Wyatt & Roberts, 114 East

73702-0472;

9 Suite 100, Post Office Box 472, Enid, Oklahoma,

Denver,

10 JERALYN MERRITT, 303 East 17th Avenue, Suite 400,

Szlichta

11 Colorado, 80203; CHERYL A. RAMSEY, Attorney at Law,

12 and Ramsey, 8 Main Place, Post Office Box 1206,
Stillwater,
13 Oklahoma, 74076; and CHRISTOPHER L. TRITICO, Attorney
at Law,
14 Essmyer, Tritico & Clary, 4300 Scotland, Houston,
Texas, 77007,
15 appearing for Defendant McVeigh.

16 MICHAEL E. TIGAR, RONALD G. WOODS, ADAM
THURSCHELL,
17 REID NEUREITER, and JANE TIGAR, Attorneys at Law, 1120
Lincoln
18 Street, Suite 1308, Denver, Colorado, 80203, appearing
for
19 Defendant Nichols.

20 * * * * *

21
22
23
24
25

3

1 PROCEEDINGS

2 (Reconvened at 1:40 p.m.)

3 THE COURT: Be seated please. We're resumed.

4 I note that Mr. Nichols is present as
requested.

5 MR. TIGAR: Yes, your Honor. He is present.
6 I had a matter I wanted to bring to the
Court's
7 attention. I'm sure that Government counsel was
pleased with
8 having the motion denied this morning. However, a
member of
9 our staff was chagrined to hear Government counsel say
in a
10 press conference outside the courthouse, in substance,
the
11 Court has heard witnesses for decades and found these
witnesses
12 that we put on to be reliable.
13 Allowing for the fact that we can only hear
the
14 substance, not the words, we respectfully ask the Court
to
15 admonish the parties again, particularly with respect
to this
16 commenting on the credibility of the witnesses. It's
going to
17 particularly concerning to us, of course, since we're
18 get the second trial.
19 THE COURT: I don't know what was said, but of
course
20 I did not make any finding as to the credibility of
these
21 witnesses and carefully mentioned that that's for the
jury to
22 decide. So now --

23 MR. ORENSTEIN: Before we get started, just as
to the
24 parameters of the hearing, I think there are some
housekeeping,
25 just a couple of housekeeping things about what's been
resolved

4

1 and what hasn't. As I understand, there have been some
2 discussions about one of the experts, Mr. Bodziak. The
dispute
3 has been largely about discovery more than substantive
4 objections to his testimony. I believe we're at a
stage where
5 we're attempting to work that out, and there's no
Daubert issue
6 that needs to be addressed today.

7 THE COURT: There are two questions that have
been
8 raised. One is second-pronged Daubert, what does it
mean, and
9 what kind of a hearing should be scheduled. Another is
there
10 is a motion with respect to several witnesses, for
excluding
11 their testimony because inadequate discovery was
provided.
12 That's a separate item.

13 Mr. Tritico, are you going to address this?

Bodziak 14 MR. TRITICO: Well, if I may address the Agent
15 issue for a moment, I think I can resolve that.

16 THE COURT: Come on up.

17 MR. ORENSTEIN: It's really just a matter of
there is 18 some discovery they've asked for and we've agreed to
provide 19 and we've provided some and I think we're going to
attempt to 20 resolve to remaining differences.

21 MR. TRITICO: Your Honor, I have had some
22 conversations with the Government about the discovery
dispute 23 with respect to Agent Bodziak. They have agreed to
give me 24 what I have asked for and agreed to allow me, after
we've had a 25 chance to have your expert look at the information that
we get

5

1 from the Government, to bring up an objection if we
have one.

2 I don't know that we will. But we will do this in the
short 3 term, and I think that we can resolve that issue
shortly.

4 THE COURT: Okay, good.

5 MR. TRITICO: Thank you.

6 MR. ORENSTEIN: Along the same lines, last
time we
7 convened to talk about the Daubert issues, you asked
the
8 Government its position on Agent Fram. We said, you
know,
9 we're obviously still considering but we'd go ahead and
argue
10 it. We continue to think about it, but at this point
we think
11 we're at a position where we can say there's no need to
discuss
12 it in terms of Daubert. We're not going to offer that
at
13 Mr. McVeigh's trial. So that's revolved.

14 THE COURT: That's what I understood from the
response
15 filed.

16 MR. ORENSTEIN: And with Mr. Stafford, there
was an
17 objection, and that's also -- we're not going to offer
his
18 testimony. And I think there's some fingerprint and
medical
19 examiner witnesses as to whom there are no Daubert
issues.

20 THE COURT: All right. Mr. Nigh, are you
going to
21 argue the second prong, as we've called it, Daubert,
for
22 Mr. McVeigh?

23 MR. NIGH: Yes, your Honor.

24 THE COURT: All right. Where does that leave
us on
25 this, the motion insofar as it relates to inadequate
discovery?

6

1 MR. NIGH: Your Honor, there is still the six
experts
2 that we've identified in the motion to exclude, which
we
3 believe we've been granted insufficient information to
make a
4 determination about whether or not a second-prong
challenge
5 exists, and those six experts still remain.

6 THE COURT: And Bodziak --

7 MR. ORENSTEIN: Bodziak was not one of them
but
8 Stafford was, and he is not at issue any more.

9 MR. NIGH: That reduces it to five, your
Honor.

10 THE COURT: Well, where do you want to start?

11 MR. NIGH: What I would like to do, your
Honor, if I
12 could, is to discuss the legal implications in the
second prong
13 of Daubert.

14 THE COURT: All right.

would 15 MR. NIGH: And the case law concerning it. I
proffered 16 like to tie that, if I may, to the testimony or the
think 17 testimony of Agent Burmeister and Linda Jones because I
and the 18 it helps to put it into a context in terms of this case
to 19 scientific evidence being offered by the Government.
20 And then after that, your Honor, I would like
21 accept the Government's suggestion of taking the
experts one at 22 a time so that we can explain to the Court what our
specific 23 challenges are to those additional experts and why the
reference to 24 information we have received is insufficient in
25 them.

7

1 THE COURT: Yes. Now, you have joined in the
motion 2 filed from Mr. Nichols as to the supplemental with
respect to 3 Agent Burmeister?

4 MR. NIGH: That's correct, your Honor.

5 THE COURT: Okay.

6 MR. NIGH: Turning to the Daubert argument, in
the
7 second-prong Daubert argument, I would submit to the
Court that
8 it places us on the threshold of another one of those
decisions
9 about whether evidence should be excluded or can be
admitted
10 with the jury being left to the task of sorting out
whether or
11 not it's credible or reliable. I say the threshold
because I
12 don't think we're there yet. It's my understanding of
this
13 particular hearing that what the Court is going to
decide as to
14 whether or not to hear evidence with reference to these
issues
15 and my argument is designed to point out for the Court
why we
16 think evidence is absolutely mandated before an
admissibility
17 determination can be made.

18 THE COURT: Okay.

19 MR. NIGH: Our first-prong Daubert challenges
that we
20 filed, your Honor, were addressed to exclude testimony
of
21 experts whose methods and whose procedures could not be
22 considered science under the best of circumstances in
the
23 abstract. Our second-prong challenges are designed to
exclude

what 24 the testimony of experts who base their opinions upon
best of 25 could be considered science in the abstract under the

8

utilized 1 conditions but as applied in this case and the methods
excluded 2 by the witnesses proffered in this case should be
were 3 because they are fatally flawed in the way that they
not 4 applied or because the methods that were utilized were
other words, 5 appropriate for a determination that was made. In
for 6 the tests utilized by the experts are not appropriate
introduce. 7 making the finding that the Government hopes to

and 8 In other words, the tests might be reliable
they 9 scientific for one purpose, but they are not suited and
connection 10 are not reliable that they were used by the FBI in
11 with this case.

12 In light of the Government's response to our
important to 13 second-prong challenges, I think it's also very

14 point out what we are not doing, your Honor, and that
is to
15 challenge the conclusions themselves that these experts
have
16 made. In the event that the Court decides that the
evidence is
17 admissible, then of course we will be prepared at trial
to
18 present evidence that the conclusions are simply wrong.
What
19 our challenges are at this point are the methods and
the
20 procedures utilized to generate the conclusions.

21 The reason that I want to address the legal
argument
22 in the context of Agent Burmeister's proffered
testimony and
23 the proffered testimony from Linda Jones is because
arguably it
24 is the most important, quote, forensic, end quote,
evidence
25 proffered by the Government in terms of the potential
for

9

1 misleading and prejudicing the jury against Mr.
McVeigh.

2 I hope the Court will recall from the
evidentiary
3 hearing on the motion for change of venue that one of

the most

4 important exhibits we thought was The Daily Oklahoman
article

5 which appeared very shortly after McVeigh's arrest with
the

6 banner headline across the very top of the newspaper
which said

7 in 2-inch script, Chemical Tests Point to McVeigh. We
thought

8 that that was disinformation of the highest order and
some of

9 the most prejudicial in terms of affecting the
potential jury

10 pool in Oklahoma. And it is that kind of potential to
mislead

11 that's attendant to the proffered testimony of the
Agent

12 Burmeister and Linda Jones. For that reason, we ask
the Court

13 to exercise the gatekeeping function in keeping out
this

14 evidence and keeping the jury from hearing it unless
the court

15 can satisfy -- unless the Government can satisfy the
Court at

16 an evidentiary hearing that the reliability
requirements of

17 Daubert for this kind of evidence have been met.

18 That brings me, your Honor, to the beginning
point in

19 terms of admissibility determination and the burden of
proof.

20 I think that the law announced by Daubert by both the

Supreme

21 Court and the Ninth Circuit is that the proponent of
the
22 scientific expert testimony bears the burden of proof
by a
23 preponderance of the evidence, that the testimony
proffered is
24 scientific and reliable, and I would ask the Court to
hold the
25 Government to that burden in this case of this
evidence.

10

1 Turning to the theoretical underpinnings of
Daubert
2 itself and the decision, the court recognized the
overpowering
3 potential of what is called scientific evidence and its
4 potential to mislead the jury. And in analyzing it in
that
5 context, the Supreme Court established the factors
approach in
6 determining admissibility. And I would submit, your
Honor,
7 that each of these factors applies with great force to
the
8 proffered testimony from Agent Burmeister and Ms.
Jones.
9 The first factor is whether or not the
scientific

10 hypothesis has been tested to see if it can be
falsified. The
11 second factor is whether the theory or technique has
been
12 subjected to peer review or publication. The third
factor is
13 consideration of the known or potential rate of error.
And the
14 fourth and final factor is consideration of the general
15 acceptance of the methodology within the scientific
community.
16 As the District Court in New Mexico explained
in the
17 case of Galbreth, the Supreme Court's factor approach
in
18 Daubert is in the conjunctive, that is to say that the
19 testability and the rate of error inquiries go
together. What
20 those factors establish is the purely empirical
character is
21 what -- of what is to be taken as scientific evidence.
And the
22 Court outlined that the Court should consider the known
or
23 potential rate of error for the procedure and the
standards
24 controlling the techniques operation.

25 It becomes, your Honor, a test of whether a,
quote,

1 scientific technique, end quote, is both empirical and
sound.

2 If a technique -- a particular technique used for
generating a

3 conclusion has a high rate of error, it does not
satisfy the

4 reliability requirement of Daubert. And if it has an
unknown

5 rate of error, then it is impossible for the Court to
evaluate.

6 The adherence to protocols for a particular
test go to

7 the rate of error and whether or not they can be
determined

8 empirically. If protocols are not in place and
followed, then

9 the rate of error for a particular test for identifying
a

10 substance or determining that something found is
consistent

11 with a particular substance doesn't only go up, it
becomes

12 unknown. And the Court is incapable of considering
that

13 critical factor under the Daubert analysis.

14 The point here, your Honor, is that rigorous
controls

15 against contamination and written protocols religiously

16 followed, careful maintenance of instruments, and the

17 precautions necessary to guarantee the validity of the

18 scientific testing are all necessary for reliability

19 determination. If the Government cannot guarantee or
prove to
20 the Court by a preponderance of the evidence through
testimony
21 that these safeguards have been followed, then the
evidence
22 should not be admitted.

23 Tied specifically to the facts of this case,
your
24 Honor, the Government has used supposedly scientific
testing to
25 identify traces of explosives residue and other
substances at

12

1 various place and on various pieces of evidence. What
the
2 Government should be required to do is to prove to the
Court
3 that their methods for making these determinations are
4 reliable, and they should prove to the Court using
these
5 methods for identifying these substances what the rate
of error
6 is, how many times a false positive occurs, what
procedures are
7 taken to make sure that the results are reliable. And
until
8 they meet that threshold burden, the evidence shouldn't
be --

9 THE COURT: Now, there are certain tests that
you
10 wouldn't quarrel with as being appropriate tests for
this.
11 We're talking about trace evidence of the explosive
material;
12 right?

13 MR. NIGH: Yes, your Honor.

14 THE COURT: So we have -- I don't know
everything that
15 was issued, but we've got spectrometry, we've not
16 chromatography, we have maybe several different kinds
of
17 spectrometry. But these are tests that in and of
themselves
18 are scientific tests. You don't quarrel with that, do
you?

19 MR. NIGH: No, your Honor, I don't. Under the
first
20 prong of Daubert, I do not.

21 THE COURT: All right. Now, where do we start
with
22 the quarrel? As I understand it, where the testing
procedures
23 involve the use of standards, you have a concern about
whether
24 that was done here.

25 MR. NIGH: That's correct, your Honor.

1 THE COURT: What are we talking about
specifically?

2 MR. NIGH: What we're talking about
specifically, your

3 Honor, is good laboratory practice and establishing
rules

4 before you set out to make a determination.

5 THE COURT: Well, that's a generalization.
And I

6 understand there's a concern that overall the
laboratory did

7 not follow -- did not have adequate protocols in the
opinion of

8 some of the people you've retained.

9 MR. NIGH: Sure.

10 THE COURT: But when it gets down to a
specific test

11 done by specific people, what is your position here? I
don't

12 mean to jump ahead to the individual witnesses, but
Burmeister

13 and Jones go together in that Jones relies in part on

14 Burmeister; and I want to get to what is the problem
here

15 because I think -- I want to set aside contamination
for the

16 moment because I think that's still a separate -- a
separate

17 problem.

18 But is it the contention here that these

machines

19 aren't working?

20 MR. NIGH: Yes, your Honor. Sometimes.

21 THE COURT: Well, what do you -- what do you
think the

22 Government has to do, come in here and show in detail
how each

23 test was done?

24 MR. NIGH: Under the circumstances of this
case, your

25 Honor, I would say yes. And let me tell you that there
are six

14

1 specific challenges that I can articulate for the Court
right

2 now about what was wrong with what Burmeister did.

3 THE COURT: Okay.

4 MR. NIGH: In conducting a test to determine
-- and

5 I'll start with No. 1. In conducting a test to
determine

6 whether or not a substance can be identified as
explosives

7 residue using gas chromatography in connection with
something

8 else. And some of the names of the tests escape me,
you know,

9 momentarily.

10 But in using those kinds of tests, before a
laboratory
11 should undertake to identify substances in that manner,
they
12 ought to define the rules about what is it going to be
that I'm
13 going to call an identification, what does the reading
on the
14 graph have to look like or what numbers are going to
allow me
15 to say this is an identification. It's not a question
of
16 moving the goalpost, your Honor. It's a question of
not having
17 a goalpost at all. And sound laboratory practice would
mandate
18 before that was done by Burmeister, that those
procedures
19 should have been in effect; that the rules should have
been in
20 effect.

21 And the evidence that we've been able to
obtain from
22 the FBI labsays that there were no rules, of that
nature.

23 THE COURT: Well, but the fact that the
laboratory as
24 a whole doesn't have adequate rules in somebody's
opinion
25 doesn't preclude Burmeister from doing an appropriate
testing

1 procedure because he knows what to do.

2 MR. NIGH: If he's established the rules in
advance

3 and at our --

4 THE COURT: Well, you know, we've got all this
problem

5 in appellate opinions of what's a protocol, what's a

6 methodology; and it's easy when we're talking about
DNA, which

7 is where a lot of these cases come up, because there
are at

8 least now two by courts of appeals accepted
methodologies for

9 determining DNA which involves the scientific principle
that

10 there is a coding here which is unique to individuals.

11 MR. NIGH: Sure.

12 THE COURT: And here, too, we've got cases
that say,

13 well, if there is that methodology, one of those two at
any

14 rate that's being followed, you're past Daubert; and
the fact

15 that there could be other factors influencing the
conclusion is

16 a credibility or a weight issue. Right?

17 MR. NIGH: That's right, your Honor.

18 THE COURT: Now, here we're talking about at

least two

19 and I guess three types of testing, which really amount
to a

20 chemist's qualitative analysis-type tests; right?

21 MR. NIGH: That's right.

22 THE COURT: What is the substance.

23 MR. NIGH: That's correct.

24 THE COURT: And of course we've had testing
like this

25 done in chemical laboratories for years. We see it
more in

16

1 drugs than we do in what's involved here, but it's the
same

2 kind of testing.

3 MR. NIGH: That's correct, your Honor.

4 THE COURT: And so you have machines where you
5 calibrate the machine and you run samples in and use
standards

6 so that you can measure the printouts here vs. the
standard and

7 say, Okay, they match. Isn't that the basic testing
procedure?

8 MR. NIGH: It is, your Honor. And that gets
us to

9 precisely the problem that exists here in terms of the
testing

10 used by the FBI laboratory for explosive residue,
including
11 Burmeister's testing the known standard, that the Court
12 referred to in reference to drug testing. Before that
test is
13 done and before the chemist says, I'm going to identify
this
14 substance as cocaine base, the standard has been
identified.
15 It is known what the graph has to look like before the
expert's
16 going to make that call. That wasn't done here in
reference to
17 the FBI laboratory explosive residue testing. There
was no
18 chromatographic window which had to be established
before you
19 can say this is an identification of an explosive
residue
20 substance. And that's only the first problem, your
Honor.
21 I think I can go immediately to the second one
which
22 may be more clear. In reference to explosive testing
by the
23 FBI laboratory, they use tests and Burmeister used
tests that
24 were inappropriate for identifying substances,
explosive
25 residue. What they used were screening tests which
should be

1 used as a preliminary test perhaps to say that --

2 THE COURT: It's like a presumptive.

3 MR. NIGH: Exactly. You go on and do a
confirmatory

4 test that is more specific and can generate the result
that

5 you're looking for. They didn't do that here. What
Burmeister

6 did in this case, your Honor, is use two screening
tests in

7 conjunction with each other, which were dependent upon
each

8 other. And our experts tell us that's not the way you
go about

9 it --

10 THE COURT: Well, suppose it isn't, though.
If his

11 testimony is not based on the screening test but is
based on a

12 proper methodology, what's wrong with it?

13 MR. NIGH: If it's based on a proper
methodology, then

14 there's nothing wrong with it, your Honor. But we
submit that

15 the evidence that we have and our examination of it is
that it

16 wasn't a proper methodology and that the tests that
were

17 utilized are not appropriate for making this kind of a

18 determination.

19 THE COURT: Well, I have trouble understanding
why the
20 use of a presumptive test, as you call a screening
test,
21 precludes the follow-up by a valid technology and test.

22 MR. NIGH: I don't submit that it does
preclude it,
23 your Honor. I just submit that they haven't done it in
this
24 case. I submit that the conclusions that the
Government
25 proffers from Burmeister are based upon two screening
tests and

18

1 that no follow-up test has been done. And if the
Government
2 were to present evidence that that had been done, then
perhaps
3 the Court could make a reliability determination. But
the
4 evidence that we have and the information that we have
is that
5 that's not what was done, that there were two screening
tests
6 used and not the follow-up test that the Court refers
to and
7 that in the context of this case, that's not sound
science and

8 it undermines the reliability of the process.

9 And it brings me to the third point, if I may,
your
10 Honor, concerning the Daubert factors and the specific
problems
11 in reference to the testing that was done by the FBI
12 laboratory. These screening tests, if the Government
is going
13 to utilize them, then the Government should be required
to
14 prove the rate of error for screening tests in
identifying
15 explosive residue. They should come forward with
evidence to
16 prove to the Court that when these screening tests are
utilized
17 to find explosives residue or identify explosives
residue,
18 there are negligible false positives, and I submit,
your Honor,
19 they can't do it. Because for these screening tests,
the false
20 positive rate is high. And it goes to the Daubert
factor
21 determining the reliability of a particular test in
issue.

22 The potential error rate is tied to that, and
that
23 would be the fourth factor that I would list. And I
would say
24 that the potential error rate for these particular
tests that
25 were utilized by the Government, by Mr. Burmeister, is

unknown.

19

1 We don't know how many false positives you get for
determining
2 the presence of PETN if you use the testing procedures
that the
3 Government used in this case. They can't tell you how
many
4 false positives there are or what the rate of error is.
5 The fifth error, the fifth area, your Honor,
relates
6 to sound laboratory practice in terms of preventing
7 contamination and testing for contamination. I think
that the
8 Court perceives that to be different, a different issue
than
9 the Daubert --
10 THE COURT: Yes.
11 MR. NIGH: -- reliability factors.
12 THE COURT: So have the cases that have come
down.
13 MR. NIGH: They can be read that way, your
Honor. But
14 I would also submit that the specific language of the
cases was
15 in those cases, the contamination issues that existed
were not
16 sufficient to undermine the reliability of the process.

17 THE COURT: Yeah, and the jury decides that.

18 MR. NIGH: I think that the Court can decide
it

19 preliminarily if the contamination issues are
significant

20 enough to call into question the reliability of the
procedures.

21 I think that a threshold determination could be made by
the

22 Court that there is such a potential for contamination,
that

23 the whole process is flawed. And I would submit that
the

24 evidence and the information that we have about the FBI

25 laboratory indicates that the Court could make such a
finding.

20

1 THE COURT: Well, but we have a known number
of

2 samples -- not samples, but pieces of evidence that are
3 identified here with their Q numbers.

4 MR. NIGH: That's correct.

5 THE COURT: And one of them is this Q507
which, you

6 know, we have as a specific item. And there's going to
be

7 obviously chain of custody to show that this is where
it came

8 from and it is what the Government says it is for
purposes of
9 making the test results relevant. But then the fact
that there
10 may have been some contamination between the time of
its
11 recovery and the time that the test results are printed
out, I
12 don't think that's a Daubert issue. I think that's a
question
13 of is the test result to be accepted in view of the
possibility
14 of that contamination. But then evidence about
contamination
15 can come in in the course of the trial.

16 MR. NIGH: I understand that that's one way to
look at
17 it, your Honor. I would submit that --

18 THE COURT: That's the good, old-fashioned way
to look
19 at it, isn't it?

20 MR. NIGH: My new-fashioned way of looking at
it, your
21 Honor, is that if the FBI laboratory and Burmeister
working in
22 the FBI laboratory did not follow sound scientific
laboratory
23 procedures to prevent contamination, including
contamination of
24 the work areas, contamination of the clothing worn by
the
25 individuals working there, and the instruments that

were being

21

1 used to test these substances -- if those safeguards
were not 2 in place, the Court can conclude that the contamination
3 potential undermines the reliability of the process.

And I

4 would submit that particularly in light of the fact
that we can

5 prove that contamination did exist in the FBI lab. And
I think

6 that there is, the Government made much in their
response brief

7 about the fact that --

8 THE COURT: The different part of the lab.

9 MR. NIGH: Different part of the lab. But
we've tried

10 to unlock the door to the FBI lab and get inside as
much as we

11 can. And our understanding and our good-faith
understanding of

12 what happened with Burmeister is that the change in his

13 position was administrative only, that he got assigned
from one

14 unit to another unit only on paper and that his work
area

15 stayed the same.

16 Also, the potential for contamination because

of the

17 nature of these substances existed in almost every part
of that

18 lab, particularly the testing areas. Sound laboratory
practice

19 calls for testing each of these areas periodically,
20 systematically, to make sure that there's not
background noise,

21 contamination in the machines, contamination on the
laboratory

22 personnel. And we also know, your Honor, that bomb
data center

23 people, people who go to explosive ranges and test
explosives,

24 were in the same building. Now, precisely where in the
same

25 building we can't prove every instance of, but we can
prove

22

1 that they were in one area where evidence in this case
was

2 stored at one point in time. The Government has
eliminated

3 that evidence from explosive residue testing it, but
the point

4 is it undermines the system that was in place to
prevent that

5 contamination from happening.

6 And I would submit that the Court could

conclude after

7 the evidentiary hearing that the potential for
contamination

8 was so great that the procedures were --

9 THE COURT: Potential. Wouldn't the Court
have to

10 find that actual contamination destroyed the test
results?

11 MR. NIGH: I don't think so, your Honor.

12 THE COURT: Why not? Because that's like
saying the

13 methodology is no longer appropriate scientifically
because you

14 had contamination. Let's take contamination of the
machine,

15 not the possibility that the machine could be
contaminated, and

16 therefore before you can get the evidence in, you have
to show

17 pristine machine, but it seems to me that you have to
say,

18 Well, because this machine had explosive residue in it
from the

19 last thing that ran through it, your methodology is
flawed and

20 your results are inadmissible. That I can follow.

21 But to say, Look, they didn't have proper
protections

22 against contamination as a general proposition, that
that

23 destroys admissibility, there I have trouble following
you.

Honor. 24 MR. NIGH: I look at it in the reverse, your

25 The way that I look at it is that in order for this to
be

23

1 scientific and reliable and supportable, good labs,
accredited

2 labs would say, For this process to generate
conclusions, we

3 have to have these safeguards in place. And if we
don't have

4 these safeguards in place, we cannot guarantee the
reliability

5 of our results because the results that we're
generating can be

6 caused not by what we're trying to analyze but by our

7 environment. And it's not sound scientific practice
for us to

8 say that it came from a piece of clothing. It is not
sound

9 scientific practice for us to operate in that way.
And, your

10 Honor, I think that our experts are prepared to testify
that

11 good science requires that kind of a systematic
approach.

12 THE COURT: I don't know that the issue is
good

13 science vs. sloppy science. The issue is admissible
evidence,

14 which may be subject to credibility evaluations by
jurors when
15 they hear the whole story vs. this is so far off base
that it
16 can't come into a courtroom. And that's what I think
we're
17 struggling with, the off base.

18 MR. NIGH: I think that is what we're
struggling with,
19 your Honor. And my view of -- and my interpretation of
Daubert
20 and its progeny is that the overwhelming potential for
expert
21 witness testimony to mislead the jury --

22 THE COURT: It has to be looked at, really, in
terms
23 of what the Supreme Court was doing in Daubert which
was
24 saying, Look, get rid of Frye, 702 has been brought in
here and
25 is supposed to make modern science more useful to
trials and to

24

1 the search for the truth and all that good stuff. But
it's
2 supposed to liberalize, not restrict, and doesn't
really
3 destroy the role that I was talking about this morning,
the

4 difference between what a judge does and what a jury
does with
5 evidence; and that is judge the credibility of it, the
jury's
6 function, vs. the admissibility of it, the judge's
function.

7 Now, I grant you that the law is that if the
8 scientific methodology purportedly followed was so
badly
9 misapplied that it skews the results in such a way that
it is
10 no longer acceptable methodology, that's Daubert. And
that can
11 be kept out because that's no longer a scientific test.
The
12 problem is who has to show what and whose burden it is
before
13 trial to determine this admissibility issue. And
you're
14 saying, as I understand you, that before the -- before
this
15 Court can admit this evidence, the Government has to
come in
16 here and show the purity of its protocols and
procedures.

17 MR. NIGH: That's what I'm asking the Court to
do is
18 consider evidence on the question, because I would
submit, your
19 Honor, that the limited information that we've been
able to
20 obtain suggests that the methodologies were so skewed
that it

21 shouldn't be presented to the jury. And it's
impossible to
22 make that showing to the Court based upon the pleadings
and the
23 papers. And I would submit that evidence is required
to
24 analyze that proposition, evidence presented to the
Court
25 outside the presence of the jury, and that the
Government

25

1 should be required to make that showing.
2 Going back to Daubert and what the Supreme
Court did
3 for just a moment, I think that part of what they did
is very
4 relevant to the issue facing the Court here in terms of
the
5 scientific evidence in question there. Recalculation
of
6 epidemiological studies and animal studies were
proffered to
7 support the proposition that Bendectin causes birth
defects.

8 THE COURT: Right.

9 MR. NIGH: What I submit to the Court, your
Honor, is
10 that the same kind of inappropriate tests are being
proffered

11 here to indicate that explosive residue was found.

12 different,

13 chemicals

14 analysis,

15 got here

16 tests

17 to use

18 color tests

19 now

20 and

21 chromatography

22 and so forth.

23 science;

24 right?

25 MR. NIGH: It's not --

26

1 THE COURT: We're not talking about some new

2 revelation in scientific theory that associates or goes
from a
3 negotiation to causation in medical effects. We're
talking
4 about just the basic thing of what does the -- what do
these
5 laboratory tests tell us about what this substance is
and what
6 are the elemental components of it.

7 MR. NIGH: That's right, your Honor. These
are not, I
8 would submit, brand-new machines or --

9 THE COURT: No, they've been around --

10 MR. NIGH: -- or brand-new ways to try to
identify
11 substances. I would submit that the FBI lab is using
and that
12 inappropriate machines to make these identifications
it's
13 even though it's not brand-new, I submit, your Honor,
that
14 subject to question. Just because -- I would submit
been
15 simply because we perhaps have blindly relied on what's
now, and
16 going on in the past, it doesn't justify us doing it
potentially
17 particularly when this type of evidence is so
going
18 prejudicial. And once it's done before the jury, we're
would ask
19 to have to strive mightily to undo it. That's why I

20 the Court to look at the evidence before making a
decision
21 about admissibility. In the context of the, the tests
of DNA
22 which were new at the time that the appeals courts --

23 THE COURT: Yes.

24 MR. NIGH: -- consider them, evidence was
taken in all
25 of those cases and testimony was heard from the experts
about

27

1 why the tests were empirically sound, what the rate of
error
2 was, and issues of contamination were also considered
in terms
3 of whether or not they undermined the process. And I
submit
4 that each of those things is appropriate in this case,
to look
5 at whether contamination undermined the process and
where the
6 tests utilized were appropriate for what was being
done, to
7 make the conclusions that the Government wants to offer
into
8 evidence.

9 Your Honor, I don't think that I'll tax the
Court's

10 attention any more with my analysis of Daubert and its
progeny.

11 I will simply ask the Court to consider granting us an
12 evidentiary hearing so that these questions can be
determined
13 based upon evidence presented from the people involved.

14 THE COURT: So what you're saying, have an
evidentiary

15 hearing, Government trot out Mr. Burmeister and
everybody else

16 that did this test and tell us exactly what you did and
how you
17 did it and how you documented it?

18 MR. NIGH: Yes, your Honor.

19 THE COURT: Now --

20 MR. NIGH: And, primarily, your Honor, for
purposes of

21 them also telling you that this is how I know that this
is an

22 appropriate test to identify this substance, this is
the

23 procedure that we follow to make sure that the reading
that we

24 are getting is not a contaminant but indeed is the
substance

25 that came from the questioned piece of evidence, so
that the

1 witnesses can establish for you that these methods and
2 procedures are grounded in sound science and also for
the Court
3 to hear from our experts, explaining to the Court why
an
4 accredited laboratory doesn't do business this way and
why
5 these particular machines are not appropriate for
making the
6 determinations that were being made and why the rate of
error
7 can't be calculated. That's what I would ask for, your
Honor,
8 is the opportunity for the Court to test the evidence
in terms
9 of admissibility and not credibility.

10 THE COURT: Well, you want to come back to
individual
11 witnesses after we hear --

12 MR. NIGH: I think that would be the best way
to
13 proceed.

14 THE COURT: All right.

15 Mr. Tigar, are you going to argue on what
Daubert
16 means in this case?

17 MR. TIGAR: May it please the Court, we accept
the
18 Government's concession that Linda Jones and Mr.
Rydlund and
19 Mr. Stokes should be heard at a pretrial hearing. I
want

Daubert 20 briefly now to give the Court an overview of how we see
21 in the context of this case and then to use Q507 as
22 illustrative of the kind of hearing that we believe is
23 required. And I do that because that's something that
24 everybody knows about and it's a good thing to discuss.

not 25 In this conversation, in this argument, I will

29

We do 1 refer to the thing that I am not supposed to refer to.
I'll obey 2 have a great deal of information that came to us, and
3 the Court's order with respect to revealing it.

all, to 4 I think that I do agree with Mr. Nigh, after
citizens in 5 treatise reports, that one-quarter of the jurors,
not been 6 trials with scientific evidence said that had evidence
to not 7 there, they would have changed their vote from guilty
8 guilty.

Daubert, 9 The Supreme Court of the United States, in
with 10 quoting Judge Weinstein, who has a lot of experience

11 this -- he doesn't always turn out to be right
according to the
12 appellate courts but a lot of experience -- pointed out
that
13 expert testimony is different from lay testimony.
14 And there's where I wanted to disagree with
what I
15 understood your Honor to be suggesting might be the
case; that
16 is, we trust jurors. This is a case in which we've
already
17 seen, your Honor, that there's a limit to the extent to
which
18 we can trust jurors to decide things. We have not
trusted an
19 Oklahoma jury, nor indeed any Oklahoma judge, to decide
20 anything in this case. We have not trusted jurors to
decide
21 the guilt or innocence of the defendants jointly even
with all
22 the instructions we could deploy, and that's because,
as Judge
23 Weinstein said, this scientific evidence comes with a
kind of
24 imprimatur, a kind of image of reliability that is
different I
25 suggest in these days of machines where from the days
of the

1 test tubes.

-- as a
Honor,
blue, and
because the
could
Well,
and all
this air

2 All of us who took high school chemistry could
3 matter of fact, it was in Crime Stoppers textbook, your
4 if we put iodine on a potato, it turns it gunmetal
5 that's how Fly Face broke out of prison. It was
6 inter-reaction of the iodine with the starch meant he
7 carve a gun out of a potato and show it to the guards.
8 we knew that, but mass spectrometry and these graphs
9 these things these scientists are talking about have
10 of technology.

Government's
has
Prong 1.
what the
Government
that
not it.

11 Now, I want to take issue with the
12 construction of Daubert. The methodology your Honor
13 decided in certain instances is sound. That's Daubert
14 We've disagreed with some of those holdings, that's
15 Court has held. We say Prong 2 is what was the sound
16 methodology reliably applied in this case. The
17 suggests that once they prove an expert is qualified,
18 that's all there is to the second prong. But that's

19 If I can use an analogy not from the area of
science.
20 My wife is an author of a best selling cookbook. The
other
21 evening I made something and brought it into the dining
room.
22 She said, What is this?
23 I said, It's Golubtsi.
24 And she said, No, it's not. Where did you get
that?
25 I said, Out of your cookbook.

31

1 She said, Well, the methodology is
unquestionably
2 sound. I have the reviews. But the execution of the
3 methodology in this case falls far, far short of what a
4 reasonable person would expect.
5 That is the question, what has Mr. Burmeister
and
6 Ms. Jones been cooking up in the laboratory. Given
that all of
7 the machines they have and all of the techniques they
have are
8 reliable, what is it that they have been doing there?
9 Now, the Government -- the Court is free, I
want to
10 acknowledge that, to divide this inquiry however the

Court

11 wishes. The Court can say, I'll postpone this question
till

12 the time of trial, I'll hear this pretrial or whatever.
But at

13 the end of the day, we have no doubt that the
Government must

14 establish certain things. Again, here is where we part

15 company.

16 The Government cites a series of cases in
which they

17 say courts have held that challenges to the
admissibility of

18 evidence go to weight and not to admissibility.
However, none

19 of those cases in fact stand for that proposition. All
of

20 those cases do talk about weight vs. admissibility, but
in

21 every single one of them, there was a hearing out of
the sight

22 and hearing of the jury to establish the fundamentals.
Only

23 when the Government had met a certain threshold did the
weight

24 vs. admissibility point come in.

25 In Cardenas, the Court acknowledged that there
had to

1 be exclusion of some reasonable possibility of
contamination.

2 Chain of custody did have to be proved as a necessary
element

3 in the Washington case in the Tenth Circuit. In the
Cyphers

4 case in the Seventh Circuit, once the Government
establishes a

5 proper chain of custody, then everything else does go
to

6 weight. In the Lopez case in the Eleventh Circuit,
there had

7 to be proof of chain of custody. In the Jakobetz case
in the

8 Second Circuit, the Government had adhered to a
protocol, yes.

9 Now, it's true, we can talk about the Davis
case in

10 the Tenth Circuit, the Martinez and Beasley cases in
the Eighth

11 talk about protocol. Here I want to be clear that we
don't say

12 that there must be a protocol in every case. The
Government

13 seizes on the fact that some courts have said that a
minor

14 departure from a protocol is okay or that maybe there
doesn't

15 need to be a protocol as long as there is some other
valid

16 methodology in place.

17 In short, protocol is not talismanic, we agree
with

18 that; but your Honor, the fact that protocol is not
talismanic
19 does not mean that the absence of a procedure by which
results
20 are validated and accepted is unnecessary to be shown.
The
21 Government makes it disappear entirely, they say in
their
22 brief, as I said before, once they prove qualification,
that's
23 all they have to prove. That's not what the cases say.
When
24 cases have permitted routine departures from protocols
or small
25 departures from protocols, it's only been in the
context that

33

1 there are other assurances of the methodology, the
valid
2 methodology being reliably applied in the particular
case.
3 In that examination, for example, that we say
must be
4 made, your Honor, of course 702 will be relevant. But
also
5 703. That is to say, when an expert makes a
conclusion, is
6 what the expert is opining on, if it's based on what
others

7 have done, is that of the kind of evidence ordinarily
relied
8 upon by experts in the field.

9 If your Honor wants to postpone chain of
custody until
10 the time of trial, very well. And for the purposes of
the rest

11 of my argument, I will exclude all chain of custody
arguments

12 with respect to 507. But chain of custody must
nonetheless be

13 proved. That is to say, it doesn't just go away. Rule
901

14 says the Court's got to make a preliminary finding.
And of

15 course I would expect the Court would postpone until
later the

16 403 determination. That is, the Government doesn't
have to

17 prove everything with every piece of evidence, but some
of this

18 may be cumulative or some of the relevance of it may be
so

19 marginal that the Court would want to exclude it.

20 THE COURT: Well, that's one of the problems
here, of

21 course, because there is the interplay of 403 and also
703 as

22 well as 702 when you're dealing with opinion testimony.

23 MR. TIGAR: Exactly, your Honor.

24 THE COURT: The basis upon which the witness
forms the

25 opinion under 703, and it is very difficult to say,
well, this

34

1 is 702, this is 703, and even this is 403.

2 MR. TIGAR: Well, your Honor, let me just
focus on

3 702, 703. Because here's another problem with the
Government's

4 brief. They want to ignore, with all respect, the fact
that

5 the most devastating thorough-going criticism of the
FBI ever

6 in its history has now been made. And that is the
laboratory.

7 Depending upon the gender of the observer, that
laboratory

8 report is the ghost of the dinner or the spot that
simply won't

9 wash out. And I respectfully suggest, to keep the
metaphor

10 within the same tragedy, that the Government's brief
palters in

11 a double sense and keeps that word a promise to our
hope

12 because it says we get a Daubert hearing and then says
it only

13 goes to qualifications..

14 Let me illustrate. Linda Jones. Linda Jones
is a

15 classic expert, your Honor, and I'm glad she's going to
be
16 here. Because Linda Jones comes to a conclusion that
is in
17 most instances not based upon any original work she has
done.
18 She apparently has done very little scientific work.
Thus her
19 impressive list of qualifications doesn't seem to bear
upon the
20 conclusions she is drawing. The hearing will tell us
for sure.
21 But what's striking about the Jones report is, your
Honor, that
22 it appears to be the ghost of the discredited David
Williams
23 report sitting grounded upon the grave thereof. That
is to
24 say, by some amazing coincidence Ms. Jones comes to the
same
25 conclusion as to the provenance, amount, velocity of
detonation

35

1 and so on of this explosive device, the same conclusion
as
2 Mr. Williams came to.
3 Now, we know the Mr. Williams' conclusion was
based
4 upon a cowboy theory of -- with no disrespect meant to
cowboys,

5 if the Court please -- but it certainly was not based
on

6 science. The criticism of that has been thorough
going. So we

7 need to explore the extent to which Miss Jones
permissibly or

8 impermissibly relied upon the work of others. If it
turns out

9 she just disregarded the invalid Williams thing, well,
fine,

10 but at some point the Government is going to have to
show that.

11 Now, the second point about Miss Jones,
getting past

12 the 703 to the 702 point. What combination of
methodologies

13 did they employ and were they reliably done for her to
reach

14 the conclusion, and now we get down to Mr. Burmeister.

15 Mr. Burmeister is the one upon whom she's going to say
she

16 rested. And we filed an additional motion about

17 Mr. Burmeister. Let me trace that, your Honor, to show
what I

18 think a hearing ought to deal with.

19 There's no question that all of Mr.
Burmeister's

20 machines are good machines. He's doing good science
there.

21 The machines are capable of it. But what we're getting
here,

22 your Honor, falls so far short of what a jury ought to

hear

is 23 based on the present state of proof, we say a hearing
24 necessary.

25 Let's look at what happens. In April of 1995,

36

the 1 Mr. Burmeister looks at Q507 and finds crystals. He --
2 crystals he says are consistent with the presence of
ammonium 3 nitrate. A report is then generated. That report is
signed by 4 Mr. David Williams, not Burmeister, and forwarded to
5 Mr. Kennedy in the FBI saying this is what we found on
Q507.

6 Now, I don't have a great deal of quarrel with
that.

7 Although . . . turn the light on here.

8 THE COURT: I don't know this technology.

9 MR. TIGAR: Yes, your Honor. If it doesn't
work, I'll

10 quit trying to mess with it. There it is. Maybe we
can have a

11 video. No. There it is, your Honor.

12 He finds a, he analyzes the Q507 crystal, and
he finds

13 something called A L, something called S I, that's
silicon, and

14 then he finds some S in there, which I assume is
sulfur. But
15 you notice, your Honor, that the peaks for aluminum and
silicon
16 are way down there to where they're not even, they're
not even
17 as high as some of the other peaks that aren't labeled.
18 Now, the significance of that, your Honor, is
that
19 Mr. Whitehurst has told us that these machines have a
labeling
20 problem; that is to say, there were instances in a
prior case
21 in which somebody found a peak of something and labeled
it and
22 the machine printed that out every time. The point is
that
23 these other peaks have some significance. And in order
to make
24 sure that this man, Mr. Burmeister, is telling us
reliably,
25 they need to know about this.

37

1 Well, the matter rests here for more than a
year, your
2 Honor, with these peaks and this material. In the fall
of
3 1996, ICI gets busy. And ICI conducts some tests.
Now, we

is well, 4 argued about that last time. The Government's answer,
As I 5 yes, ICI conducted them, but Mr. Burmeister was there.
what 6 pointed out last time, we don't have any evidence as to
to how 7 ICI's machines are like. We don't have any evidence as
whether 8 they calibrated them, we don't have any evidence as to
to what 9 they cleaned the stub. We don't have any evidence as
found. 10 techniques they used. But it is interesting what they

trying to 11 If your Honor will indulge me a moment, I'm
12 find the scan here.

13 MS. WILKINSON: Mr. Tigar.

help me 14 MR. TIGAR: Government counsel is offering to
15 here, your Honor. I seem to have messed up my papers.

16 THE COURT: I suggest you accept it.

17 MR. TIGAR: I shall your Honor, yes.

18 Now, your Honor, here is a prill taken from
19 Mr. Nichols' house; okay? This is an ammonium nitrate
prill.

20 You notice the presence of aluminum, sulfur, and
silicon. Now,

21 Mr. Burmeister then opines based upon this information
that the
22 ammonium nitrate that was found on Q507 is the same as

ammonium

23 nitrate that came from a commercial fertilizer,
ammonium

24 nitrate fertilizer that is sold with a coating to
prevent it

25 from absorbing water.

38

1 Now, so far, so good, except for the fact,
your Honor,

2 that these folks are unable to explain to us why it is
that

3 that peak, Nichols' peak, Q507 peak -- why those peaks
are so

4 different and why the relative shape of the pyramid is
as

5 different as it is. Is that because the machine is
dirty? Is

6 that because the sample is not clean? Is that because
there's

7 some sort of contaminant? In short, before the jury
sees that,

8 we respectfully suggest that there's got to be some
kind of a

9 hearing.

10 Now, in addition to the Nichols' house
fertilizer and

11 the Q507, the ICI people also looked at a single
crystal.

12 That's all it's listed as in their report, a single

crystal.

13 Now, the only crystals we know about are the ones that
are on

14 Q507.

15 Your Honor, I don't know if the Court can see
that;

16 but here is a peak all the way up here for silicon.
And then

17 I'm going to move this up. We get a peak for aluminum,
we get

18 a peak for sulfur; and lo and behold, we get a peak for
K.

19 That's potassium, your Honor. Ammonium nitrate
fertilizer is

20 34 ought ought; that's to say, 34 nitrogen, zero
phosphorous,

21 zero potash, potassium being an ingredient of potash.

22 13, 13, 13, which is another recognized kind
of

23 fertilizer or 10, 10, 10 or 5, 5, 5 all do contain come

24 phosphorous, the ammonium nitrate doesn't contain any.
Thus,

25 without an explanation of how they get a K peak, which
is

39

1 something completely unrelated to this case and
completely

2 unrelated to anything ever associated with Mr. Nichols,
this

your 3 application of the accepted methodology simply fails,
4 Honor, to be helpful to the jury.

at a 5 Your Honor, I could go through the cases one
6 time; but I know that the hour is late and the Court
has read 7 those cases and can read them as well as we can; but
your 8 Honor, this is not like drugs. In a drug case, I think
the 9 Court could say, Look, are you going to prove up the
chain of 10 custody?

11 Yes, we are.

serious 12 Okay, we'll do that at trial. If there's a
13 question about it, we'll handle it in the morning.

tells you 14 And you all have a machine down there that
15 it's cocaine?

that. 16 Yes, I do. I have a machine that tells me

your 17 Here, the instruments are supposed to tell us,

just 18 Honor, about the presence of microscopic elements, not

mislabeling 19 one, but many. And there is a proven history of

stuff that 20 and mistakes; and the printouts that we've seen show

21 is peaks that are down in the noise. And thus there is
that
22 serious risk that the admittedly valid methodology, a
mass
23 spectrometer, or whatever, has not been validly applied
in this
24 particular situation. Those, your Honor, are the
reasons that
25 we think that a pretrial hearing addressed to these
things is

40

1 the appropriate way to go.
2 I had -- your Honor, I can go through these
cases, I
3 can talk about it; but I think I've made the point
about what I
4 think is helpful to the Court. I want to be clear
about this,
5 because I've got to make my record.
6 We object to every single piece of evidence,
every
7 single piece of expert evidence that has been tendered
by the
8 Government to which we've made objection orally, in our
papers,
9 or in the papers of Mr. McVeigh we've adopted. We
recognize
10 the Court has considerable discretion as to when to
hear thses

11 challenges, but what we've suggested is that there is a
12 time-saving to be achieved here by having the kinds of
things
13 I've identified here heard before trial, and I have no
doubt
14 that it's the Government's burden of proof to do that.
They
15 may have an explanation today, but that's no
substitute.

16 THE COURT: There is also always the risk that
the
17 thing you started out with here this afternoon happens,
and
18 that is that a court's ruling is misunderstood. If I
were to
19 have a pretrial hearing before we ever had a jury
selected and
20 made a determination adverse to these motions and said
that
21 this is admissible and it's up to the jury to determine
22 credibility, then that be misunderstood as saying that
I've
23 found all of this evidence to be valid, reliable, and
credible.

24 MR. TIGAR: I have two answers to that.

25 THE COURT: That's a problem with a pretrial
hearing.

41

1 MR. TIGAR: Of course it is, your Honor. And

I don't

2 think that any of your Honor's rulings is susceptible
to

3 misunderstanding by any reasonable person. My
objection was to

4 the spin doctors. And the spin doctors lie within your
Honor's

5 writ.

6 The second suggestion that I would
respectfully make

7 to the Court is that it may be possible to have these
hearings

8 and for your Honor to place the admissibility ruling
under some

9 kind of protective order, or to only make it after the
jurors

10 have begun to be under the Court's control, which is
going to

11 be very, very soon now, so that we don't have that kind
of

12 overlap. As I say, these judicial administration
concerns, you

13 know, your Honor sits in the only place in the
courtroom in

14 which those are properly addressed. All we could say
is that

15 we have these challenges, we do believe them to be
valid, and

16 we respectfully submit that having some kind of a
pretrial

17 hearing would be of assistance.

18 THE COURT: All right. Thank you.

1 of 19 Ms. Wilkinson, you're going to deal with Prong

20 Prong 2, I guess is what we're dealing with here.

21 MR. TIGAR: Ms. Wilkinson wants her chart
back, and I

22 promise to give it as soon as I can find it.

23 PLAINTIFF'S ARGUMENT

24 MS. WILKINSON: I promise not to use it, your
Honor.

25 I just want it back.

42

1 Your Honor, in preparation for the argument

this

2 afternoon, Mr. Orenstein and I divided up the experts

and

3 decided that I would argue the explosive and explosive-

related

4 experts, he, being the more scholarly, would argue the

law on

5 Daubert and the remaining experts. But we think it

would be

6 better to go right to Mr. Burmeister and Ms. Jones,

since those

7 seems to be the issues --

8 THE COURT: I think they illustrate the

problem,

9 probably, as a general problem.

10 MS. WILKINSON: I think what we need to do,

your

11 Honor, is get to the facts. I notice that you were
probing
12 Mr. Nigh on what his actual challenges were and on the
tests
13 that were used in this case. So I think I would like
to give
14 you a little background on Mr. Burmeister and what he
did in
15 this case just to focus in on your questions about what
tests
16 or methodologies were used.

17 Mr. Burmeister is a renowned explosive expert;
and I
18 believe the defense has acknowledged in their reply
brief that
19 they are not questioning his qualifications. He is the
acting
20 chief of the chemistry toxicology unit. And I mention
that
21 because there seems to be some misunderstanding on the
part of
22 the defense about the location and the difference
between the
23 chemistry toxicology unit and the explosive unit.

24 If I might, the explosive unit is where Dave
Williams
25 and others work; and they are the folks that go out to
the

testing 1 crime scene and manage the explosive cases. They do
not do any 2 and examinations to determine blast damage. They do
3 test as to residue analysis.

4 Mr. Burmeister and his colleagues do that in
the 5 chemistry toxicology unit, which is in a separate
portion of 6 the laboratory. It used to be called the materials
analysis 7 unit; and yes, we agree that it was never moved, but
the point 8 of the defense's challenge is that we have informed you
in our 9 brief in the contamination studies that were conducted
at the 10 laboratory there was never any finding that the
chemistry 11 toxicology unit or the materials analysis unit were
claim that 12 contaminated in any way. And the defense seems to
there was 13 somehow there was some finding of contamination, and
and 14 not. And those are the areas in which Mr. Burmeister
15 Mr. Kelly, his assistant, who is also a chemist and an
16 examiner, conducted the tests in this case.

17 Mr. Burmeister was trained under Dr.
Whitehurst; and
18 in the deposition that Dr. Whitehurst gave in this case

to the

19 defense, Dr. Whitehurst himself said that Agent
Burmeister's
20 examination of Q507 was brilliant. He said he had no
concerns
21 whatsoever about Agent Burmeister's handling of Q507,
and that
22 Burmeister is one of the top ten residue explosives
chemists in
23 the world. We cite that to your Honor just to say
there is
24 very little question even from those critical of the
laboratory
25 that Agent Burmeister's work in this case was superior.
And

44

1 the reason his work is superior, your Honor is because
he's
2 known for his meticulous attention to detail and his
3 conservative interpretations of the data.
4 In this case he's only going to discuss with
regard to
5 residue analysis five or six items: Clothes that Mr.
McVeigh
6 was wearing at the time of his arrest, two shirts, a
pair of
7 pants, and items he had on him at the time of his
arrest, a
8 pair of earplugs. The only other item that has

explosives

and 9 material on it is the one your Honor is familiar with,
10 that's Q507. And that's not residue, that's the actual
11 crystals that were identified.

really 12 So it's only these items, your Honor, that are
13 relevant in terms of what tests were conducted, whether
there 14 was any potential for contamination, and what
methodologies 15 were used to come to the conclusions that Agent
Burmeister did.

16 Agent Burmeister used qualified and well-known
terms of 17 methodologies to conduct his tests, your Honor. In
are 18 the clothing, he used a variety of methodologies that
everything 19 accepted, as I believe Mr. Tigar has acknowledged,
20 from FTIR, which is four-year transform infrared
spectrometry 21 and different types of spectrometry that your Honor is
familiar 22 with, mass spectrometry, he used ion chromatography and
gas 23 chromatography, all tests that I believe are accepted
by 24 scientific experts and especially experts in the
explosive 25 analysis community to determine whether there are
residues

1 remaining on certain items of evidence. The only test
or
2 methodology I'm aware that the defense is actually
questioning
3 is IMS, ion mobility spectrometry; and that is the test
that
4 they are claiming or at least claim in their brief has
some
5 false positives or problem with false positives, they
say.

6 I believe that's incorrect. Agent Burmeister
has
7 informed us that when he and Agent Whitehurst were
working on
8 the World Trade Center, there were problems with using
that
9 machine with certain amounts of nitroglycerine. He was
10 familiar with that problem, as he and Agent Whitehurst
11 discovered it, themselves, and interpret the data
accordingly.
12 But there was no instances in this case where there was
that
13 large level of nitroglycerine that might go basically
off the
14 charts, so to speak, when reading this machine.

15 But other than that, your Honor, Agent
Burmeister did
16 not use any of these one tests to come to his

conclusions. He

For 17 relied in some cases on up to eight different tests.

wearing, he 18 example, on one of the shirts that Mr. McVeigh was

presence of 19 used eight different tests that all confirmed the

an 20 PETN. And with Q507, he conducted the the same type of

understand 21 x-ray defraction with a Gandolfi camera which as I

where 22 it, is the fancy technology that the Smithsonian uses

around and 23 they take photos of this crystal going all the way

doubt this 24 it becomes a fingerprint. So they can say without a

employed 25 this crystal was ammonium nitrate. Agent Burmeister

46

those 1 that test as well as seven others to determine that

of 2 crystals present on Q507 and embedded into that piece

3 evidence were in fact ammonium nitrate.

4 So to go to your Honor's point about what

one, we 5 methodologies were used and whether they were reliable,

6 would say all the methodologies Agent Burmeister were

reliable,

7 but he didn't rely on just one. He used multiple types
of
8 tests.

9 THE COURT: Now, Mr. Nigh's telling me that he
used --
10 he, Mr. Burmeister -- used a couple of screening tests
which I
11 guess presumptive tests as I said, and then didn't do
the
12 follow-up on the definitive test. Now, what's that
about?

13 MS. WILKINSON: I'm not sure, your Honor. I
was
14 waiting to hear the details, myself. I understand from
my
15 instruction from Agent Burmeister and others that there
are two
16 tests that can be used as screening. One is the IMS,
and one
17 is what they commonly refer to as EGIS, but that's the
gas
18 chromatography with a certain type of detector. But
those
19 tests, when entwined with others, your Honor, are not
20 considered screening tests. In fact, for example, at
airports
21 sometimes, you'll see these screening machines, the
EGIS
22 machine, where they can, you know, take a little vacuum
type
23 device that's attached to the machine and sniff or, you
know,

24 vacuum the clothing.

25 In fact, that test was used at Mr. Nichols'
residence

47

1 by Agent Burmeister. That itself, by itself without a
detector
2 is just a screening test; but with a detector and with
the
3 additional test that Agent Burmeister used, he was able
to
4 identify the residues and not just determine that there
was the
5 likelihood or the probability, like a screening test
would,
6 that those items were present. So in none of these
tests did
7 he rely purely on screening mechanisms to determine or
to come
8 to his final conclusions.

9 Now, unless Mr. Nigh has other evidence that
I'm not
10 aware of -- if he could give specific examples as to
these
11 items where Agent Burmeister is going to rely, he
believes is
12 just to go to rely on some kind of screening device,
we'd be
13 happy to address that; but I didn't hear anything today
about

14 the specificity of the claim that he's making.

15 THE COURT: Well, we do have these machines
that
16 require the use of standards or comparison models or
whatever
17 you want to say; right?

18 MS. WILKINSON: Yes.

19 THE COURT: Now, the defense is challenging
here the
20 way in which that was done and saying that the
standards that
21 were used here had deteriorated or whatever.

22 MS. WILKINSON: Two things.

23 THE COURT: How do I know about that?

24 MS. WILKINSON: Right. Well, I'm not sure,
unless
25 they give you the detailed information, your Honor.
And we

48

1 have provided 5,000 pages of notes from Agent
Burmeister which

2 show the chromatograms and other things that are the
printouts

3 of the standards, the blanks, and the identified
samples.

4 But I can tell you how the process works
generally. A

5 sample is extracted from a piece of evidence, perhaps
from the
6 shirt of Mr. McVeigh. A portion of that sample is
taken out
7 and placed into a machine. But before it's placed into
a
8 machine, the technician runs a blank to make sure that
the
9 machine is not contaminated.

10 THE COURT: Okay.

11 MS. WILKINSON: These print out that
chromatogram and
12 show it, and then they put the sample in. If it comes
out
13 positive, for example, for PETN, another blank is run
and then
14 another sample is run again to make sure there is
nothing left
15 in the machine for the next time. When the series of
tests are
16 completed and only when they're completed, they run a
standard.

17 I point that out because Mr. Nigh seems to
suggest
18 that the standard should be established beforehand.
The reason
19 they don't do that is it could potentially contaminate
the
20 machine. So they try and run a blank first, run all
the tests,
21 and then if they hit a positive for PETN or RDX, they
then put
22 the standard in at the end so they have how the machine

is

23 reading that day and they can compare the known
standard from

24 the chromatogram to the sample and make sure through
the

25 examiner's interpretation that they can in fact
identify that

49

1 substance.

2 Now, I understand from the examination of
Agent

3 Whitehurst during the deposition that they believe
certain

4 chromatograms show some kind of breakdown of the
standard. I'm

5 not sure exactly what they mean, and they haven't
provided any

6 details of that, even though they had the chromatograms
and

7 they were trying to cross-examine Agent Whitehurst with
those

8 during the deposition. So I would submit if there is
some

9 detailed challenge to that, that they present it and we
can

10 reply to it.

11 But otherwise, speaking with Agent Burmeister,
he

12 views it obviously as his job as the expert and not as

the

13 technician to interpret the data. It isn't a matter of
just
14 putting it into the machine, getting the read-out and
saying,
15 Okay, I find HMX, I find PETN. You have to understand
how the
16 machines work. You have to understand the things that
17 Mr. Tigar was trying to suggest to you create some
doubt like
18 why the charts have different peaks. One of the most
obvious
19 reasons is they're on a different scale. So sometimes
if you
20 don't understand that, the chart is going to read
differently
21 to you. So it takes the expert who understands all the
data
22 and the scientific principles underneath it to
interpret the
23 data. Agent Burmeister knows the machines that he used
in his
24 laboratory, he knows how the standards read. He put
all those
25 chromatograms and read-outs in his notes, and we
provided them

50

1 the defense.

2 So if they want to challenge that, your Honor,

again I

of the 3 believe it still goes to, ultimately to the credibility
the 4 finding, can you really call that peak PETN. Even if
you 5 standard has some kind of breakdown, the point is can
on that 6 identify this sample as PETN or whatever the residue is
7 substance.

8 But I don't think it's a matter of a Daubert
9 challenge, your Honor. As you were pointing out to Mr.
Nigh,

10 that goes to the general methodologies that were
employed. And

11 again, I haven't heard a challenge to the actual
methodologies

12 that were used by Agent Burmeister. The challenges
sound to me

13 like did he actually apply the test and follow the
procedures

14 that they believe should be followed, the protocols,
the way

15 that they believe they should. And I think I should
address

16 that.

17 And it really goes more to the contamination
issue,

18 your Honor. The defense has had unprecedented
discovery in

19 this case with regard to the lab for the reasons that
the Court

20 has talked about earlier. We've provided all the
notes. We've
21 provided them with the underlying allegations in the
Inspector
22 General's investigation. They've retained, we just
found out
23 today, seven different explosives and explosive residue
24 experts, and yet they have still not been able to mount
a
25 challenge that the evidence in this case was actually

51

1 contaminated or some failure to follow a protocol
actually
2 affected the results. And that's because, your Honor,
that
3 didn't occur in this case.

4 Now, there's always a chance for possible
5 contamination in any kind of forensic science, and I
think that
6 the Ninth case, the Hicks case recognized that, that
that is
7 the nature of forensic science; and so to suggest that
the
8 Government has some kind of burden to come in here and
prove to
9 you that there is no potential for error would make it
be
10 basically a matter of judicial notice. We would have
to prove

11 that there's absolutely nothing wrong with this test,
it says
12 absolutely what the expert says, and by then the Court
could
13 take judicial notice. That's clearly not the standard
under
14 Daubert. Scientists do make errors, and that's fodder
for
15 cross-examination; and, you know, we're ready for the
defense
16 to attack our proof at trial, and we're ready to
persuade a
17 jury that this evidence is very compelling. But it's
not a
18 matter for the Court to determine pretrial that the
jury can't
19 hear this evidence because there may be some question
about how
20 the test was actually carried out in this case.

21 THE COURT: Well, you intend at trial to go
through
22 the step-by-step procedures followed?

23 MS. WILKINSON: Your Honor, in my judgment
that would
24 not be the most persuasive way to present it to a jury.
But if
25 we're challenged by the defense, we can do that.
There's a lot

1 of different tactical ways to present this evidence.

2 THE COURT: And some of them are under the
control of
3 the Court.

4 MS. WILKINSON: Correct.

5 THE COURT: As to whether the witness has to
identify
6 the bases under 703 before he or she can give the
opinions
7 under 702.

8 MS. WILKINSON: Well, I believe because these
findings
9 are so powerful, it would be in our interest to provide
the
10 bases to the jury, so they can understand, within
reason,
11 without boring them to death with some of the minutia
of the
12 science, so they can understand why Agent Burmeister
can say
13 what he's saying to them. So we will prepare him to
provide
14 those bases, and of course he is so knowledgeable in
this area
15 that it won't be a problem for him to answer questions
on
16 cross-examination explaining to the defense if they
have
17 questions about the methodologies he used or the actual
way he
18 carried out some of these tests. But I believe the
Court is

address 19 concerned, or at least wants to address, or wants us to
did he 20 the issue of contamination. And that relates to how
21 conduct the tests in this case.

actual 22 Your Honor, the defense has not alleged any
23 contamination. They titled the section of their brief
it is, 24 "possible contamination," and that's because that's all
reasons 25 a possibility. But I would like to give the Court ten

53

most 1 why in this case it is very unlikely, and indeed, the
contaminated 2 unlikely scenario, for why these items were not
that 3 and in fact are consistent with the Government's theory
before his 4 Mr. McVeigh handled bomb-making components shortly
5 arrest.

the FBI 6 First, there is no systemic contamination in
brief, 7 laboratory. The defense concedes this in their reply
8 Agent Whitehurst has said it, and there's absolutely no
9 evidence of systemic contamination. If there were, you

would

10 see item after item coming up positive. And in this
case,

11 we've had over 400 tests for high explosives residue;
and as I

12 said, we only have five or six that came up positive.
So

13 there's absolutely no evidence of systemic
contamination.

14 The second are the proactive measures that
Agent

15 Burmeister and his technicians took in testing these
actual

16 pieces of items. What they did, your Honor, was ensure
that

17 the material, before they took it out of their plastic
bags --

18 ensure that their area was clean by using a bleach and
acetone

19 substance to clean their table, they put on gloves,
they laid

20 down disposable butcher paper, Agent Whitehurst --
Agent

21 Burmeister puts on a second pair of gloves, to actually
take

22 the item out, he leaves the item out only long enough
to

23 extract the sample, puts the item back into the bag,
seals it,

24 takes off that second pair of gloves, and works with
the

25 sample, keeping the sample covered, obviously, at all
times,

1 minimizing the chance, your Honor, for any kind of
2 contamination. And then when he actually conducts the
test, he
3 does what I stated earlier: He runs blanks on the
machine.
4 After every positive hit, there's a blank every other
time. He
5 runs these tests on a variety of machines to make sure
that he
6 can ensure that his results are correct.

7 So all of these different measures that he
used and
8 that the FBI laboratory has used in the explosives, in
the
9 chemistry toxicology unit for some time, minimize the
chance
10 for any contamination.

11 Third, your Honor, on some of the substances
or some
12 of the items, there are multiple residues. For
example, on the
13 earplugs, there's PETN, EGDN and nitroglycerine. Just
your
14 common sense tells you that three residues didn't just
jump
15 onto those earplugs and magically contaminate them. I
mean
16 Scientists will tell you that, that it's less likely

that more

17 than one residue would just randomly be contaminated on
a

18 substance. But here in one instance we have three. In
other

19 cases we have two. Now there's arguments on both
sides, but

20 the point is that the more residues that find on one
substance,

21 the less likely it is that all those substances were
around, I

22 assume the defense is contending somewhere in the area.

23 Now, Agent Burmeister --

24 THE COURT: Well, except a person can have
earplugs

25 because he's a target shooter and can be using those
earplugs

55

1 out firing firearms, which would give some
nitroglycerine and

2 some other residue on those plugs; right?

3 MS. WILKINSON: You're right, most
respectfully, in

4 part, your Honor. It would give nitroglycerine, but
that leads

5 me to my next point of why it's less likely, or very
unlikely

6 that there's contamination, and that's the type of
residues we

7 find. You would find nitroglycerine from a firearm.
You would
8 not find PETN and EGDN Those are found in explosives
found in
9 components. EGDN is found in dynamite, and PETN is
the kind
10 blasting caps and in detonation cord. So that is not
general
11 of thing that you would find out, you know, in the
it out at
12 public or even out in a firing range. You might find
for
13 a explosives range, but you're not going to find it,
lab
14 example, you know, out in public or in public areas.
the
15 And a recent study conducted by the British
course, but in
16 supports this fact. They did a study last year showing
stations.
17 unlikely nature of contamination, in England, of
there,
18 public areas, in police vehicles, and in police
20 too.
21 MS. WILKINSON: That's true.
unlikely there
22 The next point, your Honor, on why it's
residues
23 was any contamination in this case was the types of
on
24 and their properties. For example, PETN that was found

25 Mr. McVeigh's clothing and on the earplugs does not
vaporize,

56

1 it doesn't magically -- you know, it doesn't have that
2 vaporizing property like nitroglycerine does or EGDN so
it
3 wouldn't seep up in the air as some have suggested and
then
4 somehow land on these pieces.

5 Dr. Whitehurst said in his deposition it's
hard like a

6 rock; in fact that's why it's hard to detect, it
doesn't move,
7 it's more like a sticky substance, so it's not going to
8 penetrate a paper bag and a box and a plastic bag that
this
9 evidence was maintained in. So then, again, it's very
unlikely
10 that these residues which were found on Mr. McVeigh's
clothes
11 got there through contamination.

12 The fifth area, your Honor, is the one that I
13 mentioned earlier which is the that the areas that
these items

14 were tested have never tested positive for
contamination. We

15 have provided to the defense the contamination study
that Agent

study he 16 Whitehurst conducted in June of 1995. And in that
area and 17 specifically says that he tested Agent Burmeister's
that 18 Mr. Kelly's area and there were no residues detected in
19 area.

20 THE COURT: What was the date of that?

argument 21 MS. WILKINSON: June 1995. Now, there's an
testing in 22 over how persuasive that is, of course, because the
These 23 this case for these items was conducted before that.

PETN may 24 items came in early. But if you follow the point that
could 25 stay in a place for a while because it's sticky, you

57

been 1 argue that if they found it in June 1995, it could have
the 2 there for a while. That's possible, but my point is at
were 3 time they tested, which was shortly after these tests
4 conducted, Agent Burmeister's area was clean.

contamination is 5 The sixth reason, your Honor, why

Those 6 unlikely is the nature of the crystals found on Q507.
truck which 7 crystals were found embedded into a portion of the
up the 8 was blown apart. The portion the Morgan box that makes
fiberglass 9 Ryder truck is made out of a reenforced plywood,
and 10 plywood; that was blown almost in half, this piece was,
11 then the crystals were embedded in it.

these 12 So despite Mr. Tigar's theory that perhaps
rain which 13 crystals washed up somehow because they were in the
and 14 ensued on the evening of the 19th and reformed, that's
15 virtually impossible because of how they were embedded
the 16 Agent Burmeister tells me because of the structure of
reform, which 17 actual crystal, that if crystals somehow were to
of those 18 he believes would be virtually impossible under the
19 circumstances of the bombing and the rain and the lack
you would 20 crystals found on any other items at the crime scene,
saw. 21 have seen a different structure to the crystal than he

residues 22 The seventh reason, your Honor, is that the
residues. 23 were found where you would expect a bomber to have

claim 24 Again, going back to your common sense, if there's this
which the 25 of random contamination that's very hard to detect

58

would 1 defense states in their brief, you would assume that it
occur on a 2 just be on one piece here, one piece there, and not
3 regular basis. Obviously the definition of random.

McVeigh's 4 All of the residues were found on Mr.
pants 5 clothes, on both of his shirts, on his pants, in his
expect 6 pockets, on his knife. All the places where you would
have them. 7 someone who had been handling explosive materials to

They 8 And they weren't found where you wouldn't expect them.
blanket, 9 weren't found on his boots, they weren't found on his
it to be 10 they weren't found on those areas where we would think
some 11 less likely for him to have residues. So if there was
because 12 kind of random contamination, it was pretty effective,
13 it all jumped on the right places where we would expect

14 Mr. McVeigh to have his residues.

15 The ninth reason, your Honor, is that the
clothes and

16 the earplugs that were tested in this case were tested
at

17 totally separate times, so if there, again, were this
random

18 contamination, we find all these residues on the
clothes which

19 were tested separately but within a, but within the
same day

20 day or two. and then the earplugs are tested at a
totally

21 separate time, handled by different people, and we find
two

22 explosive res -- or three, as I said, explosive
residues on

23 those earplugs.

24 The final reason, your Honor, is that although
the FBI

25 does not do quantitative analysis for explosive residue
-- and

59

1 there's lots of reasons for that -- different items
that had

2 residue on them showed different intensities of the
residues.

3 And if there were contamination, it would be more
likely that

amounts 4 there would be similar intensities and relatively small
5 on all the items.

on this 6 The defense's own expert Dr. Lloyd has relied
7 premise in his analysis of evidence in Great Britain.

And 8 we've reviewed some of his reports and he relies on
this in 9 sometimes determining whether there was contamination
or 10 whether there wasn't.

many more 11 So for all of these reasons, your Honor, and
12 that I'm sure Agent Burmeister will be much better at
scenario in 13 explaining than I have, it is the most unlikely

14 this case that these residues were deposited based on
defense has 15 contamination. Nevertheless we understand that the

16 every right to challenge these in front of a jury and
argue

17 basically the issues your Honor cited this morning
about

18 whether this evidence is to be believed and whether
there's 19 alternative explanations for the data.

20 But there's no evidence that there was any
massive

21 contamination in the FBI laboratory, there's no
evidence that

22 this evidence -- there's no evidence that these items
23 themselves were contaminated; and based on the lack of
any of
24 that affirmative proof, your Honor, it is unnecessary
to have
25 any kind of pretrial hearing, because the Court
couldn't come

60

1 to any final ruling. It would be impossible for you to
2 determine as a matter of law that these items were so
3 contaminated or possibly contaminated which is all that
4 Mr. Nigh is claiming, or as Mr. Tigar has claimed, that
somehow
5 the interpretation that Agent Burmeister makes is
somehow so
6 different from the one his expert would make or that he
would
7 make, that clearly falls right in the province of the
jury, for
8 them to determine -- Mr. Tigar can call his experts and
they
9 can try and interpret the chromatograms and charts that
Agent
10 Burmeister interpreted and try to persuade the jury
that there
11 are alternative explanations.

12 THE COURT: Are you going to address this
matter of

13 the supplemental testing done to determine whether
these were

14 prills?

15 MS. WILKINSON: I will briefly, your Honor.

16 THE COURT: All right.

17 MS. WILKINSON: As Mr. Tigar related to you,
when

18 Agent Burmeister did his initial testing of Q507, he

19 determined there were crystals present, and he found
those

20 three elements, the aluminum, the sulfur, and the
silicon. At

21 that time he did not know the significance of those
materials.

22 And indeed I think that makes it even more powerful
because he

23 reports it in his notes and identifies those quantities
but

24 can't come to any conclusions.

25 He then learns that the ICI corporation that

61

Nichols 1 manufactures some of the low-density prills that Mr.

2 had at his house using an additive package in their
coating

3 that's identifiable. So he goes to ICI in October and
November

4 and participates in testing with them. There's an

exchange of

5 information because the scientists at ICI know what's
in their

6 prills and Agent Burmeister knows what he's seen on
Q507 and

7 his other charts. They test the prills from Mr.
Nichols' house

8 and they test the known ICI prills and he determines
that the

9 elements in those coatings are consistent with those
three

10 elements that he found, what, a year and a half before
that.

11 He then --

12 THE COURT: So he's in the hands-on testing
down there

13 at the manufacturer?

14 MS. WILKINSON: Yes, he went to ICI's
laboratory,

15 which is I believe in Montreal.

16 THE COURT: And what kind of machine was that
done

17 with, do you know?

18 MS. WILKINSON: I don't recall. I don't have
the

19 report. But I do differ with Mr. Tigar that the
report, it did

20 set forth the types of tests that were used. And
obviously we

21 relied on Agent Burmeister to determine whether those
were

22 methodologies that he would rely on. But the general

23 methodology was the SCM, the chart, chromatogram he
shows you,

24 that scanning electron microscope is accepted
technology. As

25 you say, it's been around for years.

62

1 THE COURT: I don't have that report, do I?

2 MS. WILKINSON: You don't. You don't. But
that's why

3 they were able to compare those chromatograms or those
charts

4 because they used that same test, the scanning electron
5 microscope, which identifies the element by atomic
wave. So

6 it's the same type of test that was conducted at the
FBI. The

7 same, at least that test. And on the same type of
machine. My

8 understanding is I think the machine at ICI is more
9 sophisticated and it can identify elements below 11,
the weight

10 of 11 and the one at the FBI laboratory can't. But
that was

11 irrelevant for the purpose of identifying these
coatings.

12 THE COURT: Well, now, you've mentioned in
response

13 here that there should be a hearing with respect to

14 qualifications of three of the witnesses.

15 MS. WILKINSON: Well, your Honor, we have
agreed to a

16 hearing. I think I should make that clear.

17 THE COURT: Yeah, I'm not clear what that
hearing

18 would be.

19 MS. WILKINSON: Well, our understanding is
that the

20 defense is challenging Miss Jones, Mr. Rydlund, and Mr.
Stokes,

21 claiming they're not even qualified to give the
opinions that

22 we have told them we expect to elicit from them. In
other

23 words, let's use Mr. Rydlund who is the ammonium
nitrate and

24 fuel oil expert from Eldorado which is a chemical
corporation.

25 We have advised the defense that he will testify about
a

63

1 variety of matters, including the manufacturing of
ammonium

2 nitrate and fuel oil, the detonation, boosters that are

3 necessary, and they claim he doesn't -- residues that
may be

4 left when ammonium nitrate fuel is detonated. They

claim he

5 doesn't have the expertise to do that. We assert that
he

6 does..

7 THE COURT: His mining experience.

8 MS. WILKINSON: Right, he's a mining engineer.
He has

9 conducted studies, mostly for environmental impact, to
10 determine what residues are left. We could give a
proffer to

11 you right now on his credentials that I believe would
be

12 sufficient to show that he's qualified to give those
opinions.

13 But because the defense has made the challenge that
he's not

14 even qualified, we were willing to have a limited
hearing on

15 those issues.

16 THE COURT: What about --

17 MS. WILKINSON: How about Miss Jones?

18 THE COURT: Yeah.

19 MS. WILKINSON: I believe, and I hope I don't
misstate

20 their objections, and I'm sure they'll correct me if I
do. I

21 believe they think that Miss Jones is not qualified to
opine on

22 the location of the device and the type of device; and
their

23 objection, I believe, was that it wasn't in her report,

which,

24 one, is wrong, but two, obviously from her credentials,
she's
25 qualified to do that.

64

1 They also claim she is not qualified to
discuss the
2 ease or difficulty of purchasing, acquiring, or
manufacturing
3 explosives and explosives components. We, again, would
argue
4 that Miss Jones started her career in explosives
factory where
5 she did quality assurance for the manufacturing of
explosives,
6 she studied polymers and how they interact with
explosives and
7 she just has an incredibility background and knowledge
base.

8 THE COURT: I think there's also an issue, as
I
9 understand it, about her ability to opine regarding the
size of
10 the device based on effects. Is that --

11 MS. WILKINSON: I don't recall that, but Mr.
Nigh will
12 have to correct me on that. I don't believe so.

13 THE COURT: You know, we had some argument
about

14 there's no comparable size in there, her experience.
15 MS. WILKINSON: That's incorrect. Mr. Tigar
made some
16 disparaging remarks about her being, having a knowledge
of a
17 few car bombs. Unfortunately, because of her position
in Great
18 Britain, there are quite a few large explosives
devices. She's
19 been present at, I think after the fact of an explosive
device
20 that was as big as 10,000 pounds in trucks. In fact,
these are
21 the type of devices are somewhat similar to the devices
the IRA
22 uses in Great Britain. She's also been on the scene
when
23 devices were neutralized or rendered safe that were
large truck
24 bombs. So that information that was provided to you
was
25 incorrect. She has testified in over 30 cases about
explosive

65

1 residue. As far as we know, she is the most qualified
expert
2 on ammonium nitrate-based explosives in the world.
She's been
3 in more bombing crime scenes than anyone we've been

able to

4 find. On ammonium nitrate-based explosives. So I
don't

5 believe there's any basis to challenge her, you know,
as

6 Mr. Tigar suggested during the first argument.

7 THE COURT: Who is the third one?

8 MS. WILKINSON: Mr. Stokes, who is the FBI's
expert on

9 the videotapes. And the defense makes an unusual
challenge,

10 shall we say, that because he's an expert in
photography, he

11 can't be an expert, he has no expertise in videotapes.
And I

12 believe that Mr. Orenstein can address that for you.
He's more

13 prepared on that than I am, but I know that Mr. Stokes
has

14 expertise in videos and has been analyzing videos for
quite

15 sometime.

16 So we don't believe that a hearing is
necessary, your

17 Honor, we believe we can do this through a proffer; but
if the

18 defense decides that based on the actual qualifications
they

19 wanted a hearing, we could do that. I think it would
be better

20 if we just answered their questions or provided
additional

bringing 21 information if that what was necessary other than
22 these people into court for this limited purpose.

23 THE COURT: Okay.

briefly, your 24 MS. WILKINSON: As to Miss Jones, only
25 Honor, I believe that Mr. Tigar has argued somehow that
she's

66

aren't 1 relying on Agent Burmeister and therefore her opinions
2 valid. She's relying on Agent Burmeister only with
regard to 3 his chemical analysis of Q507. Miss Jones is a chemist
4 herself, she has reviewed Agent Burmeister's work, she
has
5 discussed it and can of course under Rule 703
reasonably rely

believe 6 With regard to the remainder of her opinion, I
hasn't 7 Mr. Tigar has challenged it claiming that Miss Jones
8 used any proper methodologies to determine the blast
damage and 9 the blast effects. And I'm not sure exactly what he's
saying 10 there, but Miss Jones has viewed the evidence in this
case -- I 11 guess he's saying she's relying somehow on other people

in the

12 FBI, which is not true. She has come to the United
States and
13 examined the evidence, herself. She has not read Agent
14 Williams' reports in this case, so she has not relied
on any of
15 his work. She's come to her own conclusions, and her
16 conclusions while they're consistent with Agent
Williams' are
17 not the same as Agent Williams'. So I think that is an
18 misrepresentation of the opinions that we're going to
elicit
19 from her in court.

20 THE COURT: Okay.

21 Mr. Nigh.

22 MR. NIGH: Thank you, your Honor. I'd like to
respond
23 to Ms. Wilkinson's arguments in reference to Agent
Burmeister's
24 testimony first before we go to the other experts, if I
may.
25 I would submit, your Honor, that what we have
had just

67

1 had was an evidentiary hearing concerning the
reliability of

2 Agent Burmeister's findings as testified to my Ms.
Wilkinson,

3 and I would submit that what we have had is a detailed
account

4 of Ms. Wilkinson's testimony concerning her
determinations of

5 the reliability of the FBI laboratory. And I submit,
your

6 Honor, that it was inappropriate and the way to resolve
that

7 question is for the witnesses themselves to come into
the

8 courtroom and offer testimony to the Court concerning
what

9 tests they performed, whether their methods were
reliable,

10 instead of having Ms. Wilkinson testify about it.

11 It seems to me, your Honor, that --

12 THE COURT: Well, are you saying every time
there is

13 to be opinion based on laboratory testing, that there
has to be

14 a pretrial hearing.

15 MR. NIGH: No, your Honor, not at all.

16 THE COURT: Well, where do you draw the line
as to

17 when you hold a pretrial hearing and when you don't?

18 MR. NIGH: I draw the line on those
circumstances

19 where there is reason to question the methodologies
used by the

20 proffered expert and those occasions a pretrial hearing
should

21 be held and the proponent of the evidence should be
required to

22 prove the reliability of the methodology. And your
Honor, we

23 have --

24 THE COURT: So there's some, the opponent of
the

25 evidence has to show something preliminarily to warrant
a

68

1 hearing?

2 MR. NIGH: I think there has to be a good-
faith reason

3 to question the process that's being offered. I think
if it's

4 a well established process that's generally accepted
and has

5 gained acceptance in the appeals courts, then it's
difficult to

6 justify an evidentiary hearing. But I submit that's
not what

7 we're dealing with here.

8 THE COURT: But, again, though, the
methodology is not

9 subject to quarrel here. As applied that's being
questioned,

10 isn't it?

11 MR. NIGH: I think --

12 THE COURT: How the methodology is applied,
including

13 the, I guess, particular machines that were used.

14 MR. NIGH: I think that that's one way to
articulate

15 it, your Honor. And we have received 5,000 pages of
notes from

16 Agent Burmeister.

17 THE COURT: Yeah.

18 MR. NIGH: And our experts tell us, based upon
their

19 review of their notes, not eight tests were used in
reference

20 to these findings in reference to Mr. McVeigh's
clothing, but

21 that two screening tests were used. That's based upon
the best

22 information that they have. And they also tell us that
those

23 screening tests are not the appropriate tests to
utilize in

24 making an explosive residue determination.

25 I can testify about that based upon what my
experts

69

1 tell me in reference to it, but I submit that the way
to

2 resolve it is for the Court to hear the testimony from
Agent

3 Burmeister concerning what tests that he performed,
what rate
4 of error those testing devices had when used in the way
that he
5 used them.

6 THE COURT: And then hear from your experts,
too?

7 MR. NIGH: Yes, your Honor. I would submit
that
8 that's the appropriate way to do it because of the
overpowering
9 nature and the potential prejudicial effect of that
testimony
10 if the Court determines that it's unreliable. After
it's
11 already gone to the jury. I think that that's the way
to
12 resolve the issue. And if the Court determines that
it's
13 admissible, we'll live with those results and we'll
challenge
14 the conclusions through our own experts at trial. But
because
15 of the nature of the testimony, we think that a
pretrial
16 determination is appropriate.

17 THE COURT: Well, you know, I'm not very
persuaded by
18 some of these things in the materials here where
they're saying
19 good lab, bad lab, good science, bad science, that
isn't very

more 20 persuasive to me. Seems to me, they have to be a bit

21 specific than they say every lab ought to have a
certain amount

22 of protocols.

23 MR. NIGH: We tried to be as specific as we
can based

24 on the information that we have, your Honor. We submit

25 specifically that the testing that was performed on

70

1 Mr. McVeigh's clothing was not done properly.

2 THE COURT: And why specifically wasn't it?

3 MR. NIGH: The first reason that it wasn't
done

4 properly is because the wrong instruments, wrong
testing

5 instruments were used in order to make identifications
or

6 consistency determinations.

7 THE COURT: Like what?

8 MR. NIGH: I think that IMS and EGIS are the
two tests

9 involved there.

10 THE COURT: And those are not appropriate
tests?

11 MR. NIGH: Not for making identifications
which is

12 what the FBI lab, the use that the FBI lab has put them
to.

13 And that's what our experts tell us based upon their
review of

14 Agent Burmeister's notes. That's the first way. Their
review

15 of --

16 THE COURT: Well, if Burmeister says it is,
and they

17 say it isn't, let the jury decide, isn't that how we do
it?

18 MR. NIGH: I think if the Court determines
that Agent

19 Burmeister is right about it, that that's the way that
it ought

20 to be done --

21 THE COURT: I'm not supposed to decide whether
he's

22 right about it. I'm supposed to decide whether his
conclusions

23 are based on accepted scientific methodology.

24 MR. NIGH: Certainly, your Honor. And if the
Court

25 conclusion based upon the testimony from both experts
that his

71

1 conclusions are based upon valid scientific
methodology, then

2 the evidence should be admitted.

3 I submit that the Government bears the burden
of
4 proving through Burmeister's testimony or other
witnesses that
5 it was a scientific methodology. That support the
conclusions
6 that he reaches. And I submit that there are based
upon the
7 record before the Court very serious questions about
that based
8 upon all the information that we've been able to
gather.

9 THE COURT: What do you need to talk about
with
10 respect to specific witnesses here, other than
Burmeister?

11 MR. NIGH: What I would propose to do, your
Honor, is
12 go through the them alphabetically in the order that
they're in
13 the Government's brief. Primarily the first objection
to the
14 rest of the experts is based upon a failure in
discovery which
15 permits us from --

16 THE COURT: Well, let's take a recess before
we go
17 through that process. We'll recess, 20 minutes.

18 (Recess at 3:24 p.m.)

19 (Reconvened at 3:45 p.m.)

20 THE COURT: Be seated, please.

21 Mr. Nigh, before we talk about the individual
22 witnesses and the discovery issues, what is your
position
23 regarding the qualifications of Linda Jones?

24 MR. NIGH: Your Honor, we do believe that the
25 Government has proffered Ms. Jones' testimony for areas
that

72

1 are beyond her area of expertise, including the
availability
2 and accessibility of explosives and things of that
nature. And
3 the nature of our objection there is primarily in
reference to
4 availability in the United States, which would seem to
be a
5 relevant area of inquiry.

6 And our understanding of Ms. Jones'
qualifications
7 relate to Great Britain, and we think that she should
be
8 required to establish her qualifications in that regard
before
9 being allowed to admit testimony.

10 THE COURT: That's a relatively minor thing.
I mean,
11 that could be done at a pre-jury hearing before trial
-- in

12 trial.

Honor. 13 MR. NIGH: That aspect of it could, your

that I 14 There is an additional problem with Ms. Jones

one of 15 failed to mention previously, although it's in the --

16 the motions before the Court, and that is the fact that

Mexico. 17 Ms. Jones observed the explosives tests in Socorro, New

not be a 18 THE COURT: Well, but I understood that would

she would 19 part -- that would not be one of the bases upon which

20 give opinions.

Honor, 21 MR. NIGH: Well, in light of the fact, your

of this 22 that she proposes to testify about the size and nature

were 23 device, and the tests at issue in Socorro, New Mexico,

fuel oil, I 24 supposed to be 5,000 pounds of ammonium nitrate and

how she's 25 think she should be required to explain to the Court

73

connection 1 going to discount her observation and studies in

2 with those tests in offering that kind of opinion,

particularly

3 because to my knowledge there is nothing that exists
that

4 approaches that in the previous testing that was done
or within

5 her experience.

6 THE COURT: Well --

7 MR. NIGH: And I think the agreement on that
point was

8 clear that --

9 THE COURT: I've already ruled with respect to
the

10 inadmissibility, either directly or in support of
opinions, of

11 the tests where there was not compliance with the
agreement.

12 Now, am I right, Ms. Wilkinson, that she is --
she,

13 Linda Jones, is not using that as a basis for her
opinion?

14 MS. WILKINSON: You're right, your Honor. Ms.
Jones

15 did participate in the earlier Socorro tests which you
have not

16 suppressed; and so if she were asked about her ability
to make

17 a determination, she may refer to those earlier tests;
but she

18 is not going to refer to the 1996 tests and in fact has
not

19 become familiar with the actual results of the tests
based on

20 the Court's order, and neither has Agent Burmeister.

21 observe; and

22 would not

23 They

24 those

25 they

So the only thing they did was actually

as scientists, I think they would tell you that they

make conclusions based upon purely those observations.

would want to study the data that was obtained during

tests; and they have not done that. So they won't --

74

1 won't rely on it at all for their testimony.

2 THE COURT: Well --

3 record is

4 going to

5 reasons

6 appear at a

7 pretrial hearing.

8 --

9 not

10 photographer

MR. NIGH: Your Honor, our position for the

that they should be required to explain how they're

separate that out. That's the reason -- one of the

that we think that Ms. Jones should be required to

THE COURT: And with respect to -- let's see

William Stokes, what are -- are you still saying he's

qualified to deal with video because he's a still

11 or something?

12 MR. NIGH: Yes, your Honor. Ms. McLaughlin is
13 prepared to explain to the Court why the qualifications
for one 14 do not mean the qualifications for another.

15 But if I could, your Honor, Mr. Tigar asked
for the 16 opportunity to respond to the Government's argument in
17 reference to Mr. Burmeister and Ms. Jones prior to the
time the 18 Court does that.

19 THE COURT: All right. Mr. Tigar?

20 MR. TIGAR: I wanted to answer your Honor's
general 21 question about what kind of a pretrial hearing.

22 THE COURT: Yes.

23 MR. TIGAR: Federal Rule of Evidence 104(c)
confers 24 discretion on your Honor as to what hearings will be
held out 25 of the sight and hearing of the jury.

75

1 In United States vs. Davis, the Court held
that there 2 had been the functional equivalent of a preliminary
hearing and

matter 3 noted that defense counsel did not object to having the
4 heard before the jury.

held 5 That's a strong suggestion that it ought to be
6 out of the sight and hearing --

judges 7 THE COURT: Well, of course, court of appeals
8 like to have that done so it keeps things cleaner for
them. I 9 mean, we get that kind of suggestion every now and then
like in 10 James hearings, saying, Well, do it all ahead of time
so it's 11 all nice and clean for us.

12 MR. TIGAR: Yes, your Honor.

13 THE COURT: They don't face the same problems
we do.

your 14 MR. TIGAR: I understand that, your Honor; and
Court 15 Honor's relations with the Tenth Circuit and how the
these 16 feels about it is your Honor's. I would suggest that
judgment 17 Daubert issues come up -- Daubert itself is a summary
is, 18 case, the Duffee case is a summary judgment case; that
the 19 courts use this threshold determination. And I think
And the 20 thrust of Daubert is that it's a gatekeeper function.

Isaiah 21 gatekeeper is not like the watchman that the Prophet
22 referred to. The gatekeeper is -- it's a function a
little 23 more stringent than that, and that's what we suggest.

24 But to make our point clear, I offer to prove
to the 25 Court that Mr. Burmeister's conclusions, insofar as
they -- as

76

1 we have challenged them represent -- and I'll use the
words -- 2 application of a reasoning or methodology that cannot
properly 3 be applied to the facts in issue, based in part upon
the 4 dissimilarity of the peaks, scale having nothing to do
with it; 5 based in part upon ICI's invention of a technique for
this 6 particular purpose; based in part upon the unexplained
presence 7 of something with which Mr. Nichols has never been
known to be 8 associated; based in part upon what I challenge from
9 Ms. Wilkinson's statement -- and I won't go through 40
minutes 10 of it, your Honor, because it's not testimony, even
though it

11 might sound like it. I think what she said isn't true
and that

12 Mr. Burmeister won't say it.

13 One of the things he won't say is true is that
14 something found in Mr. Nichols' house is uniquely
associated
15 with an ICI product.

16 I offer to prove, your Honor, as a matter of
challenge
17 in a pretrial hearing or a hearing out of the presence
of the
18 jury under Rule 104 every single thing in our papers
with
19 respect to a challenge of these particular experts.
The timing
20 of -- of my chance to do that is for your Honor.

21 And yes, in answer to your question to Mr.
Nigh, if I
22 show up with an expert and say that as a part of my
essentially
23 voir dire, I want to put this expert on, your Honor
could well
24 require me to make a proffer of what the expert would
say
25 because your Honor might say, Hey, even if you prove
that, it

77

1 wouldn't make any difference, I'm going to let it in.

2 But within that and recognizing fully that the
timing
3 is fully within your Honor's control, subject to what
the Tenth
4 Circuit says, I wish it to be understood that what we
have said
5 is our offer to prove something and not my testimony
from this
6 place in the courtroom, which is, in our view, an
inappropriate
7 place for people to be testifying from.

8 THE COURT: And what is your -- I don't
remember,
9 frankly, from the papers filed where you are on the
10 qualifications of the witness Linda Jones -- her
qualifications
11 to opine in the areas in which she's expected to do so.

12 MR. TIGAR: Linda Jones' qualifications
represented
13 here today involve allegedly that she worked with large
14 devices. Our information is that the large devices in
issue
15 were not examined in the laboratory where she worked
and thus
16 she did not possess that degree of qualification to
give an
17 opinion on those issues.

18 While she is a chemist, she is going to
express an
19 opinion about essentially the effect of an explosive of
a
20 certain size having a certain velocity of detonation.

21 Now, that is so far outside the expertise of a
22 chemist, your Honor, and not contained within the four
corners
23 of what we have seen that as to that, we also suggest
there
24 would have to be some inquiry out of the sight and
hearing of
25 the jury before she was able to toss that into the jury
box.

78

1 Again, it's not --
2 THE COURT: Well, as I understand it, her
background
3 of experience is what's going to be relied on for her
ability
4 to give opinions about size and effect.

5 MR. TIGAR: I understand that, too, your
Honor. The
6 trouble is that my understanding and Ms. Wilkinson's
7 understanding and therefore with all respect your
Honor's
8 understanding falls short of what has to be proved by
the
9 proponent of evidence.

10 We say that what they've shown does not
establish her
11 qualification to express so cosmic a conclusion,
particularly

the 12 given this odd similarity between these two reports,
13 Williams reports and hers.

Stokes, 14 THE COURT: Do you have a position on William
15 the photography expert?

expressed any 16 MR. TIGAR: No, your Honor, we haven't
17 opinion on that. That doesn't -- that doesn't concern
us, as 18 the Court may well recognize. We haven't challenged
it.

home 19 That's a videotape taken at a time when Mr. Nichols was
20 with his family.

21 THE COURT: Okay. All right.

22 Mr. Nigh?

23 MR. NIGH: Thank you, your Honor.

experts 24 Primarily our challenge as to the remaining
Government 25 relate to a lack of information provided by the

79

based 1 concerning what the testimony of those experts will be
would 2 upon. There are a few other specific challenges that I

set 3 suggest that we address alphabetically as they've been
4 forth in the Government's response brief.

5 THE COURT: All right.

some 6 MR. NIGH: But in order to give the matter
7 context, your Honor, I would like to begin with
referring back

8 to the Court's Scheduling Order No. 2, which was filed
on 9 July 19 of 1996 at Docket Entry 1753.

10 In that order, the Court directed that by
August 2 of

11 1996, the Government would file a list of expert
witnesses that
12 would provide defendants' counsel with copies of the
reports of
13 such witnesses as they became available.

14 Your Honor, many of them have still not become
15 available, and we're less than two months before the
trial
16 date.

17 Back in August still, August 30 of 1996, Mr.
McVeigh

18 filed his objection to the Government's proffered
expert

19 witness testimony and cited in that objection
specifically the

20 provisions of Rule 16 and cited the examples of the
lack of

21 information that we've been provided by the Government
in

witness 22 reference -- in reference to its proffered expert

23 testimony.

Government 24 We ask that the Court direct that the

experts so 25 provide us with meaningful reports from all of its

80

on, 1 that we could determine what they base their opinions

2 essentially is what we were looking for.

information that 3 And, your Honor, unfortunately, the

have 4 we have received since then has been negligible. We

through it. 5 received a bulk of information and we have weeded

can't 6 In many instances, the ones we've identified, we still

7 tell why it is that the expert reaches the conclusion.

one at a 8 THE COURT: So do you want to go through them

9 time and --

you 10 MR. NIGH: Yes. If you do it alphabetically,

and 11 start with, after Burmeister -- you get to Mr. Cadigan;

12 essentially, your Honor, we've adopted Mr. Nichols'

motion in

13 reference to Mr. Cadigan, who wrote the first- and
second-prong

14 Daubert challenges; and I don't intend to address that
more in

15 oral argument.

16 If we go truly alphabetically, then -- and Mr.
Tigar

17 wants to address it. Perhaps that's where we should
begin.

18 THE COURT: I can't remember what his area is.

19 MR. NIGH: He's tool marks, your Honor, in
reference

20 to the drill bit and the drill block and the --

21 THE COURT: Oh, yes.

22 All right, Mr. Tigar.

23 MR. TIGAR: You will recall, your Honor, that
at the

24 last hearing Ms. Wilkinson represented that Mr. Cadigan
had

25 found some unique characteristic, her word, with
respect to

81

1 that drill bit.

2 The uniqueness does not appear in Mr.
Cadigan's

3 report; and thus in order to determine whether his
science is

and
opposed
were

4 reliably applied here, I think that out of the sight
5 hearing of the jury there would have to be evidence, as
6 to counsel's representation, because, after all, we
7 talking about a spinning tool.

mark
provided
the tool
basis
to the

8 I won't repeat what's in our brief about the
9 methodology. Assuming there is a methodology of tool
10 identification, the manuals with which we have been
11 upon which Mr. Cadigan relies are essentially look at
12 and see what you think; so we don't have an evidentiary
13 upon which to establish whether his expertise should go
14 jury.

section
that
National
relied upon
pieces of
is out

15 Moreover, Mr. Cadigan's report includes a
16 called metallurgy. And since that report was done,
17 metallurgy has been contradicted by the Oak Ridge
18 Laboratory. Thus, to the extent that Mr. Cadigan
19 the synergy between his tool mark identification and
20 brass found in the chuck of the drill, that second prop
21 from under, because the brass found in the drill

doesn't match

22 the lock. It was a brass lock, but Mr. Nichols' drill,
or one

23 found in his house, had different brass in it, didn't
come from

24 that lock.

25 So for all of those reasons, it may very well
be that

82

1 Mr. Cadigan simply washes out as a witness because he
doesn't

2 have anything useful to tell us.

3 I'm the first to concede that if he comes up
and says,

4 Yes, I used a reliable procedure, this is what it was,
this is

5 the particular unique mark, here you can see it, and
metallurgy

6 or no metallurgy, that's my story and I'm sticking to
it, then

7 the jury gets to hear it.

8 But before they get to hear it, it seems to us
that

9 those are the sorts of questions that ought properly to
be

10 asked.

11 THE COURT: All right.

12 Well, let's talk about that. My memory is

that I gave

13 the question before of whether there was something
distinctive

14 about the tool, as there would be in a crowbar or a
chisel or a

15 pry bar with a little tooth out or something.

16 MR. TIGAR: Yes, your Honor, I believe that
was the --

17 THE COURT: I thought that the Government was
saying,

18 Yes, that's what we are relying on.

19 So tell us about it, Mr. Goelman.

20 MR. TIGAR: This is Mr. Orenstein.

21 MR. ORENSTEIN: I'm proud to be Mr. Goelman,
your

22 Honor.

23 THE COURT: I'm sorry. Apologize.

24 MR. ORENSTEIN: None needed, your Honor.

25 Yes, your Honor. Yes, it is a unique
characteristic.

83

1 I think it's somewhat more involved than simply the
shape of a

2 chisel in a door. I think it's more persuasive than
that, and

3 I --

4 THE COURT: Well, what is it? Tell us what

his

5 testimony is.

6 MR. ORENSTEIN: Well, it is unique
characteristics.

7 I'm saying it's somewhat more involved than just the
chisel has

8 a V shape and there is a V-shape notch in the door.
It's like

9 that, only more complex.

10 I think that example or an analogy that Agent
Cadigan

11 might use, or as he could explain it, would be if you
have a

12 worn windshield washer -- windshield wiper on your car,
as it

13 goes across your windshield it's going to leave a trail
of

14 lines of rain that it hasn't wiped away, thinner or
thicker

15 lines. And if you could take a picture of the trail
left by

16 that wiper on the windshield and then take the
suspected

17 windshield wiper and take another picture using that
wiper, you

18 could match up the lines, the pattern of lines left by
the

19 questioned wiper and the known wiper and you'd see that
the

20 lines would just continue from one right into the other
if you

21 lined the pictures up.

think 22 That's along the lines of what he does, and I
23 that's why it's not in the report that --
that he 24 THE COURT: Well, has he got some pictures
25 used?

84

to say. 1 MR. ORENSTEIN: Yes. That's what I was about
he's taken 2 It's not in the report. It's in the picture. What
from the 3 is a side-by-side picture of the mark left on the lock
by the 4 Martin Marietta burglary and a picture of a mark left
bit, used 5 drill bit found in Mr. Nichols' home, took that drill
can see 6 it to make a mark, lines the two pictures up, and you
drill 7 that the lines left by the minute imperfections in the
basis on 8 bit line up, you know, very closely; and that's the
9 which he makes his identification.
notch, 10 So it -- you're not fitting a chisel into a
showing -- 11 you're using the chisel to make another notch and
12 THE COURT: So the drilling -- I take it for

the

13 comparable, there is a test drilling of this bit.

14 MR. ORENSTEIN: Exactly. You take the
questioned bit.

15 THE COURT: In the same type of metal?

16 MR. ORENSTEIN: I don't know if it's
necessarily used

17 in the same type of metal. It may well be. I just
don't know

18 the answer to that, but it leaves an impression and you
compare

19 those two impressions.

20 THE COURT: Now, is he also going to testify
about

21 material found in the chuck of the drill?

22 MR. ORENSTEIN: No.

23 THE COURT: So it's only the bit.

24 MR. ORENSTEIN: Yes. And the -- to complete
the

25 record, the two are not tied together as far as I know.
But

85

1 also, I think Mr. Tigar is misinformed about whether it
was

2 different metal or just a failure to confirm the same
metal;

3 but again it's not tied to --

4 THE COURT: So his testimony -- let me -- this
is my
5 understanding of what you said. His testimony is going
to
6 include showing us enlargements of some microscopic
examination
7 of the markings left by the -- a particular bit which
would be
8 identified as having come from a particular source.

9 MR. ORENSTEIN: Yes.

10 THE COURT: And show the marks on this lock
and the
11 marks on the test drill metal.

12 MR. ORENSTEIN: Correct.

13 THE COURT: And that's it?

14 MR. ORENSTEIN: That's it.

15 THE COURT: Okay.

16 Now, back to you, Mr. Tigar.

17 MR. TIGAR: A windshield wiper doesn't spin.
And so

18 we're still -- we can use all the analogies we want.
We're

19 still not at a place where we have a showing that this
man

20 Cadigan has a reliable method to identify a mark made
by a

21 spinning tool as it goes in and out of a particular
lock. And

22 without that foundation, the testimony shouldn't be
admitted.

23 Now, the second point is suggested by a case

that

24 Mr. Orenstein cites in his brief, Jones vs. Otis
Elevator. In

25 Jones vs. Otis Elevator, there was a test of the
elevator by

86

1 the plaintiff's expert; and the court there did affirm,
but

2 they insisted that it be shown that the elevator
control

3 mechanism that the expert inspected was the same or
would

4 expected -- to be expected to be the same at the time
he

5 performed his test as at the time of the accident being
sued

6 on.

7 Here, the alleged burglary takes place in the
fall of

8 1994. This drill bit is concededly in use for other
things

9 because nonidentifiable brass chips and rocks and stuff
are

10 found in the chuck. It isn't tested until April or May
of

11 1995.

12 So there is -- there is that gap that has to
do with

13 the reliability and admissibility of the evidence.

14 showing would

So for those reasons, that foundational

15 have to be made.

16 And, your Honor, I've --

17 offered at

THE COURT: Is this testimony going to be

18 Mr. McVeigh's trial?

19 MR. ORENSTEIN: Yes, sir.

20 THE COURT: All right.

21 pictures.

MR. TIGAR: Your Honor, I've looked at the

22 I'm sorry. I don't see it. If I could see it in the pictures,

23 I'd sit down and I wouldn't waste your Honor's time.

24 on this

THE COURT: And where is Mr. McVeigh's counsel

25 issue?

87

1 MR. NIGH: We join in Mr. Nichols' motion.

2 a

THE COURT: Okay. Well, I'm not going to hold

3 hearing on it. We'll see what the evidence is.

4 Now, Mr. Nigh.

5 MR. NIGH: Thank you, your Honor.

6 Edwards in

Next, alphabetically would be Charles C.

7 reference to keys and locks. There were two items of
8 information that we indicated we didn't have in
reference to
9 Mr. Edwards. The Government proffers Mr. Edwards to
testify
10 that a key fit in and functioned in a lock that he had
11 manufactured.

12 THE COURT: An ignition key.

13 MR. NIGH: Exactly. Exactly. The Government
tells
14 us -- we challenge that on two grounds. We couldn't
figure out
15 how he found or determined the vehicle identification
number
16 with which to manufacture the ignition.

17 THE COURT: I think that's been answered here.

18 MR. NIGH: It has been.

19 THE COURT: This was a partial number, and
these other
20 numbers are not really definitive, they have other
purposes.

21 MR. NIGH: That's right.

22 THE COURT: That's the answer in the papers.

23 MR. ORENSTEIN: That's correct, Judge.

24 MR. NIGH: We'll accept that answer, your
Honor.

25 THE COURT: All right.

1 MR. NIGH: The next question is how the lock
itself
2 was built once the determination was made of the
vehicle
3 identification number. We don't challenge that you can
tell if
4 a key fits a lock. It would be silly for us to do so;
and this
5 might be a relatively simple matter in terms of how the
lock
6 was constructed; but fact of the matter is that the
Government
7 hasn't told us why, and we simply have asked for that
8 information since August. And we don't know why it
wouldn't be
9 forthcoming.

10 THE COURT: All right. Will you address that,
11 Mr. Orenstein?

12 MR. ORENSTEIN: Yes, Judge. Let me just take
a moment
13 to find the particular page here.

14 I think as we've informed the defense, Mr.
Edwards
15 obtained the specifications for a particular lock and
he built
16 it.

17 THE COURT: Specifications being from the
manufacturer
18 of the --

19 MR. ORENSTEIN: Yes. This is for the Ryder
truck that
20 was rented by Mr. Kling. Built that lock based on
those
21 specifications, takes a key found at the crime scene,
sticks it
22 in the lock and turns it and it works, so --

23 THE COURT: And have the specifications been
provided?

24 MR. ORENSTEIN: The specifications have been
provided.

25 So I'm not sure --

89

1 THE COURT: It doesn't sound like it's a very
fancy
2 science.

3 MR. ORENSTEIN: It ain't rocket science.

4 And that's why we're sort of stymied by what
the
5 problem is. We've given them the information that
there is;

6 and the way I understand -- I understood the papers
from the

7 defense, the second-prong objection was that Mr.
McVeigh has

8 not been provided sufficient information to challenge
testimony

9 that Edwards built a lock that a certain key -- and

that a

I 10 certain key fits that lock. I'm quoting from my brief.
11 intended to find the exact words from Mr. McVeigh's
brief,
12 but --

13 THE COURT: Yeah, well, I think the bigger
problem was
14 the identification number and what a VIN number means
and how
15 specific it is; and you've responded to that and
answered it,
16 so I don't see any problem here. We won't hold a
hearing on
17 Edwards. We'll rely, of course, on him being able to
testify
18 as to how he did it.

19 MR. ORENSTEIN: Of course.

20 MR. NIGH: The next one alphabetically, your
Honor,
21 would be Robert Fram; but I understand that the
Government is
22 not going to offer hair and fiber evidence at Mr.
McVeigh's
23 trial.

24 MR. ORENSTEIN: That's correct.

25 THE COURT: That's what you said.

1 MR. NIGH: At all, is my understanding.
2 MR. ORENSTEIN: That's what we said.
3 THE COURT: At all. Okay.
4 MR. NIGH: The record being clear on that
point, Linda
5 Jones would be next -- I'm sorry.
6 MR. TIGAR: I'm sorry to appear again like the
ghost
7 at the banquet, your Honor.
8 If they do decide to offer it at Mr. Nichols'
trial,
9 we maintain our objection.
10 THE COURT: Oh, yes.
11 MR. TIGAR: I'm sorry. I wanted to make the
record.
12 THE COURT: I understand.
13 MR. TIGAR: I apologize.
14 MR. NIGH: Next, your Honor, alphabetically
would be
15 Linda Jones; and I feel like we've already done that,
so the
16 next would be John Osteraas, and Mr. Tritico is
prepared to
17 address our challenges to Mr. Osteraas's testimony.
18 THE COURT: All right.
19 MR. TRITICO: Good afternoon.
20 THE COURT: Mr. Tritico.
21 MR. TRITICO: Your Honor, our challenges to

22 Mr. Osteraas's testimony deal primarily with the
failure of the
23 Government to provide sufficient discovery in this
matter. As
24 Mr. --
25 THE COURT: Now, he's a structural engineer.

91

1 MR. TRITICO: Yes, your Honor. As Mr. Nigh
addressed,
2 Scheduling Order No. 2 was filed by the Court Docket
Entry
3 No. 1753 on July 19, 196 -- 1996. I'd like to discuss
and back
4 up a few weeks, or a few days, actually, preceding July
19 and
5 discuss specifically what happened with respect to
6 Mr. Osteraas. In a chambers conference, pretrial
conference
7 that resulted in Scheduling Order No. 2, Mr. Osteraas
and
8 Failure Analysis was specifically discussed by the
Government
9 and the defense. I propose to read in a relevant
paragraph
10 from that transcript, your Honor; however it's sealed,
and I
11 wanted to request permission to do that, or I have
copies to
12 provide the Court.

13 review the

MS. WILKINSON: Your Honor, could I just

14 an

paragraph before he reads it in? I'm sure I don't have

15 objection.

16 THE COURT: All right.

17 MS. WILKINSON: I have no objection.

18 because

THE COURT: All right. Go ahead and read it

19 it will be the easiest way to approach it.

20 beginning on

MR. TRITICO: Yes, sir. This is a comment by

21 Ms. Wilkinson on page 11, line 9 -- I'm sorry,

22 line 19.

23 called

"For example, we have hired an organization

24 after

Failure Analysis Associates, who were actually present

25 integrity of

the bombing at the site to ensure the structural

92

1 come to

2 the building for the safety of the rescuers. They had

2 collapsed

3 the conclusion before we hired them that the building

the

3 due to a bomb and due to a bomb that was placed outside

4 building. We are obviously going to want -- we have
hired them
5 to explain that to the jury and show as an outside
expert that
6 that's their conclusion, so that they already came to
the
7 conclusion before the Government hired them. But it's
a matter
8 of them writing up their formal report, which we
believe
9 Mr. Jones and Mr. Tigar will be entitled to. And they
have not
10 completed that report for us."

11 As a result of this conference where other
matters
12 were discussed, of course, Scheduling Order No. 2 was
entered
13 by the Court requiring the Government to file a list of
14 witnesses and -- pardon me -- a list of witnesses and
copies of
15 reports of such witnesses -- expert witnesses and
copies of
16 their reports as they became available.

17 I think a liberal reading of the Court's
ruling could
18 be that if the Government never asked or requires their
expert
19 to produce a report, then it never becomes available.
I do not
20 think that was the subject of the Court's order or the
intent
21 of the Court's order. I think the intent of the
Court's order

22 was that the experts in due course would prepare their
reports

23 and they would be transferred -- transported
immediately to the

24 defense.

25 We filed our objection pursuant to the Court's
order

93

1 on August 30, 1996, wherein we specifically pointed out
that we

2 had not received reports from Mr. Osteraas or Failure
Analysis

3 Associates. In January of this year, we again filed
our

4 second-prong Daubert objections coupled with a motion
to

5 suppress certain expert testimony, Mr. Osteraas being
one of

6 them, for the failure of the Government to provide us
with a

7 report.

8 In the Government's response to our motion to
suppress

9 and our second-prong Daubert objections, the Government
opines

10 that Mr. Osteraas is not going to prepare a report
unless this

11 court orders him to do so.

12 This court has ordered him to do that. The
Government
13 has wholly failed to comply with its obligations
pursuant to
14 Scheduling Order No. 2.

15 It is true, as the Government alleges in their
16 response, that they have provided the defense with some
--
17 pardon me -- 610 pages of documentation. I have
reviewed this
18 documentation, your Honor, and I'd like to address it
--
19 address that documentation.

20 The first 13 pages are titled on-site notes.
They
21 appear to be a diary that goes through and details
Failure
22 Analysis Associates' attempts to hold up the building
while the
23 rescue attempt was going on and then go through the
implosion
24 process. Nowhere in the on-site notes am I able to
discern
25 what Failure Analysis Associates did or what John
Osteraas did

94

1 individually to come to the conclusions that the
Government is
2 proposing that he testify to, specifically the bomb and

the

3 other aspects.

4 14 through 72 -- pages 14 through 72 of the
notes that

5 they gave us are calculations that don't appear to tie
to any

6 of the handwritten notes.

7 Pages 73 through 173 are reference documents,
largely

8 seismographic information obtained from the Federal
Government;

9 and pages 174 through 610 are photographs.

10 Now, those photographs do tell me that Mr.
Osteraas

11 owns a camera. They do not tell me what methodologies
he used

12 to come to the conclusions that the Government proposes
that he

13 testify about.

14 Your Honor, at some point, there is a line
drawn

15 between discovery abuse and a violation of Mr.
McVeigh's right

16 and ability to confront and cross-examine the evidence
against

17 him and a violation of his Sixth Amendment right to
effective

18 assistance of counsel. If this were a civil case, I
have no

19 doubt that we would be standing here today getting Mr.
Osteraas

20 stricken as an expert witness in a civil case.

21
Government's

22
conference of

23
written

THE COURT: Well, there is -- in the
response as to him, there is a reference to the
January 29 of this year and an offer to provide a
report from him.

25
glad you

MR. TRITICO: Yes, your Honor, there is. I'm

95

That

paragraph

1 brought that up. I'd like to address that, if I may.
2 again was a sealed conference. I'd like to read that
3 into the record. I'll show it to Ms. Wilkinson.

4 THE COURT: Is it right?

5 MR. TRITICO: No, it isn't right.

6 THE COURT: I don't remember the specific --

What was

that she

conclusions

7 MR. TRITICO: It is not right, your Honor.
8 discussed at that conference, Ms. Wilkinson did say
9 would have some experts type up the same summary
10 that the Government has already provided to us and that
11 Mr. Jones had refused that. I have no doubt that he
refused

12 that. There is no need for their experts to type up
the same
13 summaries that they've already provided us. What we're
asking
14 for is a report written and drafted by the expert to
tell us
15 what they did. We have no idea what this person has
done to
16 reach the conclusions that he proposes to testify
about.

17 THE COURT: Okay. Let me hear from the other
side and
18 see what this problem is.

19 Also, I was a little surprised in reading the
response
20 in the summary of subject matter -- and I know this is
a sealed
21 response because it deals with discovery; but the
location of
22 the device in the response -- is that what he intends
to say?

23 Bottom of page 45.

24 MS. WILKINSON: I only have page 46 with me,
your

25 Honor.

96

1 THE COURT: Well, get 45.

2 MS. WILKINSON: Indulge me.

3 Yes, your Honor. I believe this is no secret
for
4 folks about where the crater was located. It was in
the rear
5 of the building. I know it looks like from the
photographs
6 from the media that that is the front of the building
where the
7 explosion occurred.

8 THE COURT: I thought that's what Ms. Jones
says, too.

9 MS. WILKINSON: What, that it's in the rear of
the
10 building?

11 THE COURT: That's right.

12 MS. WILKINSON: No, she does not. There are
different
13 references, and I think a lot of people have called it
the
14 front of the building because that's where the
explosion
15 occurred; but my understanding in speaking to the folks
from
16 Oklahoma and to Dr. Osteraas, who has reviewed the
building
17 plans, that is actually the rear of the building that's
on 5th
18 Street, not the front of the building.

19 THE COURT: Okay.

20 MS. WILKINSON: But it's where the crater was.

21 THE COURT: All right.

22 MS. WILKINSON: As Mr. Orenstein would say,
it's not
23 rocket science. There was a big hole there, and that's
where
24 the device was.

25 THE COURT: Why don't we have a report from
him?

97

1 MS. WILKINSON: We never asked him to prepare
a
2 report, your Honor. As I said in that hearing, he had
already
3 come to his conclusions. We thought we would ask him
for a
4 report, and we were really thinking -- at that point
thinking
5 of Dr. Eve Hinman who works with him and has some
specific
6 expertise on explosives and the impact of explosives on
7 buildings.

8 After further consultation with them, we
decided that
9 wasn't necessary; that this was an issue that we
thought was
10 only controversial because the defense has still
claimed in
11 public proceedings that they may allege that there was
-- there
12 were two bombs and perhaps a bomb inside the building.

13
necessary, to

14
that one

15
the

16
list in

13 We don't think this testimony is really
14 be candid with the Court. There is really no question
15 device caused this explosion and caused the failure of
16 building; but we have maintained Dr. Osteraas on our
17 case the defense continues to pursue this claim.

18 THE COURT: Is he a rebuttal witness?

19
be

20
all of

21
this

22
the

19 MS. WILKINSON: No. We believe that it would
20 appropriate during our case in chief if this is -- if
21 our other witnesses are going to be challenged based on
22 premise that there was another explosive device inside
23 building.

24
who is a

98

1
about his

2
were

1 retired Air Force general who has made some comments
2 opinions about a second device or numerous devices that
3 inside the building; so we anticipate that this will be

an

4 issue at trial.

5 THE COURT: Well, let's do this: If he's
going to

6 testify the way the summary reads on the bottom of page
45,

7 have him do a report.

8 MS. WILKINSON: Well, your Honor, could I
explain one

9 thing? When I made those comments in chambers about
asking

10 Mr. Jones if he would like a report, it wasn't that day
and it

11 wasn't based on this summary. It was we could have him
write a

12 report, but his report would be the conclusions that
we've

13 summarized.

14 THE COURT: Have him write a report. Let's
get past

15 it.

16 MS. WILKINSON: Yes, your Honor.

17 THE COURT: All right. And get it done
quickly,

18 please.

19 MS. WILKINSON: Can we give him two weeks,
your Honor?

20 THE COURT: Well, he ought to be able to write
it in

21 one week, I would think.

22 MS. WILKINSON: That's fine.

weeks 23 THE COURT: All right. We don't have a lot of

24 here.

25 MR. NIGH: Thank you, your Honor.

99

reports 1 If I might suggest, the Government provided

report 2 from Tony Tikuisis, which was a very clear two-page

and how he 3 about what testing he did, what instruments he used,

upon. 4 came about his conclusions and what they were based

ought to 5 And that's the kind of report the Government

6 give us; and when they do --

on 7 THE COURT: Well, different opinions are based

8 different things, but --

9 MR. NIGH: Sure.

report. 10 THE COURT: -- but we'll have him write a

11 MR. NIGH: Thank you, your Honor.

over old 12 THE COURT: That's it. Let's don't go back

13 ground.

14 MR. NIGH: I didn't mean to, your Honor. I

was

15 suggesting that as a guide.

16 THE COURT: Next witness.

17 MR. NIGH: Edward Paddock is the next one; and
this

18 one, your Honor, does not involve rocket science,
either. It's

19 simply a matter of identification of truck parts.

20 And right now, your Honor, under the state of
21 discovery that exists, we don't know what truck parts
22 Mr. Paddock is going to identify. I'm assuming if you
put two

23 truck parts together and look at one and look at the
other, you

24 might be able to make a determination that this is a
part from

25 a Ryder truck. But we don't know what parts we're
talking

100

1 about and we don't know what comparisons he made.
That's the

2 reason for the motion.

3 MS. WILKINSON: Your Honor, Mr. Nigh has
received

4 302's which indicate the items of evidence, the item
number,

5 and the description which show the parts that Mr.
Paddock has

6 identified as being consistent with Ford F-700 series
truck.

7 There is one, two, three, four, five -- five
reports

8 that set out the evidence numbers and the descriptions
that

9 have been provided to the defense over a year ago which
set

10 forth the items that he's identified. It is highly
unlikely,

11 of course, that we would introduce each of these items,
but

12 they have all that information.

13 THE COURT: That plus the VIN number.

14 MS. WILKINSON: Plus the VIN number. That's
correct.

15 THE COURT: All right. Is that it?

16 MR. NIGH: That's it, your Honor.

17 THE COURT: Solved. Next.

18 MR. NIGH: Your Honor, the next one is Mr.
Rydlund,

19 and Mr. Tritico will address that issue.

20 THE COURT: All right, Mr. Tritico.

21 MR. TRITICO: Your Honor, my arguments with
respect to

22 Mr. Rydlund are largely the same as they were with
respect to

23 Mr. Osteraas, except he wasn't specifically addressed
in the

24 chambers conference. Mr. Rydlund has worked in the
mining

25 industry for a number of years, and I concede that.

101

1 THE COURT: Okay.

2 MR. TRITICO: However, the Government proposes
that he
3 testify about some matters that appear from his rÇsumÇ
in the
4 two pages of what has been loosely determined as
"notes" appear
5 to be outside his area of expertise. We're asking the
Court to
6 order that he produce a report to inform us what he --
testing
7 he did to determine such things as the possibility of
residues
8 remaining after an explosion, blast pressures, and
velocities
9 of detonations of ammonium nitrate and fuel oil, the
uses of
10 ammonium nitrate in a homemade explosive. There is
nothing in
11 his rÇsumÇ to indicate that.

12 We have been provided by the Government with
the two
13 pages that they claim to be his notes. On those two
pages are
14 what is -- what I can only determine to be the headings
for the

other 15 bases of his conclusions that he's offered with no
cryptic 16 information. By looking at it, I can't tell by the
he's done 17 information that's provided on these two notes that
18 anything to make these conclusions that he's offering.

we don't 19 I'm only requesting that we get a report. If
20 get a report, I request that the Court suppress this
testimony.

the 21 THE COURT: Okay. Now, my understanding from
22 response is he's being offered as a person who has
experience 23 with explosive devices of the same components.

has 24 MR. TRITICO: From looking at his rÇsumÇ, he
25 worked in the mining industry and has sold ammonium
nitrate

102

tells me 1 explosives to the mining industry. My information
2 that those explosives are sold already mixed and
already ready 3 to explode. That doesn't mean he has any information
or any 4 knowledge about mixing ammonium nitrate or using it for
the use

5 of a homemade explosive.

6 THE COURT: Okay. All right.

7 Ms. Wilkinson, are you going to address Mr.
Rydlund?

8 MS. WILKINSON: Yes.

9 MR. TRITICO: Your Honor, one more thing: I
will

10 accept their offer for the pretrial hearing with
respect to

11 Mr. Rydlund.

12 THE COURT: I haven't accepted it. We'll see
if it's

13 necessary.

14 MS. WILKINSON: I understand that counsel is
new to

15 this case, and perhaps he did not review the bases of
opinion

16 from Mr. Rydlund that we provided; but in that we cite
his

17 experience, his --

18 THE COURT: This is something you gave in
discovery?

19 MS. WILKINSON: Yes, back in August; that he
conducted

20 research for the presentation of several papers
relating to

21 ANFO, the explosive energy release, the selection of
blasting

22 agents; that he studied various written materials; that
he's

23 been to manufacturing facilities, he's witnessed
numerous tests

24 of various types of explosives including ANFO.

25 THE COURT: Now, is all this in connection
with his 35

103

1 years in the mining industry?

2 MS. WILKINSON: Absolutely, your Honor.

3 THE COURT: As opposed to preparing for his
testimony
4 here?

5 MS. WILKINSON: Correct. He has done no
specific

6 testing or examination. He is offered as a general
expert

7 about ammonium nitrate fuel oil, its explosive
capabilities,

8 how you detonate it; and counsel is incorrect in
stating that

9 ANFO is all premixed. That's incorrect. Mr. Rydlund
says

10 often because of the cost of transporting fuel oil,
they mix it

11 on site. So he has specific expertise in testifying
about the

12 ease in mixing ammonium nitrate and fuel oil, but all
this

13 comes from his years and years in the industry and his

14 research. He is an engineer, he's not just a marketing
expert,

issues. 15 and he has the ability to testify to all of these

16 THE COURT: Based on experience?

17 MS. WILKINSON: Correct.

18 THE COURT: Well, I'll hear from you further,
19 Mr. Tritico, but it's the kind of thing that's not
unusual

20 testimony. I don't mean the explosives, but I mean
somebody

21 getting on and saying I've been a brakeman for years
and this

22 is how we set the brakes on a railroad car.

23 MR. TRITICO: I agree with that, your Honor,
except I

24 want to take issue with one point -- is his ability to
testify

25 about the possibility of residue left after the
explosion of an

104

1 ANFO bomb.

2 Now, the biggest thing I ever blew up was my
GI Joe

3 with a firecracker, but --

4 THE COURT: How old were you then?

5 MR. TRITICO: Just a year ago.

6 But the explosions that I have seen on
television

7 create an enormous amount of dust. That doesn't mean
that
8 there is residue left from the explosion. Without some
9 testing, I don't think he's qualified to testify to
that.

10 THE COURT: Well, I think we can deal with
that one at
11 trial --

12 MR. TRITICO: Thank you.

13 THE COURT: -- if he's asked; so he'll either
be able
14 to able to answer that question, or he won't. I don't
think we
15 need a pretrial hearing on that.

16 Okay. Next one.

17 MR. NIGH: Your Honor, next would be James
Schmidt,
18 who is a chemist from DuPont. And our only challenge
to
19 Mr. Schmidt's proffered testimony is that it's
dependent upon
20 work performed by the FBI laboratory; and the same
objections
21 that we've previously made in the oral arguments and in
the
22 briefs we make to his reliance upon that testing. And
that's
23 the only objection to Mr. Schmidt, your Honor.

24 He has provided us -- the Government has
provided us

25 with a report which is clear; and we have no further

objection

105

cannot 1 other than his opinion being based upon what we say

2 reasonably be relied upon by experts in the field.

that we 3 THE COURT: All right. Well, I don't know

with 4 need to talk about that, then. If there is no problem

testimony is 5 admissibility of the former, then the derivative

6 admissible.

him. 7 MR. NIGH: The next one, your Honor, is Brian
8 Stafford, and I understand the Government won't offer

that's 9 So that will bring us to William Stokes, and

to 10 the photography issue that Ms. McLaughlin is prepared

11 address.

12 THE COURT: Good afternoon.

Lab 13 MS. MCLAUGHLIN: Good afternoon. According to

Special 14 Report No. 447, Special Agent William Stokes, FBI

Williams 15 Photographic Unit, and Supervisory Special Agent David

16 performed two tests on the Regency Towers videotape

which came

17 from a surveillance camera from the Regency Tower
Apartments in

18 Oklahoma City. This videotape captured images of a
moving

19 truck the morning of April 19, 1995.

20 The first test they performed was designed to
21 determine a number of facts, including the time a
moving truck

22 entered the frame of the camera's field of view, the
exact time

23 an external signal loss occurred, and whether or not
there were

24 any missing images between the time the vehicle
appeared in the

25 camera's view and when the signal loss occurred.

106

1 THE COURT: Did the camera have a timing
device in it?

2 MS. MCLAUGHLIN: Yes, your Honor, and the time
was

3 noted on the camera or on the film.

4 THE COURT: Yeah.

5 MS. MCLAUGHLIN: Yes.

6 THE COURT: All right. I don't know -- I read
the

7 papers here and I didn't understand the problem.

8 MS. MCLAUGHLIN: Right. If I may proceed,
your Honor.

9 THE COURT: Yes.

10 MS. MCLAUGHLIN: The lab report states that
these
11 tests were conducted by the FBI laboratory --

12 THE COURT: What I'm getting to, is he going
to give

13 an opinion that the time is different from the one that
is
14 shown on the film?

15 MS. MCLAUGHLIN: I don't believe so, your
Honor. What

16 our main objection to him testifying to that is he is a
17 photographer, and this test was apparently conducted by
the
18 video enhancement unit of the FBI lab.

19 THE COURT: Well, maybe I ought to find out
20 specifically what they're going to offer him for. I'm
having
21 trouble --

22 MR. ORENSTEIN: Sure.

23 THE COURT: -- understanding the issue. And
then I'll

24 come back to you, Ms. McLaughlin.

25 What's this witness supposed to be -- what do
you

1 intend for him to say?

2 MR. ORENSTEIN: Well, it's essentially two
things,

3 Judge. There is this videotape that was recovered from
the
4 Regency Tower.

5 THE COURT: I understand that.

6 MR. ORENSTEIN: And it is time-stamped. As I
7 understand it -- Mr. Mackey will correct me if I'm
wrong -- it

8 has four cameras that sort -- it rotates among and you
can

9 focus on one camera by looking at the frames devoted to
that
10 camera.

11 Agent Stokes will tell us about the time that
--

12 THE COURT: Each camera has film in it,
separate film?

13 MR. ORENSTEIN: I don't know that each camera
has

14 film, but four different cameras are being recorded on
one

15 tape; so the view changes periodically from one camera
to the

16 other. So if you look at the tape as it plays from
beginning

17 to end, you're going to see the four views changing.
So what

18 we were going to do is just --

19 THE COURT: So it's four lenses and one film.

20 MR. ORENSTEIN: Right, but we're going to
focus on the

21 view from one lens; and so --

22 THE COURT: So where does the opinion come in?

23 MR. ORENSTEIN: The opinion is that there is
no

24 missing frames; that the timing is as it's set forth on
the

25 tape; and the other part of his testimony is an opinion
about

108

1 the truck that appears in the tape. There is a process
called

2 photogrammetry. There are different types of
photogrammetry;

3 but it's basically a fairly commonsense thing; that if
you want

4 to know the size or other specifications of something
that

5 appears in a picture, you take another picture of
something

6 where you know the size in as close to the same
conditions or

7 in differing conditions where you know the difference
and you

8 just do comparative measurements. So he's going to
testify.

9 The other part of his opinion besides the time

of the

10 tape is that the truck that appears in the Regency
Tower video

11 is consistent with the specifications of an F-700 Ford
truck

12 used by Ryder and not with a different kind of Ryder
truck

13 played by GMC.

14 THE COURT: That's this reference to
enhancement

15 procedures accomplished in the laboratory?

16 MR. ORENSTEIN: I have to ask Mr. Mackey. I
don't

17 know if enhancement procedures so much . . .

18 THE COURT: Well, I think that's what was
said.

19 MR. MACKEY: Judge, it's nothing more fancy
than

20 slowing down the film so you can get a good look at
what's

21 captured on the film.

22 THE COURT: Is this witness going to talk
about what

23 lens rating is and a focal length and that kind of
thing?

24 MR. MACKEY: No, no. He would simply say I
got the

25 videotape from the Regency Tower and I was able to slow
it down

from 1 in such a way that I could take a still photograph and
what would 2 that photograph one could see, any of us could see,
the side. 3 appear to a large truck with the word "Ryder" across

4 THE COURT: So what's the expertise in this?

you 5 MR. MACKEY: It's not much. Not much; but if
this 6 stick that same video into that player without making
notice that 7 transition, you can't see it; so that's why we gave
8 one might consider that an expert.

9 THE COURT: Okay.

get the 10 Ms. McLaughlin, I hesitate to say it, but I
11 picture.

12 Go ahead.

to you 13 MS. MCLAUGHLIN: What they failed to disclose
was a 14 was that they also want the expert to testify that it
Ryder 15 particular brand of Ryder truck, particularly a Ford
rented 16 truck which matches the same measurements as the one
able to 17 from Elliott's Body Shop. And the reason they were
18 determine that it was a Ford Ryder truck was based upon

this

19 technique called reverse projection photogrammetry.

that 20 And we've got several different objections to

designed to 21 process, the main one being that that process was

It 22 utilize measurements and crime scene reconstructions.

between 23 wasn't utilized to determine the minute distinctions

objects. 24 models of vehicles or the minute distinctions between

25 It was designed to measure skid marks.

110

1 THE COURT: So what's the difference?

2 MS. MCLAUGHLIN: Well, your Honor --

3 THE COURT: I mean measurements are
measurements,

4 aren't they?

5 MS. MCLAUGHLIN: Right; but your Honor, these
are

6 shapes and different sizes. They're talking about
class

7 characteristics of the different vehicles. We haven't
been

8 provided with the information that they relied upon to
state

9 the differences between the two vehicles.

10 I don't know what they were relying upon.

11 THE COURT: Well, you're getting into an area
that I
12 didn't understand him to be opining about. I thought
he was
13 just doing measurements.

14 MR. ORENSTEIN: It's essentially measurements.
Based
15 on the measurements that you get from the
photogrammetric
16 technique, you can say it's a Ford Ryder truck or it's
a GMC
17 Ryder truck.

18 THE COURT: Because they're the same
measurements.

19 MR. ORENSTEIN: Right, because the questioned
and the
20 known have the same measurements.

21 THE COURT: That's what I'm saying. It's just
a
22 question of so many feet and inches vs. so many feet
and
23 inches; and there has been a predicate showing that
that's the
24 size of the bed of the truck or the box of the truck.

25 MR. ORENSTEIN: That's all I understand it.

1 MS. MCLAUGHLIN: Well, your Honor, we haven't
been
2 provided with any of the tests that he relied upon to
determine
3 the measurements of either one of these trucks.

4 THE COURT: Well, what kind of a test do you
need?
5 You need a tape measurement.

6 MS. MCLAUGHLIN: Well, your Honor, we don't
know what
7 measurements they took in --

8 THE COURT: Well, I don't want to hear
anymore.

9 MS. MCLAUGHLIN: Okay. Our next objection is
that
10 according to the FBI protocol this reverse projection
11 photogrammetry is a technique used to --

12 THE COURT: I'll hear about it at trial.
We're not
13 going to suit up for that kind of an issue.

14 MS. MCLAUGHLIN: Okay. Thank you.

15 THE COURT: All right.

16 MR. NIGH: The next one is Theodore Udell, and
he is a
17 chemist --

18 THE COURT: Plastics engineer, we have.

19 MR. NIGH: Yes, your Honor. The Government
proffers
20 to testify that he was able to identify some plastic
21 fragments --

22 THE COURT: Smurfit, or whatever it is.

23 MR. NIGH: Smurfit plastic.

24 Based upon the discovery we have received in
reference
25 to Mr. Udell, his methods -- I hate to say it -- are
just not

112

1 good science; and the reason that we object to his
testimony is

2 because it appears that his methods are not methods at
all,

3 really.

4 THE COURT: Now, as I understand it from the
5 Government's response, he's limiting this now to the
clear

6 plastic and not the colored plastic.

7 MR. ORENSTEIN: That's correct. Actually,
that's been

8 a misunderstanding we've been trying to clear up for
some time.

9 It's always been our position to put it a natural
color.

10 THE COURT: It's clear plastic; and what he's
going to

11 testify as to composition?

12 MR. ORENSTEIN: Well, Mr. Udell knows what the
13 composition of Smurfit plastic is.

14 THE COURT: You mean factory specification.
15 MR. ORENSTEIN: Correct. And there is a
certain
16 recipe, if you will, for Smurfit plastic. Some of the
tests
17 he's done and some the tests by Tony Tikuisis, an
expert as to
18 whom they have no objection, if you put it those
together, you
19 come up with a --

20 THE COURT: A match.

21 MR. ORENSTEIN: A match. Exactly.

22 MR. NIGH: Yes, your Honor. The information
we have
23 been provided in reference to Mr. Udell leads us to
seriously
24 question whether or not he is capable of making such a
match
25 and based upon his scientific or what are called
scientific

113

1 practices. We have notes, your Honor, which say that
he
2 guessed about the density of a plastic sample because
his tube
3 wasn't big enough --

4 THE COURT: Well, but the response says
they're not

5 relying on density comparison. Isn't that what you
said?

6 MR. ORENSTEIN: Density is part of it, I
think; but

7 it's mainly -- the tests done by Mr. Tikuisis show
there are

8 some additives present and some that are not. And Mr.
Udell

9 knows on his knowledge of Smurfit and based on his
knowledge of

10 the rest of the industry that the characteristics --

11 THE COURT: The recipe for this plastic is
different

12 from all others.

13 MR. ORENSTEIN: I don't want to overstate it.
I don't

14 think Tikuisis has shown the exact recipe, and Mr.
Udell is

15 matching it up. But there are things that Tikuisis is
finding

16 that you would find in Smurfit, things he's not finding
that

17 you wouldn't find in Smurfit.

18 THE COURT: How unique is the formula for the
plastic

19 used by Smurfit.

20 MR. ORENSTEIN: It is unique.

21 THE COURT: That's the point, isn't it?

22 MR. ORENSTEIN: Yes.

23 MR. NIGH: If that was all it was, your Honor,
I'd sit

24 down. But it appears to me that there is a methodology
25 involving density testing that Mr. Udell intends to use
to

114

1 convey his opinion to the jury; and I don't think that
it's
2 supported scientifically. That's the nature of our
objection.

3 THE COURT: All right. So we're down to the
density
4 testing procedures?

5 MR. NIGH: That's what it appears to me.

6 THE COURT: All right. Who can explain the
density
7 testing procedure used here?

8 MR. ORENSTEIN: I can't explain the procedures
used.

9 I know it's part of the testing that Tikuisis did.

10 THE COURT: Has he explained it?

11 MR. ORENSTEIN: No, no, no. It's a test done
by

12 Mr. Tikuisis, and that's why I'm having trouble
understanding

13 the objection. They don't object to the science
applied by

14 Mr. Tikuisis.

15 THE COURT: Well, I don't know. Do you?

16
object to the

MR. NIGH: No, your Honor, we don't. We

17
18
Udell --

science applied by Udell. It's unclear to from us the
information we've been provided thus far whether Mr.

19
20
he's

THE COURT: -- did any different test.

MR. NIGH: Exactly, and what methodologies

21
relying upon to make this conclusion.

22
MR. ORENSTEIN: Nothing different.

23
name --

THE COURT: Udell is relying on the man whose

24
MR. ORENSTEIN: Tikuisis, your Honor.

25
question?

THE COURT: Okay. Doesn't that answer your

115

other

1 He doesn't do his own tests. He's relying on this
2 fella's tests that you don't object to.

3
does his

MR. ORENSTEIN: There is a redundancy. He

4 own tests. It's also a test that is done by Tikuisis.

5
tests, we

THE COURT: If he's going to testify to his

6 ought to know what his test was.

7
the same

MR. ORENSTEIN: No, what I'm saying is it's

done by 8 test, if I'm getting it right. It's one test that is
9 two people. It's a redundant test. So you can do the
the 10 entire -- you can reach the opinion entirely based on
11 information provided by Mr. Tikuisis.

12 THE COURT: Is that what he's going to do?

13 MR. ORENSTEIN: Yes.

14 THE COURT: Udell?

15 MR. ORENSTEIN: Yes. Udell, yes.

16 THE COURT: Udell is relying on Tikuisis?

17 MR. ORENSTEIN: Yes.

18 THE COURT: Okay. Got it?

19 MR. NIGH: I think so, your Honor.

20 THE COURT: Okay Mr. Tigar?

don't want 21 MR. TIGAR: I'm sorry, your Honor, to -- I

Udell is 22 to add to the confusion; but as I understand it, Mr.

sense of its 23 going to say yes, Smurfit plastic is unique in the

do not 24 combination of additives; however, these chemical tests

They're 25 show that whatever they've tested was Smurfit plastic.

1 simply going to show that it contained certain things
that are
2 in Smurfit plastic and does not contain certain things
that are
3 not; and therefore, these samples could well have been
4 manufactured by any one of a number of other
manufacturers.

5 Now, if I'm correct about that -- am I right
about
6 that?

7 MR. ORENSTEIN: I think you may be missing a
point.

8 The -- what Mr. Udell can do is take information
provided by
9 Mr. Tikuisis and say that provides a unique profile
that is
10 based on my knowledge -- Mr. Udell -- based on my
knowledge of
11 the components used in the market, it's unique to
Smurfit.

12 So I think that Mr. Tigar may be wrong; that
what
13 Udell is doing is something within his expertise based
on his
14 knowledge of the market.

15 THE COURT: Well, how does he know the
composition of
16 everybody else in the plastics business?

17 MR. ORENSTEIN: That's from his knowledge of
his
18 rivals in the market.

nature 19 MR. TIGAR: Your Honor, given the proprietary
just 20 of these compounds, I think we are at a point where we
concerned: 21 need more information. I tell the Court why I'm
said, and 22 When Mr. Nichols was held to answer, the FBI agent
23 Judge -- Magistrate Judge Howland concurred, that the
blue 24 incriminating fact about Mr. Nichols was that he had
media 25 plastic in his house and it was blue plastic. And the

117

his house 1 were all over it: Terry Nichols had blue plastic in
2 and that's why he should be held to answer.
3 Now we're getting that it's not blue plastic
that is 4 the really important thing. And I think that we ought
to 5 have -- and maybe it's time enough to do it when you
get to 6 trial, but Mr. Udell ought to be made to pony up just
exactly 7 what he's opining to, what the basis of it is, and your
Honor's 8 question, which is a good one, how do you know what
else is out

the 9 there in the industry? How are you doing it? Because

critical to 10 Government did, at a time in our lives when it was

want to 11 Mr. Nichols, rather overstate the matter; and I just

12 alert the Court to that concern that we have.

would 13 THE COURT: Well, I'm concerned as to how he

14 know what competitors put in their product.

competitors. 15 MR. ORENSTEIN: Information provided by

tell 16 THE COURT: You mean these guys go around and

17 what their recipes are?

the 18 MR. ORENSTEIN: No, in this case, we collate

get up 19 information for this case so he can say, so that he can

20 on the stand and say, Now I know.

shared 21 THE COURT: Well, that information ought to be

22 with the defense --

23 MR. ORENSTEIN: Fine.

upon to 24 THE COURT: -- as to what it is that he relies

25 say that this is a unique formula.

1 MR. ORENSTEIN: That's fine. We can do that.

2 MR. NIGH: Only one more, your Honor, and
that's

3 Mr. Williams; and Ms. Merritt is prepared to address
that

4 point.

5 THE COURT: Okay. Well, I didn't think
Williams was

6 going to be --

7 MS. MERRITT: More of a discovery issue. The
motion

8 to compel that we filed previously has not been
addressed by

9 the Court; and at the last hearing, the Court decided
that the

10 Government would not be permitted to put Mr. Williams
on to

11 testify as to his conclusions regarding handwriting but
that

12 they could put him on to talk about similarities.

13 THE COURT: Right.

14 MS. MERRITT: The problem is, as is indicated
in the

15 motion to compel, we have no indication of his
similarities.

16 He never gave us any statement of bases or reasons that
is

17 anything other than a restatement of his training.

18 So we have no idea what the points of
comparison are

19 that he's going to be relying upon for any of these

documents.

20 And it's in violation of Rule 16, which states that the
summary
21 must describe the bases and reasons for his testimony;
so if
22 he's going to say that there are similarities between
Document
23 A and document B, I mean we should at least know (a)
what the
24 similarities are and (b) what are, you know -- what are
the
25 reasons he says that they're similar.

119

1 I think those are the same thing. I think he
can do
2 it just by telling us the similarities. We can figure
the rest
3 out, but we haven't even gotten that.

4 All we have are these lab reports that appear
to be
5 nothing more than a rough draft of his final report
that say
6 so-and-so wrote this document. Now, we know that isn't
7 allowed; but he never in those reports says why he
believes it.

8 THE COURT: Why the Ts are crossed and the Is
are
9 dotted.

10 MS. MERRITT: I'm not even asking for that
degree of
11 specificity; but if he would even say it's the size of
the
12 letters, the spacing of the letters, the height of the
letters.

13 THE COURT: I got it. I got it.

14 What about it?

15 MR. ORENSTEIN: Judge, I think that it's hard
to come
16 up with a definition of what should be provided that
wouldn't
17 go -- cross the line from a summary of his testimony to
his
18 testimony. It's not going to be --

19 THE COURT: Get them what he says are similar
20 characteristics.

21 MR. ORENSTEIN: Well, that's -- the problem
I'm trying
22 to address, Judge, is each document has similarities
that you
23 can point to.

24 THE COURT: Yeah. So? That's what he should
provide.

25 MR. ORENSTEIN: Well, I pursue this only so I
know how

120

1 specific we need to be. He can say letters are

constructed the

2 same way from one document to another?

3 THE COURT: What's he going to testify about
with

4 respect to the Ts and the Is and the kinds of stuff
that these

5 people do?

6 MR. ORENSTEIN: Okay. Like I say, for each
document,

7 there may be different things that he's focusing on.

8 THE COURT: Well, provide them.

9 MR. ORENSTEIN: All right.

10 THE COURT: All right.

11 MR. NIGH: Your Honor, that completes the
list; and

12 the only other thing that I would ask to do is to
complete the

13 evidentiary
record that I should make, which is if granted an

14 hearing, we would be prepared to present expert
testimony

15 concerning those issues that we raised in our brief.
And I'd

16 also like to adopt Mr. Tigar's oral arguments in
reference to

17 the experts that we both filed objections to.

18 THE COURT: Yes.

19 Mr. Tigar?

20 MR. TIGAR: I had an 848 matter on which I
wish to

21 address the Court this week at your Honor's
convenience. I

22 could come in any time, though -- next week -- at all.

23 THE COURT: All right. We'll invite you back.

24 MR. TIGAR: Thank you, your Honor.

25 THE COURT: On the major motion here, Daubert,
we'll

121

1 take it under advisement. I'm sufficiently confused
both as to

2 the law and the facts that I need to reflect upon that;
but I

3 believe we've resolved these discovery points.

4 Whatever, the Williams report ought to be in,
in a

5 week, too, on the comparisons.

6 MR. ORENSTEIN: We'll do that, Judge.

7 THE COURT: Okay. All right. Court is --

8 MR. HARTZLER: Your Honor, could we schedule
another

9 conference?

10 THE COURT: You'll hear from me. Court is in
recess.

11 (Recess at 4:50 p.m.)

12 * * * * *

13 REPORTERS' CERTIFICATE

14
transcript

We certify that the foregoing is a correct

15 from the record of proceedings in the above-entitled
matter.

16
February,

Dated at Denver, Colorado, this 20th day of

17 1997.

18

19

Paul Zuckerman

20

21

Kara Spitler

22

23

24

25