

21
22
23
24
Transcription
Street,
629-9285

Proceeding Recorded by Mechanical Stenography,
Produced via Computer by Paul Zuckerman, 1929 Stout
P.O. Box 3563, Denver, Colorado, 80294, (303)

7013

1 APPEARANCES
2 PATRICK RYAN, United States Attorney for the
Western
3 District of Oklahoma, 210 West Park Avenue, Suite 400,
Oklahoma
4 City, Oklahoma, 73102, appearing for the plaintiff.
5 LARRY MACKEY, SEAN CONNELLY, BETH WILKINSON,
GEOFFREY
6 MEARNS, JAMIE ORENSTEIN, and AITAN GOELMAN, Special
Attorneys
7 to the U.S. Attorney General, 1961 Stout Street, Suite
1200,
8 Denver, Colorado, 80294, appearing for the plaintiff.
9 MICHAEL TIGAR, RONALD WOODS, ADAM THURSCHELL,
and
10 JANE TIGAR, Attorneys at Law, 1120 Lincoln Street,
Suite 1308,
11 Denver, Colorado, 80203, appearing for Defendant
Nichols.

12

* * * * *

13

PROCEEDINGS

14

(Reconvened at 1:35 p.m.)

15

THE COURT: Be seated, please.

16

Yes, Miss Wilkinson.

17

MS. WILKINSON: Your Honor, as to the exhibit,

18

Government Exhibit 1702B, I showed it to Mr. Tigar, and

he

19

agreed -- we had the agent circle the portion and

initial it.

20

THE COURT: You mean physically on that.

21

MS. WILKINSON: Yes, instead of the computer

22

printout -- we fixed the problem -- and he's agreed

this would

23

be admissible.

24

MR. TIGAR: We agreed subject to all of the

other

25

things we talked about it, it would be admissible.

7014

1

MS. WILKINSON: Excuse me, your Honor.

2

THE COURT: What is the designation?

3

MS. WILKINSON: The number?

4

THE COURT: Yes.

5

MS. WILKINSON: 1702B.

6

THE COURT: Thank you.

7 (Jury in at 1:35 p.m.)

8 THE COURT: Members of the jury, we do have
now 1702B

9 which is a little photograph of this one that you will
recall,

10 1702, that was marked by the testifying agent didn't
come out

11 right on the machine, so we got the picture, and he
just marked

12 it physically, and we've taken it into evidence.

13 I mention a Polaroid, I oughtn't to be using a
trade

14 name like that. I guess Kodak and some other
Instamatics. But

15 you know what I mean.

16 Our next witness, please.

17 MR. MACKEY: As our next witness, we would
call

18 Mr. Paul Rydlund.

19 THE COURT: All right.

20 THE COURTROOM DEPUTY: Would you raise your
right

21 hand, please.

22 (Paul Rydlund affirmed.)

23 THE COURTROOM DEPUTY: Would you have a seat,
please.

24 Would you state your full name for the record
and

25 spell your last name.

N-D. 1 THE WITNESS: Paul Harris Rydlund, R-Y-D-L-U-

2 THE COURTROOM DEPUTY: Thank you.

3 THE COURT: Miss Wilkinson.

4 MS. WILKINSON: Thank you, your Honor.

5 DIRECT EXAMINATION

6 BY MS. WILKINSON:

7 Q. Good afternoon, Mr. Rydlund.

8 A. Good afternoon.

9 Q. Could you tell the jury where you live.

10 A. St. Louis, Missouri.

11 Q. How old are you?

12 A. 59 years old.

13 Q. And what is your profession?

14 A. I'm a mining engineer.

15 Q. What has been the focus of your professional
employment

16 over the past years?

17 A. I have been involved in the development and the
uses of

18 ammonium nitrate in the commercial explosives industry.

19 Q. How long have you been doing that?

20 A. I've been doing that for 34 years.

21 Q. Where do you currently work?

22 A. I currently work for El Dorado Chemical Company in
23 St. Louis, Missouri.

24 Q. How long have you worked for them?

25 A. I've worked for them 13 years.

7016

Paul Rydlund – Direct

1 Q. Can you tell us what El Dorado Chemical Company
sells.

2 A. El Dorado Chemical Company is involved in both
industrial

3 and agricultural businesses. In the industrial
business, they

4 sell industrial acids such as sulfuric acid, weak
nitric acid,

5 strong nitric acid. In the industrial business as
well, we

6 sell industrial ammonium nitrate to the explosive
industry, and

7 we also sell a number of explosive products directly to
the

8 mining industry as well.

9 Q. What type of explosive products do you sell to the
mining

10 industry?

11 A. We sell blasting agents such as ANFO. We sell high

12 explosives such as dynamite, water gels, high-explosive

13 emulsions. We sell detonators such as electric
blasting caps,

14 non-electric blasting caps.

15 Q. Now, could you briefly tell us about your
educational

16 background. Where did you attend university?

17 A. I attended the University of Missouri at Rolla, and
I have

18 a bachelor of science and a master of science in mining
19 engineering.

20 Q. When did you obtain those degrees?

21 A. In 1963 and 1965.

22 Q. When you were in school, did you concentrate on
explosives

23 at any time?

24 A. Yes, I did.

25 Q. What did you do?

7017

Paul Rydlund - Direct

1 A. In my graduate work, I worked on the development of
2 ammonium nitrate for commercial explosives. This was
done

3 under a project that was funded by Monsanto Company.
And so I

4 was a graduate assistant and worked on that project.

5 Q. You said Monsanto funded that project?

6 A. Yes, they did.

7 Q. Where did you work after you left school?

8 A. After I left school, I went to work for Monsanto
Company.

9 Q. Is that a coincidence --

10 A. No. I continued to work on that same project then.

11 Q. What did you do for them initially?

12 A. I spent five years in research in which we analyzed
and

13 evaluated the uses of ammonium nitrate in commercial
14 explosives.

15 Q. And --

16 A. And then after that, I went into the operations and
17 marketing of industrial explosives.

18 Q. During your time with the company and your
subsequent

19 experience, have you become familiar with the chemical
20 properties and the explosive capabilities of ammonium
nitrate?

21 A. Yes, I have.

22 Q. Now, tell us how long you were involved in
operations.

23 A. I was involved in operations for a period of five
to eight

24 years.

25 Q. During that time did you become familiar with the

1 manufacturing process of ammonium nitrate?

2 A. Yes, I did.

3 Q. Did you also become familiar with the common
practices

4 throughout your industry?

5 A. Yes, I did.

6 Q. After you finished working in operations, what did
you do?

7 A. Then I became involved in marketing, field
marketing of the

8 products and field use of the products.

9 Q. And how long did you work for Monsanto?

10 A. I worked for Monsanto for 19 years.

11 Q. What happened to them at that point?

12 A. It -- after 19 years, Monsanto sold that business
to a

13 company in Oklahoma City called LSB Industries, and
they formed

14 El Dorado Chemical Company.

15 Q. And have you been employed by them ever since?

16 A. I've been employed by El Dorado Chemical Company
ever

17 since.

18 Q. What did you do for El Dorado when you first joined
their

19 company?

20 A. I was a director of industrial explosive group.

21 Q. What did you do in that capacity?

22 A. In that capacity I directed the marketing and the

technical

23 use of the products.

24 Q. How long did you do that?

25 A. I did that up until 1992 at which time I was named
vice

7019

Paul Rydlund – Direct

1 president.

2 Q. And that's what you're doing today?

3 A. That's my current capacity.

4 Q. Could you tell us what your general duties are as
vice

5 president.

6 A. My general duties are again to direct both the
marketing of

7 our industrial acids and our industrial explosives
group.

8 Q. During your 33 or 34 years in the commercial
explosives

9 business, have you become involved with any
professional

10 societies?

11 A. Yes, I have.

12 Q. Tell us about that.

13 A. I am a member of the Society -- I'm sorry, American
Society

14 of Mining Engineers and also the International Society
of

15 Explosives Engineers.

16 Q. How long have you been a member of those
organizations?

17 A. Well, I've been a member of the Society of Mining
Engineers

18 for about 30 years, I believe. And on the
International

19 Society of Explosives Engineers for about 15 years.

20 Q. In your capacity as vice president, are you a
member of any

21 professional safety associations?

22 A. Yes. I am currently serving as the chairman of the
board

23 of governors for the Institute of Makers of Explosives,
which

24 is a safety organization for the explosive industry.

25 Q. That's who they represent?

7020

Paul Rydlund - Direct

1 A. Yes.

2 Q. And what are you doing in that position as the
chairman?

3 A. As chairman of the board of governors, basically I
preside

4 over the decisions of the board. But basically our
basic work

5 there is to recommend or suggest regulations as to the
safe

6 handling, use, transportation, and manufacture of
industrial
7 explosives.

8 Q. So from that experience and from your other
experience in
9 your business, are you familiar with the safety
standards for
10 manufacturing, storing, handling, and using ammonium
nitrate as
11 well as other explosives materials?

12 A. Yes, I am.

13 Q. Now, during your career, can you tell the jury a
little bit
14 about the type of field experience you've had.

15 A. In the field -- the field experience I've had has
been to
16 design blasts, evaluate blasts which would go into how
many
17 pounds should we load into the blast hole, how should
we
18 initiate the blast hole, what type of high explosives
should we
19 use and what sequence should we use in shooting the
individual
20 blast holes to provide the best breakage in the mine.

21 Q. Does your experience in the field include the
mixing of
22 explosive products?

23 A. Yes, it does.

24 Q. Have you ever, yourself, mixed explosives?

25 A. Yes, I have.

7021

Paul Rydlund – Direct

1 Q. Do you know how many times?

2 A. Well over a hundred times.

3 Q. Have you ever observed the detonation of ammonium
nitrate

4 and fuel oil?

5 A. Yes, I have.

6 Q. Have you observed the detonation of ammonium
nitrate and

7 other types of fuels?

8 A. Yes, I have.

9 Q. Do you also review literature to keep current in
your

10 field?

11 A. I do.

12 Q. Tell us about that.

13 A. I review a number of periodicals, magazines as
well,

14 resulting in improvements in industrial explosive
business.

15 Recently authored a section on ammonium nitrate for the

16 International Society of Explosives Engineers' handbook
on

17 blasting.

18 Q. In your career, have you had the opportunity to
visit other

that 19 manufacturing facilities of other chemical companies

20 compete in your market?

21 A. Yes, I have.

22 Q. Tell us which facilities you have visited.

23 A. I have visited the ammonium nitrate manufacturing facility

24 of ICI in Joplin, Missouri. And I have visited the ammonium

25 nitrate manufacturing facilities of DynoNobel in Louisiana,

7022

Paul Rydlund – Direct

1 Missouri, and Donora, Pennsylvania, and I have visited the

2 explosive manufacturing facility of Austin Powder in McArthur,

3 Ohio.

4 Q. Are those some of the major competitors of El Dorado?

5 A. They are major competitors, yes, they are.

6 Q. Let's talk a little bit about ammonium nitrate. Can you

7 tell us what ammonium nitrate is.

8 A. Ammonium nitrate is a compound made out of ammonia and

9 nitric acid.

10 Q. Can you tell us: Is all ammonium nitrate the same?

11 A. Chemically, yes. Physically, no.

12 Q. What do you mean by that?

13 A. In the solid form, ammonium nitrate comes in
basically two

14 forms: A very dense, a very dense particle size, and
then it

15 also comes in a more porous particle size.

16 Q. And are there terms, common terms, used to refer to
those

17 two types of ammonium nitrate?

18 A. Yes. The very dense or tightly packed product is
called

19 high-density ammonium nitrate, and the porous material
is

20 called low-density ammonium nitrate.

21 Q. And do you distribute those two different products
to two

22 different type of customers?

23 A. Yes, we do.

24 Q. Tell us about that.

25 A. The high-density ammonium nitrate is sold into the

7023

Paul Rydlund – Direct

1 agricultural industry.

2 Q. Why is that?

3 A. And because of the density of the product and the
ability

with 4 of the product to spread evenly with other ingredients,
5 other compounds used in the fertilizer industry such as
potash 6 and phosphates.

7 Q. You said you also -- you, your company manufactures
8 low-density ammonium nitrate?

9 A. Yes, we do.

10 Q. Is that true? And you're familiar with that
process?

11 A. Yes.

12 Q. And who is the typical customer for the low-density
--

13 A. That is used in the explosive business.

14 Q. Are you aware of the practices in your industry
about

15 distributing low-density ammonium nitrate?

16 A. Yes.

17 Q. And has there -- are there costs that you incur in
terms of

18 distributing ammonium nitrate?

19 A. Well, yes. There's of course the manufacturing
cost and

20 then the transportation cost to take the ammonium
nitrate to

21 the market.

22 Q. Is that one of biggest costs you face?

23 A. Transportation is a very major cost in the
distribution of

24 ammonium nitrate.

25 Q. Based on that, is it a practice within your
industry to

7024

Paul Rydlund - Direct

1 sometimes distribute low-density ammonium nitrate to
the

2 agricultural community?

3 A. Yes, it is. Because there are lower costs, there
could be

4 lower costs in doing so.

5 Q. Explain that, would you --

6 A. If the -- in a particular instance -- as an
example, I

7 would use Joplin, Missouri -- there is a very good
agricultural

8 market, particularly around Springfield, Missouri, that
is

9 close to Joplin, and the Joplin plant makes low-density

10 ammonium nitrate, but they can cost that material into
this

11 agricultural market, they have a pretty good cost
because there

12 is very little transportation between the two
locations. So

13 there are opportune times when that practice is used.

14 Q. Could you tell us in what form ammonium nitrate is

15 manufactured?

16 A. Ammonium nitrate is manufactured in a form that's
called a
17 prill. P-R-I-L-L.
18 Q. What's a prill?
19 A. A prill resembles a miniature snowball. It's a
glomeration
20 of ammonium nitrate crystals that are packed into just
like a
21 miniature snowball. A prill is about 1 millimeter,
125th of an
22 inch in diameter. And it's spherical in shape.
23 Q. Can an ammonium nitrate prill or a group of prills
be used
24 as an explosive?
25 A. No. No. Not by themselves, they cannot be.

7025

Paul Rydlund - Direct

1 Q. And what do you need to make ammonium nitrate
prills an
2 explosive?
3 A. You would need a sensitizer or a fuel such as fuel
oil or
4 there are other fuels available that are used,
nitromethane,
5 for instance.
6 Q. Now, is there a term for ammonium nitrate -- if
you're
7 going to combine it to make it an explosive with fuel,
is there

8 some term that you use?

9 A. ANFO is a term that's used for when you combine
ammonium

10 nitrate with fuel oil.

11 Q. Is there a term for just the ammonium nitrate if
you're

12 going to combine fuel, which you call a sensitizer, I
13 believe -- is there a term used to refer to the
ammonium

14 nitrate?

15 A. As a blasting agent when we call the two of them,
yes.

16 Q. Are you familiar with the term "oxidizer"?

17 A. Yes.

18 Q. What is that?

19 A. An oxidizer is a material that when -- well,
ammonium

20 nitrate is an oxidizer, and an oxidizer is a material
that when

21 it undergoes decomposition, particularly if it was
burning, for

22 instance, it readily releases its own oxygen, so if you
had

23 ammonium nitrate and it was burning, and you tried to
put out

24 the fire or dump dirt on it, you couldn't cut off the
oxygen

25 because it produces its own oxygen.

Paul Rydlund – Direct

exhibit 1 Q. Before coming to court today, did you prepare an
ammonium 2 that will demonstrate the manufacturing process for
3 nitrate, for low-density ammonium nitrate, used as an
4 explosive?

5 A. Yes, I did.

6 Q. And look on your computer screen, if you could, at
7 Government's Exhibit 674. Do you recognize that?

8 A. Yes, I do.

9 Q. Is that the chart that you prepared?

10 A. That is the chart that I prepared.

Government's 11 MS. WILKINSON: Your Honor, we'd offer
12 Exhibit 674 as a demonstrative exhibit.

13 MR. TIGAR: No objection, your Honor.

14 THE COURT: All right. 674 is received as a
15 demonstrative exhibit.

it's 16 Which means, members of the jury, simply that
document 17 used to illustrate or demonstrate the testimony. The
18 itself is not evidence. It is useful to explain the
testimony.

19 BY MS. WILKINSON:

is 20 Q. Mr. Rydlund, you told us that once ammonium nitrate

that 21 manufactured, it usually comes out in a prill form; is
22 right?
23 A. That's correct.
me zoom 24 Q. Can we start on the left side of the chart and let
25 in here. And tell the jury how ammonium nitrate is

7027

Paul Rydlund - Direct

1 manufactured.
2 A. See if I can work this.
3 Q. You need to go down underneath.
4 A. I'm sorry.
just use 5 Q. There you go. You don't need to press the button;
6 the pen.
7 A. Okay. Okay. Ammonia and nitric acid are combined
in a 8 reactor to produce an ammonium nitrate solution. The
solution 9 is pumped to an ammonium nitrate solution storage tank.
At 10 this point the solution has 10 percent water in it.
11 Q. And why is that important?
12 A. That is important because we're going to go through
part of 13 the process that is going to remove the water from the

solution

14 and put it into a solid.

15 Q. Okay. Let's move on to that. Here's the next
portion of

16 the chart.

17 A. From the tank we put the material -- the solution
into a

18 evaporator where an additional seven parts of water are

19 removed. And from the evaporator, we put -- we pump
the

20 material to the top of the shot tower. Now, the shot

tower

21 looks just like a big silo, it's about 200 feet high.

And at

22 the top of the shot tower is a series of spray nozzles

or

23 shower heads. And so the liquid ammonium nitrate is

pumped

24 through the shower heads and what emerges is a liquid

droplet.

25 And as the droplets fall down the shot tower, solid

ammonium

7028

Paul Rydlund - Direct

1 nitrate crystals are formed within the droplet until we
get to

2 the bottom of the shot tower where all of the droplet

is now

3 made up of solid ammonium nitrate crystals. It's

spherical in

4 shape. It resembles, again, a miniature snowball, a
millimeter

5 in diameter, and this is what we call a prill.

6 Q. Is there another part of the processing that must
occur?

7 A. Yes. Because now the prill is still a 2 1/2
percent

8 moisture in it, and it's not very strong and it's not
very

9 durable. And that wouldn't -- because we're going to
have to

10 handle this and store it, we need to make it -- we need
to make

11 it stronger.

12 Q. Okay. Let's go to the final portion of the chart.
Tell us

13 what happens in this last phase.

14 A. So what we do is we take the material to a series
of dryers

15 and a cooler, and at this point we have removed the
moisture

16 and strengthened or made the prill more durable.

17 Q. And down there at the bottom, it says there's a
coater?

18 A. Yes.

19 Q. Why do you coat the prills?

20 A. When we get to this point, when we're out of the
coater,

21 we've gone to all of this trouble to make the prill
strong and

22 durable and take the moisture out. And so what we

don't want

23 to do is to have the ambient moisture from the air come
back

24 and weaken the prill. I mean we've already gone
through all of

25 this to make it strong. So now we want to keep the
humidity

7029

Paul Rydlund – Direct

1 from the air out of the prill. So what we do is we
place it in

2 a coater and in the coater we spray on a surfactant,
liquid

3 surfactant or a surface acting agent, which basically
coats the

4 outside of the prill which will repel or disperse the
humidity

5 or ambient moisture in the air and then for good
measure we add

6 talcum powder to give us added protection.

7 Q. And all the steps you just described, the coating
and the

8 talc, are to protect the ammonium nitrate crystals?

9 A. They are to protect the ammonium nitrate crystals
in the

10 prill from the humidity in the air, yes.

11 Q. Now, before coming to court today, did you also
gather a

12 sample of low-density ammonium nitrate prills?

13 A. Yes, I did.

14 MS. WILKINSON: Your Honor, may I offer this
to the --

15 show this to the witness?

16 THE COURT: Yes.

17 BY MS. WILKINSON:

18 Q. You see Government's Exhibit 675?

19 A. Yes, I do.

20 Q. Is that the sample that you collected?

21 A. Yes, it is.

22 MS. WILKINSON: Your Honor, we'd offer 675 for
23 demonstrative purposes only.

24 MR. TIGAR: No objection, your Honor.

25 THE COURT: All right. 675 is received for

7030

Paul Rydlund - Direct

1 demonstrative purposes.

2 BY MS. WILKINSON:

3 Q. Mr. Rydlund, could you hold up 675 and tell the
jury what

4 we're seeing in that little jar?

5 A. Okay. 675 is a small jar of ammonium nitrate
prills. The

6 prills are slightly off-white in color -- color, I'm
sorry.

7 Spherical in shape, and about 1 millimeter or again

125th inch

8 diameter in diameter. And they're free-flowing.

9 Q. Now, once you've completed this manufacturing
process and

10 they've been coated, what do you do with the ammonium
nitrate

11 prills?

12 A. From that point, we either ship it in bulk or we
package

13 it.

14 Q. So they're ready for storage and distribution?

15 A. That's correct.

16 Q. Let me ask you to tell the jury a little about the
effects

17 of humidity vs. water on ammonium nitrate prills and
let's

18 focus on the low-density ammonium nitrate prills.

19 A. Okay.

20 Q. Once these prills are coated, what effect, if any,
does

21 humidity have on ammonium nitrate prills?

22 A. In fact, the purpose of coating the prills is to
prevent

23 the humidity from attacking the prill, from weakening
the

24 prill. So the coating, the surfactant and the talcum
powder,

25 are to resist or repel the humidity in the air.

Paul Rydlund - Direct

1 Q. So if ammonium nitrate prills are exposed to
humidity,
2 would they stay intact or would you expect them to
3 disintegrate?

4 A. No, the prills would stay intact if exposed --
excuse me --

5 if exposed to humidity. Now, if they were exposed to
humidity
6 over months and months, we would see some changes in
the
7 structure of the prill.

8 Q. Could the prill break down into crystal form?

9 A. The prills could take -- they could break down and
cake.

10 The crystals would reorient themselves, and what we
might see

11 is that instead of free-flowing prills, we might see
more of a

12 hard mass, caking of the prills.

13 Q. All right. Now, let's talk about the effect of
water on

14 ammonium nitrate prills. What would happen to ammonium
nitrate

15 prills if they were -- they came in direct contact with
water?

16 A. They would dissolve. Ammonium nitrate is very
soluble in

17 water. 200 parts of ammonium nitrate will dissolve in

18 parts of water. And relative to that, let's say table
salt,
19 320 parts of table salt per hundred parts of water, so
it will
20 give you an idea of the relative solubility of ammonium
21 nitrate.
22 Q. Let me ask you this. If ammonium nitrate prills
were on a
23 surface that was protected from water, from direct
contact with
24 water but was in the same area as humidity or water,
what would
25 you think would happen to those prills?

7032

Paul Rydlund - Direct

1 A. Again, depending upon the humidity and the time
involved,
2 the prills would either stay intact or they would
probably
3 reorient themselves and break down into crystals.
4 Q. Now, once you have these ammonium nitrate -- low-
density
5 ammonium nitrate prills ready for distribution or
storage, how
6 do you store them?
7 A. Well, again, sometimes they are stored in bulk
bins. Many
8 times they are packaged in 50-pound bags or 80-pound
bags,

9 packaged in multi-wall paper bags, or they're sometimes
10 packaged in plastic bags.

11 Q. Mr. Rydlund, can you look inside that clear plastic
bag,

12 and do you recognize Government's Exhibit 69?

13 A. Yes, I do.

14 Q. Is that one of the bags you just described for the
jury?

15 A. This is a multi-wall paper bag that I just
described, yes.

16 MS. WILKINSON: Your Honor, we'd offer
Government's

17 Exhibit 69 for demonstrative purposes only.

18 MR. TIGAR: May I just look at it, your Honor.

19 THE COURT: Yes, come forward and do so.

20 MR. TIGAR: Thank you.

21 Thank you, sir.

22 THE WITNESS: Sure.

23 Thank you, Mr. Rydlund.

24 Excuse me.

25 No objection, your Honor.

7033

Paul Rydlund - Direct

1 THE COURT: All right. 69 is received for
2 demonstrative purposes.

3 BY MS. WILKINSON:

4 Q. Mr. Rydlund, let's start with the outside of the
bag, and
5 if you can turn it to the jury and explain to them
what's on
6 the top of that bag, the markings.

7 A. This is the name of the company, Atlas Powder
Company.

8 The address.

9 This is the name of the parent company, ICI
10 Explosives.

11 This is the name of the product, ammonium
nitrate
12 fertilizer.

13 These three numbers down here, 34, zero, zero
14 represent the nitrogen, potassium, and phosphorous
contents of
15 what's in this bag. So at this 34, zero, zero, zero
(sic),
16 that means there's 34 percent nitrogen, no phosphorous,
and no
17 potassium. Now, those numbers are important to the
18 agricultural community because those three elements are
very
19 important to crop growth.

20 It says, "Prills, 50 pounds net weight," which
is the
21 weight of the bag.

22 This yellow diamond is a warning label for
oxidizers.

23 The flame represents that when the material decomposes,

it will

24 release its own oxygen.

25 Nations This number down here, UN1942, is the United

7034

Paul Rydlund - Direct

1 classification for ammonium nitrate, and what it means
is

2 ammonium nitrate is less than .2 percent combustibles

3 calculated as carbon which is a long way of saying
ammonium

4 nitrate with less than .2 percent fiber.

5 Q. You can take your seat.

6 nitrate Now, look at the inside of that brown ammonium

7 bag.

8 A. Yes.

9 paper Q. And can you tell the jury if that's the multi-wall

10 bag that you've described.

11 A. This is a multi-wall paper bag, yes.

12 Q. What is the purpose of that type of lining?

13 several A. The multi-wall paper bag basically consists of

14 paper is a walls of paper. But you notice within the walls of

15 And this mil and a half of a high-density polyethylene in it.

humidity 16 polyethylene lining in here is to prevent the ambient

17 from the air from entering the product.

product; 18 Q. Go ahead and sit down. You told us that's an ICI

19 is that right?

20 A. This is an ICI bag, yes.

21 Q. Bag. And you've been to the ICI plant?

22 A. Yes.

of 23 Q. Are you familiar with the practice ICI used in 1994

nitrate in 24 selling the low-grade explosive-quality ammonium

25 bags such as that as fertilizer?

7035

Paul Rydlund - Direct

bags such 1 A. Yes. They sold low-density ammonium nitrate in

2 as this for agricultural purposes.

have 3 Q. And because it was low density, would that make it

4 more of an explosive capability?

because the 5 A. It would have more of an explosive capability

combine 6 prills, the low-density prills as such, could readily

7 with fuel oil.

8 Q. If someone were to purchase bags, sealed bags, of
this
9 ammonium nitrate low-density prills and store it for
several
10 months in a cool climate, let's say they purchased it
in the
11 fall and they opened it in the spring, what -- what, if
any,
12 impact or effect would there be on the prills?
13 A. I would expect the prills to continue to be free-
flowing
14 and intact just like this.
15 Q. Even if they were stored in a storage shed?
16 A. Yes.
17 Q. You've told us that low-density prills -- and you
can just
18 put that down, if you like, so you don't have to hold
onto it.
19 You told us that low-density prills are better
for
20 making an explosive; is that right?
21 A. That's correct.
22 Q. And that's because they're more porous and they
absorb --
23 A. They will readily combine with the fuel oil. So
that, yes,
24 they will readily combine with the fuel oil because
porosity
25 has room for the fuel oil to absorb into the prill.

Paul Rydlund – Direct

density
concept
1 Q. Did you ask that a photograph be taken of a low-
2 ammonium nitrate prill so that you could explain this
3 to the jury?

4 A. Yes, I did.

recognize
5 Q. Could you look at your screen and see if you

6 Government's Exhibit 678.

7 A. Yes, I do.

8 Q. Is that the photograph that you caused to be taken?

9 A. Yes, it is.

Government's
10 MS. WILKINSON: Your Honor, we'd offer

11 Exhibit 678 for demonstrative purposes.

12 MR. TIGAR: No objection, your Honor.

13 THE COURT: Received for said purpose.

14 BY MS. WILKINSON:

15 Q. Mr. Rydlund, tell the jury what they're looking at.

scanning
prills.
16 A. This is a photograph taken with an electron
17 microscope of a half a section of just one of these

millimeter in
18 Now, because the prill itself is only about 1

times.
19 diameter, this has been magnified well over a hundred

-- 20 This is the surface of the prill. Does that

21 Q. Is your pen working?

22 A. I'm not sure I'm doing this.

23 Q. Are you pressing up against the --

24 A. Yeah.

25 Q. There you go. You're still in the box. There you go.

7037

Paul Rydlund - Direct

1 A. There, I got it, okay. Well, that's bad.

2 Here, this out here -- I'm not very good at this.

3 Q. I don't think it's your fault. The pen works sometimes and

4 it doesn't work the others. Why don't you just describe to the

5 jury.

6 A. Okay. On the surface, about -- we see the surface of the

7 prill, is the exterior of the picture. And that is the surface

8 of the prill. The white gray areas within that surface are the

9 ammonium nitrate crystals that were formed in the prilling

10 process and are packed inside the prill. Now, the dark areas,

11 the dark black areas within there are the paths and

valleys

12 between the crystals, between, and that accounts for
the

13 porosity in the prills so that when fuel oil is
combined with

14 this prill, the fuel oil enters through these paths and
15 valleys, is dispersed and retained in there.

16 Q. Let me show you Government's Exhibit 681.

17 Now, did you collect a small fuel oil sample
for

18 purposes of demonstrating the effect of mixing ammonium
nitrate

19 with fuel oil?

20 A. Yes, I did.

21 Q. Is that what Government's Exhibit 678 is?

22 A. Yes, it is.

23 MS. WILKINSON: Your Honor, we'd offer it only
for

24 demonstrative purposes.

25 MR. TIGAR: No objection.

7038

Paul Rydlund - Direct

1 THE COURT: All right. Received. What's the
number,

2 678?

3 MS. WILKINSON: 681, I'm sorry, your Honor.

4 THE COURT: 681, you said?

5 THE COURTROOM DEPUTY: 681.

6 THE COURT: Well, what's on that, Mr. Rydlund?

7 THE WITNESS: Oh, I'm sorry. Put my glasses
on.

8 Sorry.

9 681.

10 THE COURT: Thank you.

11 BY MS. WILKINSON:

12 Q. I'm sorry, Mr. Rydlund, I didn't give you the right
number.

13 Now, can you hold up 681?

14 A. 681.

15 Q. And tell the jury what's in it.

16 A. This is simply No. 2 fuel oil. Just like we would
burn in

17 a diesel engine in a truck. It's just simply No. 2
fuel oil.

18 And this is the fuel oil that is used to add to
ammonium

19 nitrate to make ammonium nitrate fuel oil or ANFO
mixtures.

20 Now, for illustrative purposes, only, I've added a red
dye in

21 this fuel oil so it shows up a little better. That's
what this

22 is.

23 Q. Okay. Now, would one need to actually mix fuel oil
into

24 the ammonium nitrate to ensure that it would be an
effective

25 explosive material?

7039

Paul Rydlund – Direct

in the
prills
way so
into the

1 A. Actually, they combine very easily. As a practice
2 mining industry, what we do is we blow ammonium nitrate
3 into a bin this way and then inject fuel oil another
4 that there's no mixing, it just combines as it goes up
5 bin.

barrel and
mixing?

6 Q. So if someone would pour ammonium nitrate into a
7 then fuel oil, would they need to do any kind of

8 A. Not really; it would disperse.

9 Q. How long would it take to disperse?

probably 5

10 A. Oh, depending on the temperature and everything,

11 to 10 minutes.

described,

12 Q. For purposes of demonstrating what you've just

-- a

13 did you cause photographs to be taken of a sole prill
14 prill by itself and a prill when you added fuel oil?

15 A. Yes, I did.

16 Q. Okay. Let me show you Government's Exhibit 682.

Is that

17 the photograph you caused to be taken?

18 A. Yes, it is.

19 MS. WILKINSON: Your Honor, we'd offer 682 for
20 demonstrative purposes.

21 MR. TIGAR: No objection, your Honor.

22 THE COURT: Received. May be shown.

23 BY MS. WILKINSON:

24 Q. Now, tell the jury about the prill on the left and
the

25 prill on the right.

7040

Paul Rydlund - Direct

1 A. The prill on the left, on the left is ammonium
nitrate.

2 That's a half section. Again, that's just a half
section of

3 one of these ammonium nitrate prills.

4 On the left is ammonium nitrate fuel oil or
ANFO. And

5 what's happened there is that the fuel oil has been
added to

6 the ammonium nitrate, and then we've taken a half
section of

7 one of those prills. And the point there is you can
see,

8 again, from the color -- and we've added the red dye in
there

9 to show the color -- how evenly the fuel oil disperses
in the
10 ammonium nitrate prill.
11 Q. Now, what if you mixed some other kind of fuel with
12 ammonium nitrate? For example, a nitromethane, would
you have
13 the same absorbency?
14 A. With nitromethane, yes, uh-huh.
15 Q. Now, once you mix ammonium nitrate with a fuel,
either fuel
16 oil, nitromethane or some other type, what do you have?
17 A. Well, basically in that particular case you would
have a
18 blasting agent or in some cases, considering the amount
of
19 nitromethane that could be added, you could conceivably
have a
20 high explosive.
21 Q. Let's start with ammonium nitrate and fuel oil.
What's the
22 common name for that mixture?
23 A. It's called ANFO.
24 Q. Does your company manufacture that?
25 A. Yes, we do.

7041

Paul Rydlund - Direct

1 Q. Can it also be made by individuals?

2 A. Yes, it can.

3 Q. How difficult is it to make ANFO?

4 A. Actually it's very simple. It's just taking
ammonium

5 nitrate and adding fuel oil.

6 Q. How much fuel do you need to mix in with ammonium
nitrate

7 to make it into ANFO?

8 A. The optimum amount is 6 percent fuel oil, 94
percent

9 ammonium nitrate. That is the theoretical optimum
efficiency.

10 Q. Can you mix different amounts and still have the
ANFO

11 become a blasting agent?

12 A. Yes, you can. Within a certain range.

13 Q. Now, can you use other types of fuel to mix with
ammonium

14 nitrate to make a blasting agent?

15 A. You could use nitromethane in limited quantities to
do

16 that. You could use sugar. You could use other things
that

17 have a fuel value that would provide carbon and
hydrogen to the

18 mixture.

19 Q. Could you use a combination of fuels like fuel oil
and

20 nitromethane with the ammonium nitrate?

21 A. Yes, you could.

22 Q. Now, you said you could mix sugar with ammonium
nitrate.

23 Do you have to do anything to the ammonium nitrate once
you mix

24 it -- or before you mix it with sugar to make it a
explosive?

25 A. Well, if we took granulated sugar or solid sugar as
we see

7042

Paul Rydlund - Direct

1 it, yes, because the sugar particles wouldn't be able
to work

2 themselves into the prills. So to get an intimate
mixture, we

3 would have to crush and grind ammonium nitrate and the
sugar

4 and then mix that.

5 Q. Have you read a book called C-4?

6 A. Yes, I have.

7 Q. In that book does it recommend grinding ammonium
nitrate?

8 MR. TIGAR: Excuse me, your Honor, unless we
have some

9 indication that this is a source customarily relied on,
that

10 he's an expert in the field, I object to just quoting
from the

11 book.

12 MS. WILKINSON: My understanding is there's a

13 stipulation about this book.

14 THE COURT: I believe the question being
raised here

15 is whether this witness with his expertise recognizes
that book

16 as being authoritative.

17 MS. WILKINSON: That wasn't the purpose of me
asking

18 the question, but let me ask a few more questions.

19 THE COURT: All right.

20 BY MS. WILKINSON:

21 Q. Now, Mr. Rydlund, C-4 is not a book that you
normally rely

22 on for your business, is it?

23 A. No.

24 Q. And are you aware of where that book came from,
C-4? Do

25 you know anything about the book C-4?

7043

Paul Rydlund - Direct

1 A. I've read the book, that's it.

2 Q. Do you know what the purpose of the book C-4 is?

3 A. According to the way the book was -- according to
what I

4 read in the book, it was to provide a recipe for
manufacturing

5 a homemade explosive that had the strength of C-4.

6 Q. Were you asked to read that book --

7 A. Yes, I was.

8 Q. -- before coming to court today?

9 A. Yes, I was.

10 Q. And were you asked to determine whether the
mixtures

11 described in that book could make an explosive?

12 A. Yes, I was.

13 MS. WILKINSON: Your Honor, based on that, I
would

14 like to ask him the question about grinding.

15 MR. TIGAR: Sure. No objection, your Honor.

16 THE COURT: That cleared it up for you?

17 MR. TIGAR: Yes, it did clear it up.

18 THE COURT: All right.

19 BY MS. WILKINSON:

20 Q. In that book that purports to tell its readers how
to make

21 homemade C-4, does it recommend grinding ammonium
nitrate?

22 A. Yes, it does.

23 Q. What would be the purpose of grinding ammonium
nitrate?

24 A. The purpose of grinding that ammonium nitrate was
to -- the

25 purpose of grinding that ammonium nitrate was to be
able to mix

Paul Rydlund - Direct

1 or absorb a amount of nitromethane that was greater
than the
2 porosity of the prills would allow.

3 Q. And then what would happen once those ammonium
nitrate
4 prills were ground and the nitromethane was added?

5 A. In the amounts that they were -- in the amounts
that the
6 book suggested, then you would have a high explosive.

7 Q. Now, to mix ammonium nitrate with nitromethane, do
you have
8 to grind it?

9 A. No.

10 Q. So was it your understanding or is it -- what is
your
11 opinion about the recommendations in the book, in C-4,
that say
12 grind the ammonium nitrate and mix it with the
nitromethane?

13 A. Well, in the book, if you wanted to make -- in the
book
14 what they did was to grind the nitro -- was to grind
the

15 ammonium nitrate and to add a large amount of
nitromethane,

16 greater than of course, the porosity of the prills.
However,

17 you could take ammonium nitrate prills and add
nitromethane to

18 the prills as well. But you couldn't make a high
explosive by
19 adding the ammonium nitrate (sic) to the prills. You
could
20 make a blasting agent.
21 Q. You mean you couldn't add it -- the nitromethane
with the
22 prills by itself is not an explosive; is that what
you're
23 saying?
24 A. It would not be a high explosive. It would be a
blasting
25 agent.

7045

Paul Rydlund - Direct

1 Q. What else would you need to detonate --
2 A. To detonate that, you would need a high explosive
such as
3 dynamite, TNT, high-explosive water gels such as Deta-
Gel,
4 Tovex, a number of things like that.
5 Q. So if you had those components, then, you could
detonate
6 ammonium nitrate and --
7 A. Right, the prills without grinding them and
nitromethane
8 contents of 25 to 30 percent, I think like it talked
about.

9 Q. Let's go back to ANFO. Is that -- you said your
company
10 manufactures ANFO?
11 A. Yes.
12 Q. Is that a popular product in the commercial
industry?
13 A. Yes, it is.
14 Q. Why is that?
15 A. Because it's very safe to handle. It is very -- it
is very
16 safe to handle because it is not high explosive and so
for
17 handling and transportation, it's relatively safe. It
is very
18 energetic, it provides good energy when it's detonated;
and
19 it's very economical.
20 Q. Now, let's turn to the actual detonation of
ammonium
21 nitrate and a fuel oil. You told us you cannot do that
if you
22 have ammonium nitrate prills and a fuel oil, you need
something
23 else to actually detonate the explosive --
24 A. Yes.
25 Q. -- is that right?

7046

Paul Rydlund - Direct

1 A. Uh-huh.

2 Q. Can you tell us what "detonation" means.

3 A. "Detonation" is a very violent, very, very violent
chemical

4 reaction that takes place within the explosive material
itself.

5 There is a tremendous amount of energy released, and
the reason

6 this is is because the products of the reaction, what
comes out

7 of the reaction, the gases that comes out of the
reaction, the

8 volume of them is so much -- so much greater than the
volume of

9 the explosive material itself.

10 Q. You also said that one would need a high explosive
to

11 detonate that; is that right?

12 A. Yes.

13 Q. What is a high explosive?

14 A. Well, again, a high explosive is a material that
can be

15 initiated or detonated with simply the use of a
blasting cap or

16 a detonator.

17 Q. Did you bring a photograph to court today that
would assist

18 you in explaining what occurs inside an explosive when
it

19 detonates?

20 A. Yes, I did.

692. Is 21 Q. All right. Let me show you Government's Exhibit

22 that the photograph?

23 A. Yes, it is.

24 MS. WILKINSON: Your Honor, we'd offer
Government's

25 Exhibit 692 for demonstrative purposes.

7047

Paul Rydlund - Direct

1 MR. TIGAR: I'm sorry, your Honor. I was
looking at

2 an exhibit.

3 No objection.

4 THE COURT: 692 is received.

5 BY MS. WILKINSON:

6 Q. Now, you just told us what detonation was.

7 A. Yes.

8 Q. Can you tell us how it's depicted here in
Government's

9 Exhibit 692 and what we're looking at.

10 A. I can. What we are looking at is a stick of
dynamite

11 undergoing detonation. And this may be a little -- if
this

12 pen's not working, it may be a little more -- but there
is the

13 unreacted dynamite. This is the material that's

undergoing

14 reaction, the dynamite here.

15 Right here -- here we go -- right here; right
at the

16 edge of that cloud is the detonation front. And this
is the

17 detonation front that's consuming the material. This

18 detonation front moves very fast. It's moving through
the

19 dynamite at 16,000 feet per second or 3 miles a second.

20 Right behind the detonation front, right in
here, this

21 area -- boy, this thing -- right in here is what we
call the

22 chemical reaction zone. And this is a very violent,
energetic

23 reaction zone. This is where the dynamite is reacting
to

24 produce the products. Inside this zone, the pressures
will be

25 a million pounds per square inch. The temperatures
will be

7048

Paul Rydlund - Direct

1 6,000 degrees Fahrenheit. And it's this chemical
reaction zone

2 that drives the reaction that consumes the dynamite.

3 This cloud up in here, these are the gases
that are

These 4 the products of reaction of the chemical reaction zone.
and 5 gases are very hot, they're under high temperatures,
right 6 they're expanding very, very rapidly. This is a -- and
that 7 at the edge along here is a shock front that leads --
8 leads the gases.

picture 9 Again, and in looking at this picture, this
this thing 10 was taken with a very, very high-speed camera, that
see it 11 happened so fast, at 16,000 feet a second, you couldn't
12 with the naked eye.

13 Q. Are you familiar with the term "velocity of
detonation"?

14 A. Yes, I am.

15 Q. What does that mean?

16 A. "Velocity of detonation" is the rate at which the
17 detonation front moves through the explosive. And at
this 18 case, the velocity of detonation, this particular
dynamite, 19 which is characteristic of the way a detonation front
will move 20 through it, is 16,000 feet per second or again 3 miles
a 21 second.

22 Q. So is that the speed of the explosion inside the
material?

23 A. Yes, it is. Yes, it is.

24 Q. Do you know the range for the velocity of
detonation for

25 ammonium nitrate and fuel oil?

7049

Paul Rydlund - Direct

1 A. The velocity of detonation for ammonium nitrate and
fuel

2 oil will vary according to the size of the charges and
how well

3 they are initiated by the high-explosive booster, but
typically

4 the velocity of detonation for ammonium nitrate fuel
oil will

5 range anywhere from 16,000 feet per second to 9,000
feet per

6 second.

7 Q. What about the detonation -- or the range I guess I
should

8 say -- of the detonation velocity for ammonium nitrate
and

9 nitromethane?

10 A. Well --

11 Q. Again, sticking to prill ammonium nitrate, not
ground?

12 A. For prilled ammonium nitrate, nitromethane, where
we would

13 have nitromethane content somewhere in the neighbor of
about --

14 just in prills, in the contents of 12 percent
nitromethane,
15 let's say, we would have velocities of around 16 to
17,000 feet
16 per second.
17 Q. Now, you told us that if you mixed fuel oil with
ammonium
18 nitrate, you need about 6 percent; is that right?
19 A. Right.
20 Q. And how much nitromethane do you need if you mix
with
21 ammonium nitrate?
22 A. Optimum, the optimum amount, theoretical optimum
amount for
23 mixing ammonium nitrate to nitromethane is close to 40
percent.
24 The optimum amount. The problem with that is that
ammonium
25 nitrate won't absorb 40 percent of nitromethane, not
even as

7050

Paul Rydlund - Direct

1 prills, but even in the ground state. But the optimum
amount
2 would be 40 percent.
3 In the ground state where the material is
ground, the
4 optimum amount it could hold is somewhere around 25 to

5 30 percent.

6 Q. Let's assume you had a lower amount of nitromethane
mixed
7 in with the ammonium nitrate. Could you still cause it
to
8 detonate?

9 A. Yes.

10 Q. And what would you need to do to cause it to
detonate?

11 A. You would need a high-explosive booster to do that.

12 Q. So you could make up for that optimum range --

13 A. Oh, sure.

14 Q. -- by -- by using a significant booster?

15 A. Uh-huh.

16 Q. You just told us about what happens when a material
17 explodes, what happens inside. Are there other effects
that
18 occur outside?

19 A. Yes, there are.

20 Q. And did you review a chart before coming to court
today
21 that explains the detonation and what occurs outside
the
22 explosive?

23 A. Yes, I did.

24 Q. Look at Government's Exhibit 691, please. Is that
the
25 chart?

Paul Rydlund - Direct

1 A. This is the chart.

2 Q. Would that assist you in explaining the detonation
3 phenomenon to the jury?

4 A. Yes, it would.

5 MS. WILKINSON: Your Honor, we'd offer 691 as
a
6 demonstrative exhibit.

7 MR. TIGAR: No objection, your Honor.

8 THE COURT: Received for that purpose.

9 THE WITNESS: The detonation -- it's shown
before the
10 detonation produced these rapidly expanding gases. So
this
11 yellow in here are the high-pressure gases that are
moving out
12 into the air. The -- right here at the edge is a shock
front
13 that precedes the gases as they move out. And again,
right in
14 the area of the detonation, back in here, we're looking
at
15 pressures of a million pounds per square inch and 6,000
degrees
16 Fahrenheit.

17 Now, the supersonic shock front and the gases
as they
18 move out, it's moving out at about 13,000 miles per

hour, and

19 that kind of gives you the speed, for instance, of how
the
20 shock front moves out. Now, what we feel or what we
see is
21 this -- as these gases are moving out, consumed with
this shock
22 front, it's almost like a giant tidal wave that just
smashes
23 and shatters objects in its path. And so as it goes
out, it
24 moves all the air out and is shattering and smashing
these
25 objects in its path. But just like the tidal wave, at
some

7052

Paul Rydlund - Direct

1 point in time, it moves so far out and it expends all
its
2 energy in moving all this air out that it loses
strength and
3 eventually the pressure behind -- the pressure of these
gases
4 return to normal atmospheric pressure.

5 BY MS. WILKINSON:

6 Q. And did you create another chart to explain the
time phases
7 that you've just described?

8 A. Yes, I did.

9 Q. Let me show you Government's Exhibit 693. Does
that show

10 the time phases of the blast wave?

11 A. Yes, it does.

12 MS. WILKINSON: Your Honor, we'd offer 693 for
13 demonstrative purposes.

14 MR. TIGAR: No objection, your Honor.

15 THE COURT: Received.

16 THE WITNESS: This illustrates the strength or
the

17 pressure of this blast wave with time. Now, on the
vertical

18 ordinate, we have pressure, and on the horizontal
ordinate is

19 time. Now, as soon as the detonation goes off, we have
this

20 shock front right up here at the front, the blast wave,
and

21 this shock front provides like a real hammering or
striking

22 effect to anything in its path. And then right behind
it is

23 the compressive shock wave due to the gases that are
coming

24 out. And these gases are like they put on a very
violent push

25 to any object in its path.

energy
pressure
atmospheric
of this
like a
violently
pressure
pulling
hits an
strike,
back and
that causes

1 But again as we move out and move out and the
2 is expended in moving the air out, the strength of the
3 of the shock wave declines until it reaches normal
4 pressure. But now what's happened is we've moved all
5 air out so there's nothing in here. It's a void. It's
6 vacuum. So now what happens is that the air is
7 sucked back into this void, and we have a negative
8 wave or a suction wave, and instead of the striking and
9 hammering and the pushing, now we have kind of like the
10 of the objects so that what you have when a blast wave
11 object, it's kind of like a dynamic one, two punch; you
12 hammer, and then you violently push and you come right
13 then you pull on the object. And it's that pulling
14 the windows to shatter and the walls to topple.

15 BY MS. WILKINSON:

16 Q. And would a blast have that effect on any object in
close
17 proximity?

18 A. Oh, yes, it would.

19 Q. Now that you've told us about how detonation
occurs, can
20 you tell us a little bit about what you need with
ammonium
21 nitrate and fuel oil to actually make it detonate?

22 A. Yes.

23 Q. Okay. Let me show you Government's Exhibit 689.
Is this a
24 chart that you've reviewed before coming to court that
helps
25 you explain that? Can you see it? Hold on.

7054

Paul Rydlund - Direct

1 A. Yes.

2 MS. WILKINSON: Your Honor, we'd offer 689 for
3 demonstrative purposes.

4 MR. TIGAR: No objection, your Honor.

5 THE COURT: You may -- it's received, you may
use it.

6 BY MS. WILKINSON:

7 Q. Mr. Rydlund, this says four-step explosive train.
Can you
8 tell us what that means.

9 A. Yes, it means -- this four-step explosive train
tells us --

10 it's a diagram that tells us what it's going to take to
11 detonate, explode an ANFO charge. Our main charge is
ANFO.

12 This is the objective. We want -- we want to be able
to
13 detonate this charge. But because it's not a high
explosive,
14 it requires a high-explosive booster, such as dynamite
or TNT
15 or other products that we mentioned before. And so
over here,
16 we have the high-explosive booster that we're going to
need to
17 detonate that in turn will have to detonate the ANFO.
18 Now, to initiate the high-explosive booster,
we're
19 going to need a detonator, like a blasting cap. And
that's
20 going to shoot the high explosive. And to initiate the
21 detonator, we're going to need some type of energy to
initiate
22 the detonator.
23 Q. Let's start with No. 4 in the ANFO main charge, I
think you
24 called it. On this diagram, could that be any type of
25 ammonium -- could that be ammonium nitrate mixed with
any type

7055

Paul Rydlund - Direct

1 of fuel --
2 A. It could be.

3 Q. -- that you discussed previously?

4 A. It could be, as long as it was a blasting agent.

5 Q. Okay. And if you had a large amount of ammonium
nitrate

6 and fuel, would you need some type of container?

7 A. Only to --

8 Q. To hold it.

9 A. Yes, to configure the charge, yes, uh-huh.

10 Q. And now let's turn -- I think you mentioned that
you need

11 some kind of detonator; is that right?

12 A. We would need a high-explosive booster and a
detonator,

13 yes, you're right.

14 Q. Now, look at Government's Exhibit 685.

15 A. Yes.

16 Q. Does this depict blasting cap?

17 A. Yes, it does, it depicts electric blasting cap.

18 MS. WILKINSON: Your Honor, we'd move in 685
for

19 demonstrative purposes only.

20 MR. TIGAR: No objection.

21 THE COURT: Received.

22 THE WITNESS: An electric blasting cap is a --
is a

23 cylinder with anywhere from about 1 to 3 inches long
and about

24 a quarter of an inch in diameter. The objective of the

25 blasting cap is to detonate the base charge, PETN,
that's in

7056

Paul Rydlund - Direct

1 the cap.

2 BY MS. WILKINSON:

3 Q. What is PETN?

4 A. Pentaerythritol tetranitrate.

5 Q. Easy for you to say, but what it is?

6 A. It's a high explosive.

7 Q. And that's the high explosive you need to detonate
the

8 booster --

9 A. Yes.

10 Q. -- and the main --

11 A. That is correct, yes.

12 Q. Now, this says electric blasting cap. What does
that mean?

13 A. Okay. Here's how we make -- here -- the objective
to

14 detonate the high-explosive base charge. Here's how we
go

15 about doing it. We have a pair of electric wires are
connected

16 to the cap. And electrical current is fed through
these

17 electric wires in the cap, and they pass through a
small --

18 they pass through a small bridge wire, and they pass
through a
19 small bridge wire.
20 Now, this bridge wire is like the filament in
a light
21 bulb so that as electricity goes through, it gets very
hot and
22 it glows. And so as this thing -- as this bridge wire
glows
23 and gets very hot, it ignites a very sensitive flash
charge in
24 the cap. And this flash charge in turn goes around and
25 initiates a less sensitive but more powerful
intermediate

7057

Paul Rydlund - Direct

1 charge, which is right here and right there.
2 And then the intermediate charge is strong
enough to
3 detonate the PETN high-explosive charge in the cap.
4 Q. So Mr. Rydlund, within this small blasting cap, you
have a
5 series of little explosions --
6 A. There are three different explosives materials in
the cap,
7 each one to progressively detonate to explode the PETN-
based
8 charge, yes.

9 Q. Is there another system other than an electric
blasting cap

10 that could be used to detonate a explosive?

11 A. There is another system other than electricity and
these

12 are non-electric systems as well. There are non-
electric

13 detonators as well.

14 Q. Okay. Let's look at Government's Exhibit 686. Is
this a

15 diagram of a non-electric blasting cap?

16 A. Yes, it is.

17 MS. WILKINSON: Your Honor, we'd offer 686 for
18 demonstrative purposes only.

19 MR. TIGAR: No objection, your Honor.

20 THE COURT: Received.

21 BY MS. WILKINSON:

22 Q. Mr. Rydlund, briefly, could you tell the jury how a
23 non-electric blasting cap works.

24 A. Non-electric blasting cap, again I have a cylinder,
we have

25 one to 3 inches long and quarter inch diameter to the
cap. And

7058

Paul Rydlund - Direct

1 again the idea is to detonate the base charge of the
PETN in

than 2 the cap, which is the high-explosive material. Rather
time 3 using electricity as we did in the electric cap, this
inserted in 4 we're going to use safety fuse, and safety fuse is
5 this portion of the cap. Okay.

will hit 6 The spit of the flame from the safety fuse

ignition or 7 this ignition or flash charge, will ignite this

sensitive but 8 flash charge, which in turn will initiate a less

the 9 more forceful intermediate charge, which will detonate

10 PETN-based charge.

fuses; 11 Q. So for this system to operate, you need some safety

12 is that correct?

13 A. Yes, you do.

diagram 14 Q. Please look at Government's Exhibit 687. Is that a

15 of safety fuses?

16 A. Yes, it is.

again for 17 MS. WILKINSON: Your Honor, we'd offer 687,

18 demonstrative purposes.

19 MR. TIGAR: No objection, your Honor.

20 THE COURT: Received.

It's 21 THE WITNESS: Safety fuse looks like a rope.

it looks 22 sold in spools of about a thousand meter a spool, and
the 23 just like a rope. On the inside of it; right here in
black spot; 24 middle; right -- anyway; right in the middle of the
Okay. 25 right in the middle is an inner core of black powder.

7059

Paul Rydlund - Direct

1 That's about a fourth of a gram per foot of black
powder that's
accepts 2 within the core of the safety fuse. And that's what
some rayon 3 the flame. Other than that, we have the -- we have
a wax 4 and textile wrappings about the core, and then there's
5 finish on the surface of the rope.
and to 6 But the idea of the safety fuse is to go ahead
safety 7 ignite the inner core of black powder. Now, unique to
8 fuse is that this inner core of black powder is a very
around and 9 controlled burning rate. And so if you want to turn
the safety 10 say, okay, I want, I want so many -- I want to light
many 11 fuse here and I want the flame to come out here in so

length of 12 minutes, then you can determine and calculate what
light 13 fuse you need. And conversely, if you decide I want to
retreat to a 14 the safety fuse and then I need so many minutes to
safety 15 place of safety, then you can determine the lengths of
16 fuse that you would need.

17 BY MS. WILKINSON:

18 Q. Is commercial safety fuse easy to obtain?

19 A. Yes, it is.

wanted to 20 Q. Now, let's say you had a couple minutes that you
used 21 take to get away from the scene of an explosion and you
you're 22 safety fuse and this non-electric blasting cap that
safety 23 talking about. If you cut the sufficient amount of
how would 24 fuse, how would you actually detonate the explosion,
describing? 25 you start the four-train process you've been

7060

Paul Rydlund - Direct

fuse, safety 1 A. Well, again, in the -- again to do this safety

2 fuse burns at a rate of about, for instance, in this

particular

of 3 case, it burns at a rate of 2 minutes for every 3 feet

would 4 safety fuse. So if you wanted 10 minutes of time, you

5 use 15 feet of safety fuse.

6 Q. And how would you light the safety fuse?

match. Or 7 A. Okay. Safety fuse can be lit with a flame, a

provide 8 there are other commercial products available that will

9 a flame to light the safety fuse.

including 10 Q. So if you had all the components you described,

would 11 the safety fuse, to actually detonate the bomb, all you

12 need was a flame?

13 A. Yes.

you're 14 Q. Now, are there other types of initiators that

15 familiar with?

yes. 16 A. There are other types of non-electric initiators,

Government's 17 Q. And let me show you what's been marked at

18 Exhibit 141. You recognize that?

19 A. Yes, I do.

depicted in 20 Q. And are you familiar with the system that's

21 Government's Exhibit 141?

22 A. Yes, I am.

23 MS. WILKINSON: Your Honor, we offer 141 for
24 demonstrative purposes only at this time.

25 MR. TIGAR: Yes, your Honor, no objection.

7061

Paul Rydlund - Direct

1 THE COURT: All right. 141 received for such
2 purposes.

3 BY MS. WILKINSON:

4 Q. Mr. Rydlund, what is the name of this initiating
system?

5 A. Okay. This particular system is a non-electric
system, and

6 it's a shock tube system, and this particular -- is
called a

7 shock tube system and this particular product is called
a

8 Primadet.

9 Q. Let's start with the orange tubing. What is that?

10 A. The orange tubing is the shock tubing.

11 Q. What's shock tubing?

12 A. Okay. And shock tubing is that instead of a inner
core of

13 black powder where flame burns through it, this
particular

14 tubing is dusted -- and it's hollow tubing. It's
dusted with

15 a high explosive HMX, but it's just dusted. 1 pound of
HMX
16 goes into a hundred thousand feet of tubing. So it's
just
17 dusted.

18 So you introduce a shock at one end of the
tubing, and

19 then the shock travels through the tubing until it
comes out

20 the other end into the blasting cap. And it's just
like a dust

21 explosion. And so it travels at about -- this shock
travels in

22 the tubing at about 6500 feet per second.

23 Q. What's at the end of that orange tubing?

24 A. At the end of it -- at the end of an orange tube is
a

25 non-electric blasting cap, just like we looked in the
fuse cap,

7062

Paul Rydlund - Direct

1 only instead of having the spit of the flame ignite the
flash

2 charge, the shock from the tubing will initiate the

3 intermediate charge, which in turn will detonate the
PETN-based

4 charge.

5 Q. Okay. Take a look at this white piece of paper
that's

6 around the shock tube. What do you call that? It has
the
7 markings on it that says Primadet. What is that
called?
8 A. The system for the Primadet where it says 60 feet?
9 Q. No, I'm talking about the sleeve, I guess. Is that
called
10 a sleeve?
11 A. Sleeve, yes.
12 Q. Holds the Primadet?
13 A. Yes, the extension -- it's wrapped like an
extension cord,
14 and the sleeve is the mechanism to hold it so it
doesn't become
15 all tangled up.
16 Q. And is that how it's commonly sold?
17 A. Yes, uh-huh.
18 Q. Now, it says "Primadet" there on the left, and it
says
19 "60 feet" on the right. What does that indicate?
20 A. 60 feet indicates the lengths of tubing.
21 Q. You mean the orange shock tube is 60 feet long?
22 A. The orange stock tube is 60 feet long.
23 Q. Do you see on the side of these tubes are little
tags that
24 say eight?
25 A. The tags that say eight indicate that the delay
mechanism

Paul Rydlund - Direct

1 or the delay that is built into this system, into this
2 particular cap. And this eight refers to a delay of
3 200 milliseconds. And the reason that in some caps
they would
4 put a delay element in so that we can -- so that when
we shoot
5 a whole bunch of caps, a whole series of caps at the
same time,
6 every one of them wouldn't go off at the same time in a
7 commercial blast. And by sequencing the times as when
the
8 shots go off, we can produce a better energy absorption
into
9 the rock and better breakage and less violence.

10 Q. Now, tell us why someone would buy Primadet for
commercial
11 purposes.

12 A. For commercial purposes, well, in this particular
case,
13 it's a 60-foot Primadet. That means it goes into a
blast hole
14 that's almost 60 feet deep, and the cap would go down
at the
15 bottom and be placed in a high-explosive booster. So
this
16 would be used where you would have a hole that's close
to
17 60-foot deep.

18 Q. Can you get Primadet that's shorter or longer?
19 A. You can.
20 Q. Would there be any reason to buy 60-foot Primadet
to blow a
21 stump out?
22 A. No.
23 Q. Why is that?
24 A. Well, the -- for blowing the stump, you would -- I
mean the
25 60-foot lead on the Primadet, it wouldn't offer you any
ability

7064

Paul Rydlund - Direct

1 to go to safety. You'd only be 60 feet away from the
stump.
2 And that's -- that's too close. You certainly wouldn't
want to
3 do that.
4 And because it shoots at 6500 feet per second
through
5 the tubing, I mean you have no -- I mean, you're there,
boom,
6 and that's it. So it doesn't offer you enough distance
to be
7 able to do that. And if you were going to use it, you
would
8 have to add, hook something onto the end of the tubing
to be
9 able to get far enough away.

a hole 10 Q. Okay. But normally you use this tubing to go down

11 60 feet?

12 A. It's strictly a commercial product, and frankly
it's used

13 for blast holes that are almost 60 feet deep.

14 Q. What about a time delay of eight, would there be
any reason

15 for someone who wanted to blow a stump out to use a
time-delay

16 system?

17 A. No. No.

18 Q. Why is that?

19 A. Because basically you're looking to instantly shoot
the

20 powder under the stump.

21 Q. Now, let's go to the last part of the train and go
back to

22 Government's Exhibit 689.

23 A. Yes.

24 Q. You said that if we have a safety fuse and some
kind of

25 detonator, which I assume are those blasting caps you
talked

7065

Paul Rydlund - Direct

1 about; right?

2 A. Correct.

3 Q. And we have a main charge of ammonium nitrate and
some
4 fuel, all we need is a booster; is that right?

5 A. We need a high-explosive booster, that's correct.

6 Q. What type of materials are used as high-explosive
boosters?

7 A. You can use cast TNT, you could use cast Pentalite,
you
8 could use cast composition B. You can use dynamite.

9 use high-explosive water gels such as Tovex, Deta-Gel,
to name
10 a couple. There are high-explosive emulsions made.

Any
11 product that will reliably detonate with a blasting cap
is a
12 high explosive.

13 Q. What about detonating cord?

14 A. Detonating cord would be as well.

15 Q. And can you look at Government's Exhibit 690.

16 A. Yes.

17 Q. Is this a picture of detonating cord?

18 A. Yes, it is.

19 MS. WILKINSON: Your Honor, we'd offer 690 as
a
20 demonstrative exhibit.

21 MR. TIGAR: No objection, your Honor.

22 THE COURT: Received.

23 THE WITNESS: Okay.

24 BY MS. WILKINSON:

25 Q. Tell us what detonating cord is.

7066

Paul Rydlund - Direct

1 A. First of all, detonating cord, again, is like a big
old

2 rope, just like a big old clothesline. And it's about
-- and

3 it's sold in spools of 2,000 feet to 1,000 feet.
That's what

4 it looks like. Inside the detonating cord, inside the
inner

5 core of the explosive, is PETN. And depending upon the
grade

6 of detonating cord you buy, the loading of the PETN
inside the

7 detonating cord can be anywhere from 50 grains per foot
to

8 about 7 1/2 grains per foot. So there are a variety in
there.

9 Now, the PETN -- and then covering the PETN is
a

10 series of covering yarns, plastic jackets, textile
yarns, again

11 for protection and handling. PETN within the
detonating cord

12 itself will detonate at 27,000 feet per second, so it's
pretty

13 fast.

14 Q. Now, it's not safety fuse; right?
15 A. No. No. No. No. Remember the safety fuse was --
safety
16 fuse was black powder, it was lit with a flame that we
had 3
17 feet or, you know, we had like 3 feet for every 2
minutes.

18 So in this particular case, no, this is a high
19 explosive; and this detonates at 27,000 feet per
second.

20 Q. Now, you also mentioned a product called Tovex.
Are you
21 familiar with that product?

22 A. Yes, I am.

23 Q. What is that product?

24 A. It is a water gel that is sensitive to blasting cap
that
25 will detonate, reliably detonate with just a blasting
cap.

7067

Paul Rydlund - Direct

1 Q. Now, did you help prepare a chart that showed
possible bomb
2 components that you would need to make a ammonium
nitrate and
3 fuel oil device?

4 A. Yes, I did.

5 Q. Let me show you Government's Exhibit 1298. Do you

6 recognize that?

7 A. Yes.

8 MS. WILKINSON: Your Honor, we'd offer 1298
for
9 demonstrative purposes.

10 MR. TIGAR: No objection, your Honor.

11 THE COURT: Received.

12 THE WITNESS: And this basically is a list of
13 materials, list that we would need. We would need an
oxidizer
14 such as ammonium nitrate. We would need sensitizer
such as
15 fuel oil or nitromethane. We would need the high-
explosive
16 boosters, such as dynamite, Tovex, TNT, Pentalite. We
would
17 need the detonators, whether they be non-electric or
electric.

18 And we would need a container to configure the charge.

19 BY MS. WILKINSON:

20 Q. Once you had obtained all these components, is
there a
21 specific recipe you have to follow for building this
device?

22 A. Well, we have to go ahead -- as far as a specific
recipe,
23 we have to configure the situation just like we showed
the
24 four-step explosive train.

25 Q. But can you use different combinations of explosive

to

7068

Paul Rydlund - Direct

1 detonate ammonium nitrate and fuel oil?

2 A. Yes, I was just using those as examples. There are
a
3 number of them that could be used.

4 Q. And could you combine -- could you have dynamite
and Tovex
5 as your booster?

6 A. Yes, I could. I could take dynamite, Tovex,
detonating
7 cord -- for instance, I could take Tovex or dynamite,
wrap
8 detonating cord around it, and initiate the detonating
cord
9 with a blasting cap, either electric or non-electric,
shoot the
10 detonating cord, shoot the Tovex. A number of
combinations are
11 possible.

12 Q. Once you have these components, how difficult is it
to
13 build an ammonium nitrate and fuel oil device?

14 A. It's very simple.

15 Q. And how difficult or easy is it to obtain these
components?

16 A. Many of these components -- oxidizers, ammonium
nitrate,

17 the materials that are not explosives themselves, you
know,
18 could be purchased. Ammonium nitrate can be purchased
from
19 fertilizer locations, co-ops, places, feed and seed
stores,
20 garden supply stores. I mean you could get ammonium
nitrate
21 from there.

22 Fuel oil can be purchased from the local fuel
-- you
23 know, fuel oil dealer or distributor.

24 Nitromethane may be obtained from places --
there are
25 distributors that sell nitromethane -- be obtained from
there.

7069

Paul Rydlund - Direct

1 It might be obtained from a chemical supply house.
2 Containers can be purchased almost anywhere --
which
3 brings us back only to the high explosives and to the
--
4 high-explosive materials and to the blasting caps or
the
5 detonators. Those are high explosives, and the
commerce of
6 those articles are controlled by the ATF. And what
that

7 requires is that you -- if you were to buy those
interstate,
8 you simply go -- you could go to an explosive
distributor, you
9 fill out a form that basically gives -- you show them a
10 driver's license, Social Security number, and then you
fill out
11 a form indicating you've never been convicted of a
felony or
12 drugs or this; and then those, according to the ATF
rules, can
13 be sold to you and you could purchase them.

14 Now, in some states -- there are about 27
states which
15 have their rules in addition to the ATF rules as well.
But in
16 most of these states, their rules are no more stringent
than
17 the ATF rules.

18 Q. Now, some of these items, do you need a blaster's
license
19 to purchase these items?

20 A. Depending upon the state. Because what happens in
the
21 states -- for instance, some states, the fire marshal
has
22 control, department of labor has control, department of
mines
23 and minerals has control. So, no, there are locations
you
24 could purchase them where you would not have to have a
25 blaster's -- a blaster's license.

7070

Paul Rydlund - Direct

1 Q. Can you tell the jury how much it costs to buy a
bag of
2 ammonium nitrate prills?
3 A. Oh, 50-pound bag of ammonium nitrate prills would
cost
4 about \$5.
5 Q. And a gallon of fuel oil?
6 A. Oh, probably diesel fuel oil, selling for, what, 80
cents,
7 a dollar a gallon, something like that.
8 Q. Now, if you had a large quantity of ammonium
nitrate and
9 the proper amount of fuel oil, you said to us it
wouldn't be
10 difficult to mix those two -- is that right -- as long
as you
11 had some kind of container?
12 A. Uh-huh.
13 Q. How difficult would it be to boost that with a high
14 explosive?
15 A. Well, you could take the high explosive, you could
either
16 set it in the material, or you could set it adjacent to
the
17 material.

18 Q. Let's say you had a series of barrels --
19 A. No. By "adjacent," I mean like touching.
Touching.
20 Q. Let's assume you had a series of barrels: Would
you need
21 to boost each barrel of ammonium nitrate and fuel oil
before
22 you could --
23 A. No, the detonation would be sympathetic. If you
detonated
24 one barrel, the ANFO charge on that basis is strong
enough to
25 detonate all of the other ones. I mean, basically what
you get

7071

Paul Rydlund - Direct

1 is just -- even with ten barrels, you just got one big,
you
2 know, you got one charge times ten barrels.
3 Q. Now, what if you wanted to transport this device,
if you
4 had the ammonium nitrate and fuel oil in the
containers, if you
5 kept the high explosive separate from them: Would it
be safe
6 to transport the device?
7 A. Yes, it would be. Ammonium nitrate/fuel oil
mixtures are
8 transported daily.

9 talking about

Q. Now, if you had all the components that we're

10 and you had 4 to 6,000 pounds of ammonium nitrate, how
11 difficult would it be to construct an explosive device?

12 A. It would not be -- it would not be difficult.

13 Q. Even with that quantity of ammonium nitrate?

14 A. Even with that quantity. It would take some time,
but one

15 person could do it.

16 Q. Could two people do it?

17 A. Two people could do it.

18 Q. And could more than two people do it?

19 A. Yes.

20 Q. Now, before coming to court today, did we ask you
to review

21 portions of a book called the Hunter?

22 A. Yes.

23 Q. Did you review the entire book?

24 A. Yes.

25 Q. I'm going to show you Government's Exhibit 158B,
from page

7072

Paul Rydlund - Direct

1 176 of the Hunter. Do you recognize that?

2 A. Yes.

3 Q. Did you review that before coming to court today?

4 A. Yes, I did.

5 MS. WILKINSON: Your Honor, we'd offer 158B
for
6 demonstrative purposes only.

7 MR. TIGAR: We object, your Honor. He can
read it
8 into the record. We object to that form.

9 THE COURT: It's just for demonstrative
purposes. It
10 won't go into the evidence to go to the jury. So it
will just
11 be used at this point. So you may do it.

12 MS. WILKINSON: Thank you, your Honor.

13 BY MS. WILKINSON:

14 Q. Now, Mr. Rydlund, it says here that someone bought
15 bags
15 of fertilizer-grade ammonium nitrate; is that right?

16 A. Yes.

17 MS. WILKINSON: I'm sorry. Your Honor, the
screen is
18 not on for the jury. Thank you.

19 BY MS. WILKINSON:

20 Q. And can you read after that, where he said he would
have
21 bought more.

22 A. Yes.

23 Q. Go ahead. Can you read it out loud.

24 A. You wanted me to read it. He would have bought
more, but

in one 25 1500 pounds was as much as he estimated he could manage

7073

Paul Rydlund - Direct

1 load without damaging his truck.

2 I assume he had a three-quarter-ton pickup.

3 "After unloading that in the garage, he
stopped at a

4 hardware and farm-supply store and bought two 50-pound
cases of

5 Tovex cartridges [which would be the high-explosive
water gels

6 that I had talked about] and a box of electric
detonators.

7 Tovex was an aluminized water-gel dynamite commonly
used by

8 farmers and contractors for blasting stumps and
boulders."

9 Q. Would all of those components work to -- if you had
those,

10 to make an improvised explosive device of ammonium
nitrate?

11 A. Yes, it would.

12 Q. Now, look at 158C --

13 MS. WILKINSON: Your Honor, I'd offer this
whole

14 series in as demonstrative exhibits, 158C, D, E, F -- E
and F.

15 THE COURT: Just being used for that

testimony.

16 MS. WILKINSON: That's all.

17 MR. TIGAR: Our objection is noted, your
Honor.

18 THE COURT: Yes. The objection is overruled.
You may
19 proceed.

20 BY MS. WILKINSON:

21 Q. Okay. Could you read this portion of the Hunter,
please,

22 from page 179.

23 A. "In his rented garage he removed several five-
gallon cans

24 of wallpaper adhesive and dozens of rolls of wallpaper
from the

25 back of the van, replaced them with four 40-gallon
plastic

7074

Paul Rydlund - Direct

1 trash barrels he had purchased earlier in the day, and
spent

2 the next three hours emptying sacks of ammonium nitrate
into

3 the barrels and stirring a fuel-oil sensitizer into the
white

4 pellets. The barrels were closely grouped around one
of his

5 50-pound cases of Tovex. It was after four o'clock in
the

6 morning when he finally was ready to place a time-delay
7 detonator in the Tovex."

8 Q. Mr. Rydlund, if someone followed these instructions
and

9 mixed ammonium nitrate and fuel oil in plastic trash
barrels

10 and surrounded them -- or they surrounded a box of
Tovex, would

11 this be sufficient to, in part, to construct an
ammonium

12 nitrate improvised explosive device?

13 A. Yes, it would.

14 Q. Let me show you Government's Exhibit 158B. And we
don't

15 need to read this into the record but you may recall
discusses

16 driving a truck full of those components up close to a
17 building. Do you recall reading that?

18 A. Yes.

19 Q. And let me show you Government's Exhibit 158D. Do
you

20 recall this portion? Describes driving up --

21 A. Yes.

22 Q. -- closely to tightly curtained windows to George's
rear

23 wall. Can you read that next sentence where it says,
"He

24 leaned back."

25 A. "He leaned back into the cargo area just long
enough to set

Paul Rydlund - Direct

1 the detonator to five minutes and started counting
down."

2 Q. Now, would that have given -- if someone followed
the

3 instructions that we've seen so far and followed these
4 instructions, would that have given someone sufficient
time to

5 get away from the seat of the blast?

6 A. Yes.

7 Q. Now, look at Government's Exhibit 158E. Did you
read that

8 before coming to court today?

9 A. Yes, I did.

10 Q. And that describes the damage that was caused and
the

11 deaths that were caused due to this improvised
explosive

12 device; is that right?

13 A. That's correct.

14 Q. And is consistent with your understanding of the
detonation

15 velocity and damage patterns of an improvised ammonium
nitrate

16 device?

17 A. Of the detonation velocity of ammonium nitrate,
blasting

18 agents, and of the quantities that were discussed
earlier in

19 the book, yes. That is correct.

20 MS. WILKINSON: We have no further questions,
your

21 Honor.

22 THE COURT: I think we'll take the recess
before

23 cross. I assume you have substantial cross-
examination.

24 MR. TIGAR: Lengthy, anyway. I hope it's
substantial,

25 your Honor.

7076

1 THE COURT: All right.

2 We'll take a recess at this time. You may
step down,

3 Mr. Rydlund.

4 THE WITNESS: Thank you.

5 Members of the jury, we will take our 20-
minute break

6 at this time with the usual cautions, of course, of
avoiding

7 discussion of anything connected with the case,
anything you've

8 heard in connection with the case, keeping open minds.

9 And we'll resume this testimony in 20 minutes.
You're

10 excused.

11 (Jury out at 2:57 p.m.)

12 THE COURT: 20 minutes.

13 (Recess at 2:58 p.m.)

14 (Reconvened at 3:21 p.m.)

15 THE COURT: Be seated, please.

16 Some jurors have asked to change the lighting
there,
17 so that's why we turned some of those lights. They
thought
18 there was a glare.

19 MR. WOODS: Keep them awake.

20 (Jury in at 3:22 p.m.)

21 THE COURT: Is the lighting better for you
now?

22 I'm not going to put it to a vote. We'll try
it this
23 way.

24 Bring in the witness. Please resume the
stand.

25 Mr. Tigar, you may inquire.

7077

Paul Rydlund – Cross

1 CROSS-EXAMINATION

2 BY MR. TIGAR:

3 Q. Good afternoon, Mr. Rydlund.

4 A. Good afternoon.

5 Q. My name is Michael Tigar. I'm one of the lawyers
that's

6 appointed to help out Terry Nichols; and you are
familiar with

7 cross-examination, are you not?

8 A. Yes.

9 Q. You have been cross-examined before?

10 A. Yes, I have.

11 Q. And sometimes at home at your house, you hear about
it,

12 don't you?

13 A. Yes, I do.

14 Q. And that's because your wife's profession's --
she's a

15 public defender in St. Louis, isn't she?

16 A. Yes, she is.

17 Q. Would you take -- could we start -- please, would
you pick

18 up that bag that you had the Government's Exhibit 68;
and can

19 you --

20 MR. TIGAR: May I approach the witness, your
Honor?

21 THE COURT: You may.

22 BY MR. TIGAR:

23 Q. Down here inside the bag are -- looks like little
stipples,

24 little white marks on there. Is that from the coating

of the

25 prills that left that deposit on there?

7078

Paul Rydlund - Cross

1 A. Let me --

2 Q. If you could just look carefully.

3 A. Let me lay it like this and I'll -- these are some
prills

4 down here, but you're not talking about these.

5 Q. Right, okay -- well, let's stop and talk about that
for a

6 minute. So one thing that's left in are some of the
prills are

7 actually inside there; right?

8 A. Yes.

9 Q. Did you shake that bag out, or was that provided to
you

10 empty?

11 A. This was provided to me like this.

12 Q. But the prills have this tendency to -- to kind of
stick to

13 things; right?

14 A. With enough humidity, yeah, sure.

15 Q. And now we're going to ask about this. Can we just
turn it

16 inside out for a minute?

17 See the -- kind of the white stippling on

there?

18 A. Uh-huh.

19 Q. Can you tell me what's that from? That is from the
coating

20 that you put on at the factory?

21 A. I can't tell you whether this is from the coating
or this

22 is from the prills themselves.

23 Q. All right. So you just don't know what the
chemical

24 composition of those things is without further looking?

25 A. Of those stipples?

7079

Paul Rydlund - Cross

1 Q. Of those stipple things.

2 A. I couldn't attest. No, I couldn't.

3 Q. Okay. That's all I want to ask about that. We'll
put it

4 out of your way, sir.

5 A. Thank you.

6 Q. Now, I put up on the board here --

7 MR. TIGAR: And the Government has agreed to
let me do

8 this, your Honor --

9 BY MR. TIGAR:

10 Q. I've written a formula here, if we could show that.

11 A. Uh-huh.

12 MR. TIGAR: And if we could exhibit that to
the jury,

13 also. I think the Government is agreed.

14 MS. WILKINSON: No objection.

15 THE COURT: All right.

16 BY MR. TIGAR:

17 Q. Have I got this right?

18 A. Yes.

19 Q. That is ammonium nitrate?

20 A. That is the chemical formula for ammonium nitrate.

21 Q. So that's one molecule of ammonium nitrate.
Correct? I

22 mean, if you -- and so ammonium nitrate is made of the
NH4

23 part. What's that?

24 A. Well, it's nitrogen, hydrogen. Uh-huh.

25 Q. So that's one of nitrogen and 4 hydrogen. Right?

7080

Paul Rydlund - Cross

1 A. Yes.

2 Q. And the NH4 together: Would that have a name?

3 A. It's an ammonia radical. It would be an ammonium
radical,

4 I guess, yes.

5 Q. And the NO3?

6 A. Is a nitrate radical.

7 Q. So that's nitrogen and three parts oxygen; right?

8 A. That's correct.

9 Q. Okay. Now, one of the uses of ammonium nitrate is
as

10 fertilizer. Correct?

11 A. That's correct.

12 Q. And in its use as fertilizer, it goes by the name
"34-0-0";

13 correct?

14 A. Yes.

15 Q. And so if I --

16 MR. TIGAR: If I may display that, your Honor.

17 THE COURT: Yes.

18 MR. TIGAR: Yes, please, Ms. Hasfjord.

19 BY MR. TIGAR:

20 Q. So on a bag of ammonium nitrate, I would find a 34
and a

21 zero and a zero. Correct?

22 A. That's correct.

23 Q. So that if I'm a farmer that wants to use this or a
24 gardener, a home gardener, I would know that it's 34
percent

25 what, nitrogen?

Paul Rydlund – Cross

1 A. Nitrogen.

2 Q. And then zero percent?

3 A. Phosphorus.

4 Q. Phosphorus. And zero percent potassium?

5 A. Potassium.

Is that
6 Q. All right. And the chemical symbols are N, P, K.
7 right?

8 A. That's correct.

-- in
9 Q. Now, you mentioned that there is a big plant near
10 Joplin, Missouri, that makes ammonium nitrate. Is that
right?

11 A. Yes.

12 Q. And is that in the center of an agricultural area?

13 A. It's close to -- I can't say that it's in the
center of the

14 agricultural area, but it's close to an agricultural
area near

15 Springfield, Missouri.

16 Q. And that's owned by ICI; correct?

17 A. Yes.

18 Q. And at that plant, do they make just the low-
density

19 ammonium nitrate prills, or do they also make the high-
density

20 ammonium nitrate prills?

21 A. They make the low-density ammonium nitrate prills.

22 Q. Now, when they sell the ammonium nitrate out at
that plant,

23 do they sell it in bags as well as bulk?

24 A. Yes.

25 Q. And when they sell it to farmers in a bag such as
the one

7082

Paul Rydlund - Cross

1 you're looking at here, do they label it low-density,
high-

2 density?

3 A. Two things. I don't believe ICI sells it directly
to

4 farmers. And again, I'm not in the agricultural
marketing part

5 of it, so I couldn't speak to it; but to my knowledge,
they

6 don't -- they don't sell it to farmers.

7 However, they bag it and they do put it in
their bags.

8 And it is not -- in the ones that I have seen and am
familiar

9 with do not say low-density ammonium nitrate nor high-
density

10 ammonium nitrate.

11 Q. Okay. That was the question.

12 A. I'm sorry.

13 Q. We understand. The farmer doesn't drive up to the

big ICI

truck"; 14 plants and say, "I'm planting today, just load my

15 right? They go to a store and buy it. Correct?

16 A. Yeah. And again, I don't believe ICI sold directly
to the

17 distributors, either.

18 Q. But the bag we saw today, for example, is a bag of
ammonium

19 nitrate prills manufactured by ICI?

20 A. That's correct.

21 Q. And that bag, at any rate, did not have a label as
to

22 whether it's low-density or high-density. Correct?

23 A. That is correct. That is correct.

24 Q. So that if I were a person who particularly wanted
25 low-density ammonium nitrate prills and I went to a
farm store

7083

Paul Rydlund - Cross

1 where ammonium nitrate was sold in bags, there is no
way I

2 could tell by looking at the bags whether it was low-
density or

3 high-density. Right?

4 A. That is correct.

5 Q. Okay. Now, the difference between low-density and
high-

low -- 6 density -- I mean, I understand one is high and one is

7 the low-density has a specific gravity of about what?

8 A. It has a specific gravity of about .80.

finger in it 9 Q. .80. And this is a cup of water. If I put my

one -- 10 and let a drop fall, the drop that -- there is another

nitrate 11 that falls off there is about the size of an ammonium

12 prill -- right -- more or less?

13 A. Again, if it's a droplet. I understand.

14 Q. I'm not trying to be specific here.

15 A. I understand, sure.

size of 16 Q. Now, if I had some ammonium nitrate that was the

droplet 17 low-density ammonium nitrate prill, the size of the

what the 18 that fell from my finger, it would weigh 80 percent of

19 water droplet does?

20 A. That's correct.

21 Q. Okay. And that's what the --

22 A. With the same volume, you're right.

has a 23 Q. Okay. Now, a high-density ammonium nitrate prill

24 specific gravity of what?

specific 25 A. Well, high-density ammonium nitrate prills have a

7084

Paul Rydlund - Cross

by -- so 1 gravity closer to 60 pounds a cubic foot or 60 divided

2 it would be closer to .9 or a little bit higher.

1/2, 3 Q. So a difference of -- well, if it's 80 to 90, 12

4 13 percent more?

5 A. Yeah, it's close.

prills can 6 Q. Now, in fact, sir, high-density ammonium nitrate

fuel oil 7 be used to make an explosive device when combined with

8 and something else; correct?

correct. 9 A. Yes. They could be -- and something else. That's

that 10 Q. And the something else is carbonaceous black. Is

11 correct?

12 A. Yes. That's true. But I'm sorry: Did you say

13 high-explosive device?

And if 14 Q. No, I said an explosive device. A blasting agent.

15 my terminology is wrong, would you correct me.

correct. 16 A. Can be used to make a blasting agent, that's

17 Q. In fact, sir, in 1970 -- you're smiling.

18 A. I know. Go ahead.

19 Q. You know. Tell us what happened in 1970 that lets
you tell
20 me that you can use high-density prills to make a
device.
21 A. In 1970, I authored a patent using carbon black, a
mixture
22 of carbon black and fuel oil.
23 Q. Right.
24 A. And that was used to make an explosive device. It
was a
25 great patent. I mean, to me it was a good idea.

7085

Paul Rydlund – Cross

1 Unfortunately, the operation was a success but the
patient
2 died. It had no practical value.
3 Q. The market has never picked up on this idea that
you and
4 your colleague had. Is that right?
5 A. No. And actually, to be honest with you, there
were some
6 problems with it. The carbonaceous black and the fuel
oil --
7 it's almost like a slurry. It was very sensitive to
flame.
8 That was the biggest problem. It couldn't be
commercialized
9 because it was too hazardous to handle.

to get 10 Q. Okay. When you say very sensitive to flame, I want
nitrate 11 another basic fact out here. You said that if ammonium
12 catches fire that it's hard to put out.
13 A. I said that if ammonium nitrate catches fire, it
produces 14 its own oxygen, so you can't -- so if you try to
suffocate it, 15 if it was a fire and it was in a -- and it was in a --
in an 16 area where, you know, you'd tried to batten down the
hatch and 17 not let the air get to it, it would still produce its
own 18 oxygen. But no, an ammonium nitrate fire can be
extinguished 19 by water.
20 Q. It can?
21 A. Yes.
22 Q. Okay. And when -- at what temperature does
ammonium 23 nitrate start to burn?
24 A. I would have to go back and consult. I couldn't
say off 25 the top of my head, but it's a very high temperature.
But I

7086

Paul Rydlund - Cross

1 don't have that number readily available.

2 Q. When you say very high, over a thousand degrees
Fahrenheit,

3 or is it --

4 A. I'd have to go back and look at my numbers.

5 Q. Sure. And do you know at what temperature fuel oil
starts

6 to burn?

7 A. The temperature where fuel oil will spontaneously
ignite,

8 no, I don't have that particular number with me.

9 Q. Do you know at what temperature nitromethane starts
to

10 burn?

11 A. I don't have that number with me, either.

12 Q. It wasn't mentioned earlier today, but do you know
of a

13 substance called anhydrous hydrazine?

14 A. I've heard of it, and it had a very short life in
the

15 commercial explosive industry.

16 Q. So it's not used any more for commercial explosive
so far

17 as you're aware.

18 Was it used, do you know, for commercial ANFO-
type

19 explosives?

20 A. The only use that I'm aware of -- it was used in --
as a

21 binary explosive.

22 Q. All right.
23 A. So it wouldn't be used in commercial mining
ventures, no.
24 Q. Now, what's a binary explosive?
25 A. Where ammonium -- a binary explosive would be like
what

7087

Paul Rydlund - Cross

1 they call a two-component explosive; and for instance,
a
2 product called Kinepack or T100, where you have a
bottle of
3 crushed ammonium nitrate and you have a bottle of
nitromethane
4 and you pour the nitromethane into the ammonium nitrate
and
5 this makes a -- this makes a high explosive, and then
it can be
6 detonated by a blasting cap. And they tried to do the
same
7 thing with anhydrous hydrazine.
8 Q. Now, looking first to the agricultural use of
ammonium
9 nitrate, you don't have a lot of experience in that
field; is
10 that right?
11 A. I am not involved in the agricultural business, but
no, I
12 don't have -- I would consider not a lot of experience

in the

13 agricultural business; that's right.

used on 14 Q. Do you know how many pounds of ammonium nitrate are

-- the 15 the commercial side every year; that is to -- excuse me

16 agricultural side every year.

17 A. I'm trying to -- I'm trying to remember offhand
what it is.

I'm 18 I do, but I don't recollect the number. I mean, what

19 saying is I know, but I don't have the number with me.

pounds 20 Q. All right. Well, for explosive purposes, how many

States, let 21 of ammonium nitrate are used annually in the United

22 us say, in the most recent year --

23 A. I'm sorry.

24 Q. -- in the most recent year that you know?

basically what 25 A. Okay. In the most recent year that I know,

7088

Paul Rydlund - Cross

1 we're looking at is somewhere in the neighborhood of
about, oh,

2 2 million tons.

3 Q. That's 4 billion pounds?

4 A. Yes.

5 Q. Is that right?

6 A. Uh-huh.

7 Q. So 4 billion pounds of this stuff are used annually
for

8 explosive purposes; right?

9 A. (Witness nods head.)

10 Q. Now, who uses all this stuff?

11 A. The -- I'm sorry.

12 Q. Let's start -- first you mentioned that it's used
by

13 rock -- for rock blasting?

14 A. Uh-huh.

15 Q. And that's used for quarrying and mining?

16 A. Uh-huh.

17 Q. Is it used for construction purposes, construction
18 excavation?

19 A. Uh-huh.

20 Q. Is it used by farmers?

21 A. Is it used by farmers? I -- it could be used by
farmers

22 for stump-blasting, for maybe trench-shooting, although
I don't

23 know if the farmer would do that himself. He would
probably

24 get, you know, an explosive distributor or somebody to
hire.

25 Maybe not for stump-blasting. However, that amount
would be

Paul Rydlund - Cross

1 very, very small.

2 Q. And you say for trench-shooting?

3 A. Ditch-shooting. It could be used for ditch-
shooting.

4 Q. What is that, sir?

5 A. Ditch-shooting is basically if you were going to go
ahead

6 and shoot a ditch or a trench.

7 Q. So that would be lining up a series of charges all
in a row

8 and then you make it go boom?

9 A. That's correct.

10 Q. And then you don't have to dig it out with a --
with a pick

11 and shovel?

12 A. Uh-huh.

13 Q. And you could also use it for a pond, for making a
pond;

14 right?

15 A. You could.

16 Q. Now, can ammonium nitrate explosives, if they're
properly

17 packaged, be used in an environment where there is
water?

18 A. There are ammonium nitrate explosives that are used
-- can

19 be used in certain water conditions.

20 Q. Now -- and in fact, the Primadet that we saw is
waterproof;

21 correct? The cord itself?

22 A. The Primadet can be used in water conditions, yes.

23 Q. Yes. And -- well, you mentioned ammonium nitrate

24 explosives, and we've been using these terms
interchangeably.

25 Ammonium nitrate -- that is, the chemical NH_4NO_3 -- is
used in

7090

Paul Rydlund - Cross

1 many explosive products, isn't it?

2 A. Yeah. It's used in a number of explosive products.
That's

3 correct.

4 Q. And when we talked about the 4 billion pounds
earlier, were

5 you talking only about use of it for ANFO purposes, or
was that

6 for all explosive purposes?

7 A. That was for ammonium nitrate used in all explosive
8 products.

9 Q. And what are some other explosive products in which
10 ammonium nitrate would be used?

11 A. Besides ANFO?

12 Q. Yes, in addition to ANFO.
13 A. In addition to ANFO, they could be used in water
gels.
14 Q. What is water gel?
15 A. Water gel is a mixture of solid ammonium nitrate,
liquid
16 ammonium nitrate, sensitizers or fuels, and a gelling
agent
17 which basically puts together a product that is called
a water
18 gel, and it's called that because it resembles Jell-O.
And so
19 that is a product that is used in ammonium nitrate.
20 Q. So finding ammonium nitrate residues at the scene
of a
21 blast doesn't uniquely identify ANFO as the cause of
the blast.
22 Correct?
23 A. Finding ammonium nitrate crystals?
24 Q. No, residue.
25 A. Residue?

7091

Paul Rydlund – Cross

1 Q. Yes. We haven't talked about crystals yet. Just
residues
2 generally.
3 A. Okay. Finding there -- that's right. I mean, it
could be

4 from water gels.

5 Q. All right.

6 A. Product such as a water gel.

7 Q. Now, sir, I want to ask you about a characteristic
of

8 ammonium nitrate. You said that -- excuse me. You
said it's

9 very sensitive to water. Is that correct?

10 A. It's very soluble in water, yes.

11 Q. Very soluble. And is that characteristic referred
to as

12 "hygroscopic"?

13 A. Well, in my opinion, hygroscopic is the ability to
attract

14 moisture, the ability for moisture out of the air. And
to me

15 that means the ability to trap moisture out of the air,
such as

16 table salt. And it probably -- but solubility is the
ability

17 to dissolve in water.

18 Q. Yes, sir.

19 A. But ammonium nitrate is hygroscopic; and again, it
does

20 have the ability to attract moisture out of the air.

21 Q. Now, have you ever attempted to test the -- and how
do you

22 spell -- is it hygroscopic or hydroscopic? Should I be
saying,

23 the word -- the --

- 24 A. H-Y-D-R-O.
25 Q. Hygroscopic. Just like hydrant, water.

7092

Paul Rydlund - Cross

- 1 A. Hydro, uh-huh.
2 Q. Yeah. And if I took a small quantity of ammonium
nitrate
3 prills, crushed and placed them in a watch glass, which
is a
4 small glass container, and I subjected them to 98
percent
5 humidity, what would happen to the crushed ammonium
nitrate?
6 A. So you have 98 percent humidity at what
temperature?
7 Q. Standard temperature and pressure, so that means
we've got
8 to go back down to sea level and 90 degrees. Well,
that's not
9 standard temperature, but let's --
10 A. Okay. But anyway, like a very hot, humid day --
11 Q. Like a hot, humid day.
12 A. -- is what we're trying to say, and you place them
in a
13 watch glass.
14 Q. Now, by watch glass -- you've used those in
chemistry
15 class?

16 A. Uh-huh.

17 Q. And that's just a small -- looks like a tiny,
little

18 saucer?

19 A. Uh-huh. That's correct. Yes.

20 Okay, placed in that humidity and we placed
the

21 prills -- we place the prills.

22 Q. I said crushed prills in my first example, sir.

23 A. So we place -- so we have an agglomeration of
crystals in

24 there. Okay. All right. And we placed it to the
humidity,

25 and the first thing that would happen would be,
subjected to

7093

Paul Rydlund - Cross

1 the humidity -- that is, the humidity would attack the
surface

2 of the crushed prills and they would try to draw
together and

3 they would cake. And so the flow-ability of these
crushed

4 prills lying like this all of a sudden would become
more of a

5 solid mass, is what they would do.

6 Q. And would there come a point at which the -- we
would find

7 a puddle in our watch glass under these conditions?

8 A. Could you find a point at --

9 Q. Just a puddle; that is, we wouldn't see any --

10 A. Depending upon -- depending upon the amount of
grains of

11 moisture that they were subjected to and the amount of
material

12 that was there -- so it could be. So if you had two or
three

13 little crushed pills and you subjected it to humidity
where

14 you had so much moisture for several weeks, yes, you
could.

15 Q. And -- well, do you have an opinion as to how much
time it

16 would take for the puddling effect to occur with a
small

17 quantity, 5 grams, let's say, of crushed pills in a
watch

18 glass under 98 percent humidity conditions?

19 A. At that particular time, I would probably say it
could

20 be -- let's say we're talking 100 degrees Fahrenheit,
with 98

21 humidity -- and I don't have a chart with me to tell me
how

22 much moisture is in the air. But in my opinion and
from the

23 tests that I've run when I worked at Monsanto, I would
say it

24 would probably be somewhere in terms of a week.

25 Q. You don't -- you think it would take that long?

Paul Rydlund – Cross

1 A. Again, I'm trying to look at how much moisture --
you know,
2 how much moisture, and I'm looking at maybe -- 5 grams
of
3 prills and depending upon the exposure and everything.
It
4 could be a week, I would say. Probably. Probably a
week.

5 Q. Have you ever done that experiment?

6 A. Not with 5 grams. That's the problem I'm having
trying to
7 relate to. I mean, I've done it more with like 50
grams, and
8 I've run it in temperature humidity cabinets; and
that's what
9 I'm trying to recall. So I'm trying to extrapolate
from that.

10 Q. Sure. 50 grams is just shy of 2 ounces; right?

11 A. Well, 50 grams is -- I'd have to go through the
deal again,
12 but 450 divided by 50, so that's about a 9th of a
pound, I
13 guess. I'm trying to get back to my ounces. Is that
-- I'm
14 trying to think, 16 ounces a pound, probably about 2
ounces.

15 Okay.

16 Q. Now, you mentioned that if someone were going to

make a

would 17 device made of ammonium nitrate and fuel oil that they

get the 18 then -- this person, whoever that was, would want to

19 low-density prills; right?

20 A. To absorb the fuel oil; that is correct.

barrel with 21 Q. And the low-density prills, then, if you had a

in, 22 a bunghole in the top of it and just poured the diesel

23 after 10 minutes or so, it would be absorbed; right?

24 A. Yeah, in my opinion, it would be, uh-huh.

the 25 Q. So that you wouldn't have to take a paddle and stir

7095

Paul Rydlund - Cross

1 stuff; right?

2 A. No. It would absorb. That's correct.

stirring 3 Q. In fact, somebody that took a paddle and started

the 4 the stuff would show that they didn't really understand

5 process very well; correct?

-- I 6 A. Well, I don't -- I mean, I don't know if that would

say that. 7 don't know if that would be true or not. I couldn't

combine 8 I mean, it would seem, naturally, if you're going to
experience 9 two things, if you can stir them, fine. I mean, our
to the 10 is when they're just combined going into a blowpipe up
there 11 top of the bin, when we combine it we don't have to sit
people 12 and have a mixer inside. But in normal cases, a lot of
13 use a concrete mixer.

14 Q. So it's not necessary to stir?

15 A. Well, in this particular case to combine it, no.
They will 16 disperse in time.

17 Q. Now, on this subject, if I wanted to find out how
to make 18 an explosion using ammonium nitrate and fuel oil and
say I'm a 19 farmer, I wanted to blow stumps, where would I go to
find that 20 out?

21 A. If you wanted to blow some stumps and get some
ammonium 22 nitrate fuel oil to blow the stumps?

23 Q. Right. I bought some land and I want to deep-break
it but 24 I don't want to bring in heavy equipment. I want to
blow the 25 stumps out.

Paul Rydlund – Cross

1 A. You might go to an explosive distributor.

2 Q. Okay.

3 A. You might know somebody that works at a mine that
does

4 blasting in a mine that may be able to help you. There
may be

5 literature available on the Internet, in book stores or
sold

6 through different books that may tell you how to do it.

7 Q. Sure. Well, let's take those one at a time. If I
go to an

8 explosive distributor, would I find some of your
products

9 there?

10 A. You might.

11 Q. And when I found your products there, would I find
12 instructions on how to use them?

13 A. Complete instructions as to how to blow a stump?

14 Q. Yes, sir.

15 A. No.

16 Q. So we couldn't get it there.

17 Now, if I found somebody that worked in --

18 A. I'm sorry.

19 Q. Excuse me, sir.

20 A. You might ask them how to do it.

21 Q. Oh, I see. In other words, I could walk in the
door and
22 say I want to buy this and this and this and how do I
do it,
23 and they would tell me.
24 A. They might.
25 Q. They might, if I looked like the sort of person
that they

7097

Paul Rydlund – Cross

1 could trust with the information?
2 A. Depending upon the circumstance, the individual, if
they
3 knew you and things like that. That's correct.
4 Q. You're in the business of selling these things to
people,
5 and so you want them to buy them and use them
responsibly;
6 right?
7 A. That's correct.
8 Q. And in order for them to be purchased by many
people and
9 used responsibly, there has to be a way for them to buy
them
10 and find out how to use them. Correct?
11 A. That's correct.
12 Q. Another source you mentioned was the Internet.
Have you

13 ever looked on the Internet?

14 A. No, sir, I have not.

15 Q. Okay. We'll stop right there.

16 Have you ever -- are you familiar with an
outfit
17 called Paladin Press?

18 A. I'm familiar that I believe they have published a
couple
19 of -- I believe they have published a couple of books,
some
20 books -- Hunter.

21 Q. You think they published Hunter?

22 A. I'm not sure. I've seen Paladin Press before, and
I'm not
23 completely sure without looking at it whether they did
or
24 didn't, but I believe they've published a couple of
books
25 related to -- that have some involvement with
explosives.

7098

Paul Rydlund - Cross

1 Q. Well, in fact, sir, isn't Hunter published by
something

2 called National Vanguard Books?

3 A. It may be. It may.

4 Q. Okay.

5 A. It may be, and again I said -- I remember seeing

the name.

6 I can't recall which book I saw it in.

7 Q. But have you ever seen any books published by
Paladin Press

8 that tell you how to make an ANFO explosive device?

9 A. Well, if I can't recall the books that I've seen
the

10 Paladin Press name on, then I would have to answer you
-- I

11 can't answer your question.

12 Q. And have -- have you also read publications that
describe

13 the safety precautions that you should take when
handling

14 ammonium nitrate/fuel oil mixtures?

15 A. Yes.

16 Q. And now are you familiar with the Manufacturing
Chemists

17 Association Fertilizer-Grade Ammonium Nitrate
Properties and

18 Recommended Methods for Packaging Handling,
Transportation,

19 Storage and Uses?

20 A. Yes.

21 Q. Are you familiar with the National Fire Protection

22 Association publication Manufacture, Storage,
Transportation

23 and Use of Explosives and Blasting Agents?

24 A. I'm familiar with seeing that. I haven't read that
in a

25 while.

7099

Paul Rydlund – Cross

1 Q. Okay. Have you read the Bureau of Mines'
information

2 circular, Safety Recommendations for Sensitized
Ammonium

3 Nitrate Blasting Agents?

4 A. Yes, I have.

5 Q. Now, what's a sensitized ammonium nitrate blasting
agent?

6 That's not one that's been in therapy, is it? That's
one

7 that's been prepared to explode --

8 A. That's correct.

9 Q. -- is all that means.

10 A. "Sensitized" means for ammonium nitrate blasting
agents,

11 whether they used different sensitizer, uh-huh.

12 Q. I wanted to place up on the screen, if I may,
what's been

13 admitted here as Government's Exhibit 674.

14 Now, we've seen this before. That's our --
how the

15 ammonium nitrate prills are made in a typical plant.
And I

16 think you remember that.

17 A. Uh-huh.

18 Q. Now, I'm with you all the way through here till we
get over

19 to this where I've got my finger. It says "coater."

20 A. Uh-huh.

21 Q. And then it says "talc .40 percent" and then
"external

22 surfactant."

23 Now, is the external surfactant different from
the

24 talc?

25 A. Yes.

7100

Paul Rydlund - Cross

1 Q. What is talc?

2 A. Talcum powder.

3 Q. Chemically, what is it?

4 A. Talc is silicate. It's a -- I'm trying to think of
the

5 exact chemical formula now.

6 I can't. But it basically is a silicate.

7 Q. All right. So it's a silicon plus something?

8 A. Uh-huh.

9 Q. And the external surfactant: Does that differ from
10 manufacturer to manufacturer about what is used?

11 A. Yes, it does. Yes, it does. The manufacturers of
ammonium

12 nitrate may use different surfactive agents.

13 Q. Now -- if you have mixed your -- if you're using
barrels,
14 mix -- if you're mixing in barrels, if one is doing
that, this
15 absorption process means you don't have to roll the
things on
16 the ground to get it dispersed or anything like that;
right?

17 A. That is correct.

18 Q. Okay. So that one person could manufacture a -- or
could
19 make a device with ammonium nitrate by pouring the bag
material
20 and then measuring out the ammonium nitrate and just
letting it
21 sit. Correct?

22 A. Yes.

23 Q. Now, would Primadet be something that you would use
in an
24 improvised explosive device of the kind that was
exploded in
25 Oklahoma City?

7101

Paul Rydlund - Cross

1 MS. WILKINSON: Objection, your Honor. I'm
not sure
2 he's familiar with the device. He wasn't in Oklahoma
City.

if I 3 MR. TIGAR: I'll lay a foundation, your Honor,
4 may.

5 THE COURT: All right.

6 BY MR. TIGAR:

7 Q. Do you know -- do you have information concerning
the kind 8 of device that was exploded in Oklahoma City?

9 A. No, sir, I do not.

10 Q. Okay. Well, then, let me put a hypothetical to
you.

11 A. Okay.

12 Q. If a person were going to park a truck, make a
truck bomb,

13 and use 40-gallon plastic barrels and 1500 pounds of
ammonium

14 nitrate mixed with the requisite amount of fuel oil and
then

15 Tovex as the -- what would we call that, the blasting
agent?

16 A. The high explosive.

17 Q. The high explosive. Would Primadet be used in that
sort of

18 a setup?

19 A. As a --

20 Q. For any purpose.

21 A. Well, a Primadet could serve or would serve as the
22 detonator that detonates the Tovex.

23 Q. I see.

24 A. It could serve -- it could serve as that.

through 25 Q. But then how -- you said that it moves so fast

7102

Paul Rydlund - Cross

1 there, the fire, that you'd have a hard time getting
away.

2 What else would you have to use?

use 3 A. Well, you would have to use -- you would have to

and 4 something else as well. You would have to turn around

5 perhaps attach an electric blasting cap to the
Primadet. You

You 6 might have to attach detonating cord to the Primadet.

use 7 would have to use another means -- you would have to

8 another detonator to detonate the Primadet.

Right? 9 Q. Because otherwise you'd go up with your blast.

10 A. Yes, sir.

farm and I 11 Q. Similarly, if I were going to shoot a ditch on a

ground so 12 were down and it were springtime and it's low-lying

let's 13 I've got my -- I'm going to an ANFO mixture for that,

14 assume in some quantities, and that's going to be

laying in

-- 15 water -- all right -- Primadet might be useful in that

16 right -- because it's waterproof, to hook the charges
together?

17 A. The ANFO mixture may not -- the ANFO mixture may
not be

18 very good in water, but the Primadet would be.

19 Q. Okay. So what would you suggest that I use if I am
a

20 farmer and I'm going to shoot a ditch and I've got this
water

21 condition -- Primadet would be useful there?

22 A. Primadet could be used and has been used in
trenching or

23 ditching. Yes, it has been.

24 Q. So -- and -- but to make it safe, then, I'd have to
attach

25 a piece of safety fuse to the end -- right -- so that I
could

7103

Paul Rydlund - Cross

1 get away?

2 A. Well, there would be -- there are different means
of

3 initiating the Primadet.

4 Q. Okay. But I understood you to say on direct
examination --

5 I'm not quarreling with you, sir -- that the main use

of

60-foot 6 Primadet in that 60-foot length would be to drop down a

7 borehole.

8 A. That's correct.

suggesting 9 Q. But are you now -- are you saying -- and I'm not

for that 10 this is a contradiction -- this is an additional use

conditions? 11 product: to shoot a trench on a farm under water

trench, 12 A. Well, Primadet can be used to shoot a ditch or a

ditch 13 whether it be at a mine or wherever. I don't think the

14 would be 60 feet deep.

I've 15 Q. No, no. I understand that. But -- I'm sorry.

16 confused --

Is that 17 A. But you mean with that type of initiation system?

18 what you're referring to?

19 Q. In other words -- I'm sorry.

20 A. That's correct.

21 Q. Okay.

to shoot 22 A. That's correct. Primadet -- Primadets can be used

23 trenches. You're right.

could be 24 Q. And when you say "shoot a trench," you mean it

just 25 used by a farmer up on the surface, not down in a mine,

7104

Paul Rydlund - Cross

1 out in the field somewhere?

and 2 A. It could be used. It could be used to shoot it,

was 3 somebody that was knowledgeable -- and somebody that

them -- 4 knowledgeable in those products and capable of using

other 5 and it would require some -- it would require some

6 products as well, yes.

with 7 Q. Yes, indeed. I understand you can't just do it

8 Primadet.

9 A. Well, you need a means to initiate. Right.

back to 10 Q. Now, when you talked about this Tovex -- let's go

11 ANFO now.

12 A. Uh-huh.

like in 13 Q. If a person had some barrels of ammonium nitrate,

nitrate -- he 14 that book Hunter, and that fella had his ammonium

his 15 had, what, four barrels of it? Is that what he said in

16 book?

17 A. I believe so.

18 Q. Yeah. And they were 40-gallon barrels; right?

19 A. Uh-huh.

20 Q. And they were bought at the hardware store;
correct?

21 A. I don't remember if they bought -- I'm sorry. I
can't

22 recall if he bought the barrels at the hardware store
or not.

23 Q. And he bought 15 bags of ammonium nitrate; correct?

24 A. 1500 pounds, I believe. Correct.

25 Q. Well, what you read out was: "He bought 15 bags of

7105

Paul Rydlund - Cross

1 fertilizer-grade ammonium nitrate. He would have
bought more,

2 but 1500 pounds was about as much as he estimated he
could

3 manage in one load." Do you remember that?

4 A. Yes.

5 Q. But this ammonium nitrate comes in 50-pound bags,
doesn't

6 it?

7 A. Well, today, it's -- I've seen it sold in 80-pound
bags and

8 50-pound bags. That's correct.

9 Q. 80 and 50.

10 A. Uh-huh.

11 Q. So whoever wrote this book didn't know how many
pounds
12 there are in ammonium nitrate bags; correct?

13 A. Well, sir, there were times when ammonium nitrate
was sold
14 in 100-pound bags.

15 Q. Okay.

16 A. That was not recently. And I can't give you -- I'm
sorry I
17 can't give you the same date, but there were times when
it was
18 sold in 100-pound bags.

19 Q. Do you know when this book was copyrighted?

20 A. I don't recall, sir.

21 Q. Yeah. When is the last time you remember seeing
100-pound
22 bag?

23 A. Well personally, myself handling 100-pound bags?

24 Q. Yes, sir.

25 A. Probably 20 years ago.

7106

Paul Rydlund - Cross

1 Q. Yeah. And anyway, that book Hunter is a fiction
book,
2 isn't it? Did you read the whole thing?

3 A. Yes, I did.

4 Q. It has a certain political slant to it, doesn't it,
sir?

5 A. Yes, it does.

6 Q. Yeah. The fellow that wrote that is about two
bricks short

7 of a load, isn't he?

8 A. I don't know him. I don't know him. I mean I
don't --

9 Q. You wouldn't agree with his politics, would you,
sir?

10 MS. WILKINSON: Objection, your Honor.

11 THE COURT: Sustained.

12 BY MR. TIGAR:

13 Q. Let's talk about our Tovex. You've got four
barrels of

14 ammonium nitrate and you put 50 pounds of Tovex in the
middle.

15 Is that the most efficient way to detonate this
product?

16 A. Is it the most efficient way to detonate the
product?

17 Q. Yes, sir.

18 A. Given that situation -- and I guess I would have to
answer

19 your question with the proper amount of Tovex -- and I
believe

20 we had -- the cases that we had had been placed
adjacent to the

21 barrels. It would provide an efficient detonation. I
would

that 22 suspect if I had primed -- I would expect to receive in
-- with 23 particular case if it was properly mixed and with the
resulting -- 24 the -- and with the cases placed here that at the
-- if we 25 end result detonation velocity would be the same if it

7107

Paul Rydlund - Cross

Tovex 1 did it that way or if we placed individual cases of
2 within each barrel.
Time Lag, 3 Q. Now, you wrote a master's thesis called "Ignition
4 A Measure of Explosive Energy Release." Correct, sir?
5 A. Yes, I did. Yes, I did.
very 6 Q. And when you wrote that master's thesis, you were
detonate 7 interested in identifying the most efficient way to
8 certain things; right?
9 A. Yes. Yes, I was.
a fuel 10 Q. And the objective when you use ammonium nitrate and
velocity of 11 oil mixture is to make sure that you have the best
12 detonation; is that right?
13 A. Yes. We -- to make sure that you achieve the

highest

14 detonation velocity of the ammonium nitrate -- or of
the ANFO.

15 Excuse me.

16 Q. The ANFO. And when you do that, in order to do
that, there

17 are a number of things you have to take into account;
correct?

18 A. Yes.

19 Q. All right. And one of them is the percentage of
the thing

20 that you're going to add to the ammonium nitrate;
correct?

21 A. The percentage -- you mean like the booster?

22 Q. The percentage of fuel oil, for example.

23 A. Oh, yes. That's correct. Uh-huh.

24 Q. Because at a certain point, if I had 10 percent oil
by

25 weight, the explosive would not be as efficient;
correct?

7108

Paul Rydlund - Cross

1 A. That is correct.

2 Q. Okay. And what would you have, then? You'd have
3 unexploded particles, or what would be the result if it
was

4 10 percent?

5 A. Well, if it was 10 percent -- and again, it would

depend

6 upon the location of the booster and the -- and the
size of the

7 charge and the confinement; so there would be -- there
would be

8 other factors involved as well. But there could be, if
you had

9 a very, very small charge like a size of this cup --
okay --

10 Q. Yes, sir.

11 A. And it had 10 percent fuel oil in it -- okay -- in
the

12 ammonium nitrate and there was very little confinement,
it was

13 just in the cup, you know, and you turned around and
you set

14 half a stick of dynamite or something close to it,
conceivably

15 you could have some prills off to the side because you
have a

16 very inefficient -- a very inefficient product.

17 Q. Now, what is "ignition time lag"?

18 A. Well, "ignition time lag" was a phrase I coined in
my

19 master's thesis, and it referred to the time lag
between the

20 time the ammonium nitrate and the ANFO mixture was
initiated

21 and when it reached its maximum or steady state
detonation

22 velocity.

23 Q. All right. And is there an ideal time lag there?

lag -- 24 A. Well, the ideal time lag would be -- the ideal time
25 would be immediate.

7109

Paul Rydlund - Cross

1 Q. Would be zero?
2 A. That's correct.
3 Q. That is to say, if we could disregard the laws of
physics
4 and chemistry and we had a quantity of ammonium nitrate
and
5 fuel oil in a container, we'd like all of it to oxidize
at once
6 or explode at once; right?
7 A. To reach its maximum efficiency all at once.
That's
8 correct.
9 Q. Yes, sir. And if I'm using the wrong terms, please
correct
10 me.
11 A. No, I'm not trying to be --
12 Q. But we can't do that; right. That's impossible?
13 A. That's pretty close to impossible, yes.
14 Q. And so there are different ways that the blaster
can
15 maximize efficiency in that way by reducing the time
lag, this

16 ignition time lag as much as possible; correct?
17 A. That's correct.
18 Q. Okay. And one of those ways is with the -- has to
do with
19 the efficiency of whatever initiating charge you're
using.
20 Right?
21 A. That's correct.
22 Q. And is another way the proximity of the initiating
charge
23 to the prills that have absorbed the fuel oil or
whatever
24 hydrocarbon you're using?
25 A. Yes.

7110

Paul Rydlund - Cross

1 Q. Okay. So proximity is a factor in reducing this
ignition
2 lag time. Is that fair to say?
3 A. Yes. And again, in the proper context, this was
all done
4 within blast holes.
5 Q. I understand.
6 A. Placing it in blast holes as well. That's correct.
7 Q. When we speak of these things, we necessarily have,
what --
8 there are 25 factors that have to do with whether this
thing is

9 going to succeed or not. Correct?

10 A. Well, there is a number of them. I don't know if
it's 25.

11 Q. Well, there are a large number?

12 A. Yes, sir.

13 Q. And one of them, as you've said, is containment?

14 A. One of them is containment.

15 Q. And by identifying proximity as one, we don't mean
to

16 exclude the others; correct?

17 A. (Witness nods head.)

18 Q. Okay. Now, I want to put up, if I may, what has
been

19 received as Government's Exhibit for demonstrative
purposes

20 No. 691. Now, that is your -- did you make the
diagram, sir,

21 or --

22 A. No.

23 Q. It was made at your direction?

24 A. The diagram was -- the diagram was shown to me, and
I

25 agreed to discuss it, yes.

7111

Paul Rydlund - Cross

1 Q. Okay. I'm not trying to --

2 A. I understand. No. That's correct.

3 Q. -- argue with you about it.

4 A. Uh-huh.

5 Q. Now, right at the middle here, we're talking about
a shock
6 front. You say that's supersonic; and I believe you
said on
7 direct 13,000 miles an hour, but you meant feet per
second.

8 A. No, miles per hour.

9 Q. 13,000 miles per hour?

10 A. Right.

11 Q. What is that in feet per second?

12 A. Well, I'd have to go back and calculate that.

13 Q. 60 miles an hour is 88 feet per second; correct?

14 A. Well -- okay. I don't have my --

15 Q. We don't know?

16 A. Anyway, fine. 13,000 miles per hour is what I
quoted, yes.

17 Q. And what is that in feet per second so that we're
usually
18 consistent here? Aren't velocities of detonation
19 measured in feet per second?

20 A. But that is not the detonation velocity.

21 Q. I understand. But I want to be consistent. I want
to see
22 if we can get a number. If we can't, it's okay.

23 A. Okay. So we're at 13,000 miles per hour.

the 24 Q. Would you accept about 10,000 feet per second? No,
25 other direction. So about 20,000 feet per second?

7112

Paul Rydlund - Cross

1 A. No, it would be much less.

2 Q. A little less?

got 5280 3 A. Let's see, we've got thousand and feet, so we've

36 times 4 divided by 30 -- 60 times 60 is 3600, so 52 divided by

5 13 is -- yeah, I guess -- whatever it comes out.

6 Q. I'm sorry I asked.

Excuse 7 Tell you what: I'm go on to something else.

Moving very 8 me. I'm -- Very fast. Would you agree with that?

9 fast. Okay.

10 A. Now, but not as fast as the velocity of detonation.

That is 11 Q. Okay. Not as fast as the velocity of detonation.

to 12 to say, as the pressure wave moves out, the speed tends

13 reduce. Correct?

moves in 14 A. No, as it moves out, it's moving like a shock wave

15 air, the speed of a shock wave moves in air.

16 Q. In air, you say, "initiation inside thermal

effect."

red 17 That's the part I wanted you to look at where this very

18 part is.

19 A. Uh-huh.

20 Q. What's the temperature in there?

reaction is 21 A. The temperature at the point in the chemical

22 6,000 -- I'm sorry.

of 23 Q. Let's take it a moment at a time. Let's get a set

ammonium 24 assumptions here. Have you worked with mixtures of

25 nitrate and nitromethane?

7113

Paul Rydlund - Cross

I've 1 A. I've done -- I've done a little work with it, yes.

2 done some years ago.

of a 3 Q. And is the temperature at the moment of detonation

nitromethane -- 4 nitromethane device going to be about the --

an ANFO 5 nitromethane/ammonium nitrate device about the same as

6 device?

answer 7 A. I would -- I can't answer that question. I can't

8 that question completely because I don't know the exact
9 temperature, so I could only offer an opinion. I'm
sorry.

10 Q. All right. Well, then let's stick -- An ANFO
device you

11 could tell us without much trouble?

12 A. I think what I had come back and said was in the
chemical

13 reaction zone where we were talking about, we're about
6,000

14 degrees Fahrenheit.

15 Q. 6,000 degrees Fahrenheit. So that if someone had a
16 40-gallon barrel of ammonium nitrate mixed with 6
percent fuel

17 oil and initiated it with the initiating charge stuck
into the

18 mixture -- all right -- what would be the temperature
19 experienced by the barrel, plastic barrel?

20 A. Again, with the diameter of the plastic barrel -- I
would

21 have to calculate it. I don't -- I can't tell you
right now

22 what that temperature would be. All I can tell you is
that

23 right at the point of detonation it would be that
temperature.

24 Obviously --

25 Q. And is there a formula that we could use that we
could look

Paul Rydlund – Cross

1 up to see what the temperature would be 2, 3, 4, 5 feet
away
2 from the center point of ignition?

3 A. There would be a formula, but -- there would be a
formula.

4 There would be some numbers, but I don't have that.

5 Q. I'm not asking you to speculate. But the point is,
we

6 could find somebody; we could look it up.

7 A. Yes.

8 MR. TIGAR: Okay. Now, we had picture up
here, if I

9 may show that, Mrs. Hasfjord.

10 I'm sorry. 685. The clerk is helping me.

11 Oh, good.

12 BY MR. TIGAR:

13 Q. This is Government's Exhibit 685 -- is an electric
blasting

14 cap; and then you had another one that was a
nonelectric. Is

15 that the sort of thing that is regulated differently
depending

16 on what state you're in? Blasting caps?

17 A. That comes under the ATF regulations, and there are
some

18 state regulations that speak to, you know, how you can
handle

19 the commerce of it, yes.

to this 20 Q. Let me see, here. Now, sir, finally I want to turn
Right? 21 question of how you handle and store this material.
22 A. Ammonium nitrate?
bag 23 Q. Yes, sir. Ammonium nitrate. Now, we looked at the
in your 24 earlier, and are there regulations that you all follow
25 business as to how to deal with this material?

7115

Paul Rydlund – Cross

1 A. Ammonium nitrate, or ammonium nitrate fuel oil?
2 Q. Ammonium nitrate.
have? 3 A. Ammonium nitrate? Are there regulations that we
4 Q. Yes, sir.
5 A. With respect to safety?
6 Q. Yes, sir.
7 A. I suspect that's what we're coming to.
8 Q. Yes, sir.
9 A. And how we would store it?
10 Q. As to how you would store it and how personnel are
11 instructed to treat it.
It can 12 A. Okay. All right. Yes. It can be stored in bulk.

13 be stored in bulk bins. Basically, it is transported
by rail
14 cars and then generally put into bulk bins or put into
trucks
15 and then put into bulk bins.

16 The material, again, is -- for purposes of the
bins
17 and where it's stored is we want to try to keep fire
away from
18 it, so that brush and everything is cleaned away, no
trash
19 around it. Things like that. Those are important.

20 Q. Now, do you have people -- do you ventilate the
areas in
21 which it's stored?

22 A. Well, let me try to give you some examples as to
where
23 we're at because -- in using the term "ventilate" --
excuse me.

24 In a mine, for instance, okay, we would store ammonium
nitrate
25 perhaps in just a great big 60-ton overhead bin that's

7116

Paul Rydlund - Cross

1 completely enclosed. All right? It's completely
enclosed and

2 maybe sits 12, 14 feet off the ground and holds 60
tons. Okay?

3 A lot of times it's stored in a rail car, and
it's not

4 even used to make ANFO until they take it out of a rail
car;
5 and of course -- it's a hopper car which is completely
enclosed
6 to keep moisture away from it. Those are cases --
7 If you are using it in a fixed-plant location
-- if
8 you were going to try to make, for instance, a water
gel like
9 you discussed or you're going to try to make ANFO in a
10 fixed-plant location, then you would look -- it's the
people
11 working in that fixed-plant location, and you would
obviously
12 try to have some air movement, ventilation, fans, or
whatever
13 it is in that area as well. But the main hazard with
ammonium
14 nitrate is fire and to try to keep flame away from it.
15 Q. Now, in your material safety data at El Dorado, do
you have
16 a provision about respiratory protection?
17 A. Yes, we do.
18 Q. And what is the purpose of your provision on
respiratory
19 protection?
20 A. The purpose on the respiratory protection is to try
to get
21 fine -- is to try to prevent very fine airborne
particles of
22 ammonium nitrate from getting into the system, into --

into

23 your -- being not ingested but to get into --

24 Q. Into your respiratory system?

25 A. Respiratory system. Right. Uh-huh.

7117

Paul Rydlund - Cross

1 Q. And the reason you have those is that that is a
real-life

2 possibility; that is to say, that could happen.
Correct?

3 A. The purpose of it is that there are fine airborne
particles

4 in there you want to be able to -- you don't want them
-- to

5 get them in. Now, that's if there are fine airborne
particles

6 in there which we might call dust or pieces -- or very
fine

7 particles of ammonium nitrate that are suspended in
air.

8 Prills aren't.

9 Q. Now -- and I said "finally," but I didn't mean it.
This

10 really is final. Okay?

11 A. Okay.

12 Q. If I wanted to test the hygroscopic properties of
ammonium

13 nitrate, would you agree with me that it would be a
proper

sealed -- 14 experiment to do this to set up a bell jar with a
regulated in 15 sealed bell jar with 98 percent relative humidity
time how 16 there and then put a small sample on a watch glass and
17 long it took to puddle?
18 A. That could be a test for it. I don't know how much
19 moisture is in the bell jar, how big it is, and that's
--
20 Q. You know what a bell jar is?
21 A. I know what a bell jar is; and if you were trying
to
22 develop a test for it, that could be a test. And yeah,
you
23 would have -- if you knew, you know, the size of the
nitrate
24 and how much -- you'd have to know the grains of
moisture in
25 the bell jar.

7118

Paul Rydlund - Cross

humidity, 1 Q. Which you would know by knowing the percentage of
2 the temperature, and so forth and so on.
3 A. The temperature. You could calculate it, yes.
4 Q. You also need to know the temperature?
5 A. Yes. Uh-huh.

moment? 6 MR. TIGAR: Would your Honor indulge me for a

7 THE COURT: Yes.

I 8 MR. TIGAR: Mr. Rydlund, thank you very much.

9 really appreciate your answering our questions.

10 THE WITNESS: Sure.

11 THE COURT: Do you have some redirect?

12 MS. WILKINSON: Just briefly, your Honor.

13 REDIRECT EXAMINATION

14 BY MS. WILKINSON:

counsel 15 Q. Mr. Rydlund, do you recall being asked by defense

placing the 16 about the passage from the Hunter that discusses

cases of 17 barrels of ammonium nitrate and fuel oil around the

18 Tovex? Do you remember --

19 A. Yes.

you was 20 Q. -- Mr. Tigar asked you about that? And he asked

bomb; is 21 that the most efficient way to detonate or build a

22 that right?

23 A. Yes.

24 Q. Let me ask you: Is it efficient enough?

25 A. Yes.

7119

Paul Rydlund - Redirect

1 Q. And what do you mean by that?

2 A. I mean that it's efficient enough that we can
detonate the

3 ammonium nitrate at the -- at the maximum velocity that
could

4 be achieved in that particular barrel with that
particular

5 amount of fuel oil.

6 Q. And if it was detonated properly, would you expect
the

7 ammonium nitrate and the fuel oil to be consumed in the
8 explosion?

9 A. Yes, I would.

10 Q. And is ANFO used in the commercial industry because
it is

11 an efficient product?

12 A. Yes, it is.

13 Q. And in your experience when you've done testing and
you've

14 done your field work, are ammonium nitrate prills left
behind

15 after an explosive device is detonated properly?

16 A. No.

17 Q. Now, you were also asked about that bag, the brown
bag

18 that -- ICI bag that holds the ammonium nitrate prills.

19 A. Yes.

remember 20 Q. Do you recall that? And you were asked -- I don't
on 21 what it was called but about the white residue that was
22 there, the "striplings," I think they referred to.

23 A. Uh-huh.

bags 24 Q. If you were storing ammonium nitrate in the sealed
to see 25 over several months in a storage shed, would you expect

7120

Paul Rydlund - Redirect

1 any of those prills on the outside of the bag?

2 A. On the outside of the bag? No.

nitrate 3 Q. Would you expect those bags to leave any ammonium
removed them 4 residue if you had stored them closed and then you
5 from the storage shed?

6 A. No.

charge if you 7 Q. You were also asked about the efficiency of a
said if 8 used a very small charge. I think you qualified it and
I think 9 you use more fuel than recommended -- used 10 percent,
would or 10 you said, or Mr. Tigar asked you -- and whether it

11 wouldn't detonate efficiently. Do you recall that?
12 A. Uh-huh.
13 Q. If you have a very large main charge -- that is, an
14 ammonium nitrate charge of 4 to 6,000 pounds -- what
would be
15 the effect if you had 10 percent fuel instead of 6
percent
16 fuel?
17 A. If -- on a charge of that size?
18 Q. Of that size.
19 A. On the configuration that we discussed before on
that?
20 Q. Yes.
21 A. Then if we had 10 percent -- if we had 10 percent
fuel oil,
22 then I would expect to see prills remain. I would
expect it to
23 be a very inefficient explosion.
24 Q. If you had 10 percent fuel?
25 A. Yes.

7121

Paul Rydlund - Redirect

1 Q. What if you changed the booster?
2 A. Even if you changed the booster, it would still --
it would
3 still be an inefficient explosion.
4 Q. And why is that?

the 5 A. Because it's -- because there is too much fuel for
6 ammonium nitrate.

7 Q. Now, what about the question about the -- if
barrels were 8 exposed to -- I think that's what you were being asked
about,
9 the barrels that held ammonium nitrate, if they were
exposed to
10 the seat of the blast and the actual explosion whether
any
11 portions of those barrels would remain.

12 MR. TIGAR: Excuse me, your Honor. I didn't
ask that.
13 Improper redirect.

14 MS. WILKINSON: I'm sorry, your Honor. I'll
rephrase
15 it.

16 THE COURT: All right.

17 BY MS. WILKINSON:

18 Q. Do you recall being asked about the effect of the
explosion
19 and the heat and the gases at the center of the
explosion on
20 plastic?

21 A. Yes.

22 Q. Okay. Now, in an explosion, if barrels were used
to
23 contain an ammonium nitrate and fuel oil device, would
or could

apart 24 the force of the blast blow the barrel -- the barrel
25 before it was consumed by the actual explosion?

7122

Paul Rydlund - Redirect

1 A. No, not in an inefficient explosion.
2 Q. Not what?
3 A. No, not in an efficient -- not in an efficient
explosion.
4 Q. And in an improvised explosive device, are there
times when
5 it does not operate totally efficiently? An improvised
6 explosive device? Would you expect that there could be
times
7 when it would not operate totally efficiently?
8 A. Okay. And the question -- I'm sorry -- is?
9 Q. Would you expect that at times an improvised device
-- not
10 a manufactured product --
11 A. An improvised device if it was put together
incorrectly or
12 inefficiently?
13 Q. Or inefficiently, like you said.
14 A. Then the answer to the question, yes, I would
expect.
15 Q. So you could find remains of the barrel or other
items?
16 A. Yes. And if you would find the remains of the

ammonium

17 nitrate, I would expect you would find the remains of
the

18 barrel.

19 Q. Thank you very much.

20 One other question: If you had that same
device that

21 operated -- I don't know, would you call it "partially

22 efficiently"? I don't know what the term is. It
detonated,

23 let's say, but it wasn't optimum efficiency. How about
that?

24 A. Usually they say non-ideal or partially, yes.

25 Q. Let's say it left behind some ammonium nitrate
prills. If

7123

Paul Rydlund - Redirect

1 those prills were exposed to a heavy rain, what would
happen?

2 A. If they were exposed to heavy rain, they would
dissolve.

3 MS. WILKINSON: No further questions.

4 THE COURT: Mr. Tigar.

5 MR. TIGAR: Just on that one --

6 RECROSS-EXAMINATION

7 BY MR. TIGAR:

8 Q. I promised you wouldn't see me again, but here I
am.

9 If an improvised explosive device was made
10 inefficiently -- that was the question you were asked
-- you
11 said that the container -- a container such as a
plastic barrel
12 might survive in some sense. Is that correct?

13 A. That's correct.

14 Q. But if the device were efficient -- i.e.,
constructed
15 according to the standards that you talked about first
-- then
16 you would not expect the container to survive?

17 A. That is correct.

18 MR. TIGAR: All right. Thank you very much,
sir.

19 THE COURT: Excusing the witness now?

20 MS. WILKINSON: Yes, your Honor.

21 MR. TIGAR: Yes, your Honor.

22 Thank you, sir.

23 THE COURT: You're excused now.

24 THE WITNESS: Thank you.

25 THE COURT: You can leave. Thank you.

7124

1 Next witness.

2 MR. MACKEY: Your Honor, we would call Tim

Donahue.

3 THE COURT: All right. Mr. Donahue.

4 THE COURTROOM DEPUTY: Raise your right hand,
please.

5 (Timothy Donahue affirmed.)

6 THE COURTROOM DEPUTY: Would you have a seat,
please.

7 Would you state your full name for the record
and

8 spell your last name.

9 THE WITNESS: Timothy Patrick Donahue, D-O-N-
A-H-U-E.

10 THE COURTROOM DEPUTY: Thank you.

11 THE COURT: Mr. Mackey.

12 MR. MACKEY: Thank you, your Honor.

13 DIRECT EXAMINATION

14 BY MR. MACKEY:

15 Q. Mr. Donahue, good afternoon.

16 A. Good afternoon.

17 Q. Mr. Donahue, would you start by telling the jury a
little

18 bit about yourself. How old are you?

19 A. I'm 39.

20 Q. And are you married?

21 A. Yes.

22 Q. To whom?

23 A. To Lisa Donahue.

24 Q. And how long?

25 A. 16 years.

7125

Timothy Donahue – Direct

1 Q. You knew I was going to ask you that question
beforehand,

2 didn't you?

3 A. Pretty well, yeah.

4 Q. How about children?

5 A. Got a daughter and a son.

6 Q. Age?

7 A. Daughter is 14, and my boy's 9.

8 Q. And where do you live, Mr. Donahue?

9 A. I live about 15 miles northeast of Marion, Kansas.

10 Q. And where is Marion, Kansas?

11 A. East central Kansas.

12 Q. Born and raised in that area?

13 A. Yes.

14 Q. And back in 1964, did your dad buy a single section
of

15 ranch land in central Kansas?

16 A. A quarter section, yes.

17 Q. A quarter section. How many acres?

18 A. 160.

19 Q. And today, how large is the Donahue Ranch?

20 A. It's about 15,000.

21 Q. And do you spend your time working on that ranch?

22 A. Yes.

23 Q. Who helps in the operation of the Donahue Ranch?

24 A. Well, it's a partnership between my dad and my
brother and

25 myself; and my brother has a man that works for him,
and I have

7126

Timothy Donahue – Direct

1 a man that works for me.

2 Q. Essentially your brother, Dudley, and yourself with
each of

3 you having a hired hand?

4 A. Yes.

5 Q. And give the jury an overview of the Donahue Ranch
6 operations.

7 A. Well, we're primarily a cattle/cow/calf operation.
We run

8 about a thousand cows, back our own calves through the
9 wintertime and do some farming, usually around 8-, 900
acres of

10 dry wheat and some silage feed, a lot of alfalfa, hay,
feed our

11 cows.

12 Q. So you're a rancher?

13 A. Yes.

14 Q. And you farm only to ranch?

15 A. Yes.

16 Q. Mr. Donahue, does your family have any businesses
other
17 than the operation of the ranch?

18 A. Well, my dad is in the trailer-manufacturing
business, and
19 my parents also own and operate a bed and breakfast.

20 Q. I want to turn your attention, Mr. Donahue, to
central
21 Kansas. A map of it. You should find it in your
folder.
22 Exhibit 2045. 2045.

23 A. Okay.

24 Q. And having spent your life in central Kansas, are
you

25 familiar with the location of the cities that are shown
on that

7127

Timothy Donahue - Direct

1 exhibit?

2 A. Yes.

3 Q. Have you been to all of those places?

4 A. Yes.

5 MR. MACKEY: Your Honor, I'd like to move to
admit

6 Government's Exhibit 2045.

7 MR. TIGAR: No objection, your Honor.

8 THE COURT: 2045 is received, may be
displayed.

9 MR. MACKEY: Thank you.

10 Computer. Yes. Thanks.

11 BY MR. MACKEY:

12 Q. All right. Mr. Donahue, let's start on the east
side of

13 the state. And just for the record, do you see the
city of

14 Topeka, Kansas, noted there?

15 A. Yes.

16 Q. And what interstate runs through Topeka?

17 A. 70.

18 Q. And if you travel west on I-70 out of Topeka, do
you reach

19 Junction City?

20 A. Yes.

21 Q. And do you see that on that exhibit?

22 A. Yes.

23 Q. Northeast of Junction City: What city is noted
there on

24 the exhibit?

25 A. Be Manhattan.

running 1 Q. And what highway intersects I-70 at Junction City

2 north/south?

3 A. 77.

you pass 4 Q. And if you would turn left, go south on 77, would

5 a lake that's shown on that exhibit?

6 A. Yes.

7 Q. And what's the name of that lake?

8 A. Geary Lake.

come to 9 Q. And continuing south on 77 past Geary Lake, do you

10 the town of Herington, Kansas?

11 A. Yes.

12 Q. Is that accurately shown on the exhibit before you?

13 A. Yes.

intersect a 14 Q. And traveling on down 77, do you eventually

15 highway of 150 and 56?

16 A. Yes.

those 17 Q. And what town is closest to the intersection of

18 highways?

19 A. It's Marion.

20 Q. Are we getting close to your neighborhood?

21 A. Yes.

over to 22 Q. All right. Let's take a turn west on 56 and head

23 the next town on the map. What's shown there?

24 A. McPherson.

25 Q. All right. If you were to head back up north from

7129

Timothy Donahue - Direct

map? 1 McPherson, due north, what city would you find on the

2 A. Salina.

southernmost 3 Q. And bottoming out this exhibit, what's the

4 city in Kansas shown on this exhibit?

5 A. Wichita.

take it. 6 Q. Back up to Herington, Highway 56 takes a jog, I

7 A. Uh-huh.

8 Q. Runs north for a while and then returns east/west?

9 A. Yes.

next town 10 Q. If you take 56 east out of Herington, what's the

11 you come to?

12 A. Council Grove.

and 13 Q. And you have over the years been to Council Grove

exhibit? 14 Herington, Marion, and the other cities on this

15 A. Yes.

give
they
and

16 Q. Mr. Donahue, I've got a mileage chart here. Let me
17 you some numbers and see, based on your experience, if
18 represent accurate distances between those cities.

19 Is it approximately 37 miles between Marion
20 McPherson?

21 A. Yes.

22 Q. 82 miles between McPherson and Council Grove?

23 A. Yes.

24 Q. 58 miles between McPherson and Herington?

25 A. Yes.

7130

Timothy Donahue - Direct

City?

1 Q. About 25 miles from Herington north to Junction

2 A. Yes.

3 Q. About 24 miles from Herington to Marion?

4 A. Yes.

5 Q. And about 24 miles from Herington to Council Grove?

6 A. Yes.

where the

7 Q. I wonder if you'll take your light pen up there,
8 Mr. Donahue, and give us a little orientation about
9 Donahue Ranch is as shown on this exhibit.

10 A. This?

11 Q. Yes, please.

12 A. Right in -- right in that area.

13 Q. And the area that Dudley Donahue farms: In what
direction

14 from your ranch is it?

15 A. That would be west.

16 Q. And if you could mark that, please.

17 A. Right in that area.

18 Q. And do you ranch and farm an area known to you as
Clover

19 Cliff?

20 A. Yes.

21 Q. Could you mark that area or that ranch area on that
22 exhibit?

23 A. In that area.

24 Q. The 15,000 acres that your family owns and
operates: How

25 many different counties does it sit in?

7131

Timothy Donahue - Direct

1 A. Two.

2 Q. And those counties are, by name?

3 A. Marion and Chase County.

4 Q. You told the jury that the way the day-to-day
operation

5 works is you have a hired hand and your brother has
one?

6 A. Yes.

7 Q. As it relates to your hired hand over the years and
8 specifically in 1994, tell the jury what you paid your
hired
9 hand.

10 A. He was getting \$300 a week. He was provided a
house,
11 utilities, half a beef every six months, and then we
was paying
12 \$200 on his family insurance.

13 Q. And what does that compute bottom line in terms of
an
14 annual salary?

15 A. I would gross it about 23,000 a year with his
benefits.

16 Q. Mr. Donahue, I want to show you a couple of aerial
photos
17 of your ranch. Look in your folder for Exhibits 40 and
41.

18 A. Okay.

19 Q. You've seen those before?

20 A. Yes.

21 Q. And are they fair and accurate depictions of at
least part

22 of the Donahue Ranch, at least the parts that show the
location

23 of your home and the hired ranch -- or hired hand's
ranch home?

24 A. Yes.

25 MR. MACKEY: Your Honor, I'd move to admit

7132

Timothy Donahue - Direct

1 Government's Exhibits 40 and 41.

2 MR. TIGAR: No objection, your Honor.

3 THE COURT: Received.

4 BY MR. MACKEY:

5 Q. Mr. Donahue, let me ask you to click your pen up
there and

6 we'll clear the screen and show these photographs.

7 This looks like flat Kansas; right?

8 A. Yes.

9 Q. All right. But there is a line running up and down
the

10 length of the photo. You recognize what that road is?

11 A. Yes.

12 Q. And what is that road?

13 A. County road connecting our ranch with hired man's
house.

14 Q. Get us oriented. Where is your home on Exhibit 40?

15 A. It's at the very top of the picture.

16 Q. And where is the hired hand's ranch home?

17 A. Very bottom.

18 Q. All right. Let's show you Government's Exhibit 42.
And is

19 that the same -- I'm sorry. 41. My error.

20 Thank you.

21 Is that the same north/south road that you
identified

22 earlier, just from the opposite direction?

23 A. Yes.

24 Q. So is your home at the bottom and the ranch hand's
home is

25 toward the top of the photo?

7133

Timothy Donahue - Direct

1 A. That's correct.

2 Q. Let's show you, please, Government's Exhibits 42
and 43.

3 A. Okay.

4 Q. And do you recognize what's shown in each of those
5 photographs?

6 A. That's the house we provide for our hired man.

7 Q. And each of the two photographs, 42 and 43. Is
that

8 correct?

9 A. Yes, uh-huh.

10 MR. MACKEY: Your Honor, we'd move to admit
those two

11 exhibits.

12 MR. TIGAR: May I ask one question, your

Honor?

13 THE COURT: Yes, you may.

14 VOIR DIRE EXAMINATION

15 BY MR. TIGAR:

16 Q. Good afternoon, Mr. Donahue. I'm Michael Tigar. I
17 represent Terry Nichols.

18 A. Okay.

19 Q. Just -- on that Photograph No. 42 you have there --

20 A. Yes.

21 Q. -- you have there is a blue pickup there?

22 A. Uh-huh.

23 Q. That's not Mr. Nichols' pickup, is it?

24 A. No.

25 Q. That just happened to be there at the time this was
taken?

7134

Timothy Donahue - Voir Dire

1 A. Yes.

2 MR. TIGAR: Thank you, your Honor. We have no
3 objection.

4 THE COURT: All right. They're received. 42
and 43.

5 DIRECT EXAMINATION CONTINUED

6 BY MR. MACKEY:

7 Q. Mr. Donahue, the jury is now looking at Photograph

No. 42.

8 Tell them, please, what's shown.

9 A. That's the house that we provide for our hired man.

10 Q. And the road that appears in the foreground of that
11 photograph: Is that the same one we were looking at
from high

12 in the air?

13 A. Yes.

14 MR. MACKEY: Show the jury, please, Exhibit
43.

15 BY MR. MACKEY:

16 Q. Is that simply a closer view of that same
residence?

17 A. Yes.

18 Q. Mr. Donahue, what was the address if you lived at
that

19 residence -- what was the address that you would use?

20 A. It was Route 3, Box 83, Marion.

21 Q. And take a look, please, at an exhibit marked No.
88 in

22 your folder.

23 A. Okay.

24 Q. Do you see a line for address on that document?

25 A. Yes.

7135

Timothy Donahue - Direct

1 Q. And do you recognize that address?

2 A. Yes.

3 Q. And what address is on that document?

4 A. That's the address to the hired hand's house.

5 Q. Is that the same address, Route 3, Box 83, Marion?

6 A. That's correct.

7 Q. Could you tell the jury who was living at that home
at that
8 address in September of 1994?

9 A. Terry Nichols.

10 Q. Do you know a person by the name of Shawn Rivers?

11 A. No.

12 Q. To your knowledge, has anyone by the name of Shawn
Rivers
13 ever lived at your hired hand's ranch home?

14 A. Not to my knowledge, no.

15 Q. Mr. Donahue, do you know Terry Nichols?

16 A. Yes.

17 Q. And when did you first meet him?

18 A. March of '94.

19 Q. How did you come to know him?

20 A. I was running an ad for a hired man, and he
responded to

21 the ad.

22 Q. Did you eventually hire Mr. Nichols as your hired
hand?

23 A. Yes.

24 Q. How long did he work for you?

25 A. Just a little over six months.

7136

Timothy Donahue - Direct

1 Q. And do you recall the date that he last worked for
you?

2 A. Yes.

3 Q. What is that date?

4 A. That was September 30 of '94.

5 Q. Mr. Donahue, let me ask you: If Mr. Nichols were
in the

6 courtroom, based on having worked with you for six
months,

7 would you be able to identify him?

8 A. Yes.

9 Q. Would you do so at this time?

10 A. Sitting at the table there, the blue jacket on.

11 MR. TIGAR: The identification is conceded,
your

12 Honor.

13 THE COURT: All right. Thank you.

14 MR. MACKEY: Thanks.

15 BY MR. MACKEY:

16 Q. Mr. Donahue, let's take us back in time to when you
first

17 met Terry Nichols and talked to him about coming to
work for

place and 18 you. Could you describe where that conversation took

19 what was said.

my folks 20 A. It would have been at the ranch yard where me and

21 live.

22 Q. And tell us what happened in that conversation.

just 23 A. Well, he come out for a personal interview, and we
24 discussed his experiences and what the job entailed and

25 got to know each other a little bit.

7137

Timothy Donahue – Direct

living? 1 Q. Did Mr. Nichols tell you at that time where he was

2 A. He was staying in a motel in Junction City.

3 Q. Did he tell you where he was from?

4 A. Yes. From Michigan.

5 Q. Did he give you any details about his employment
6 background?

and they 7 A. He had been farming with his brother in Michigan;

a job 8 had some personal differences, and he needed to go find

9 somewhere else.

10 Q. Did he tell you how he had come to select Kansas?

liked 11 A. He told me he had been through the area before and
someday 12 the country and wanted to move down here and eventually
13 start a ranch, I guess.

marital 14 Q. What did you learn from Mr. Nichols about his
15 situation?

be 16 A. That he was married and had a small child. They'd
17 coming shortly.

him if 18 Q. Did you discuss with Mr. Nichols what you would pay
19 he came to work for you?

20 A. Yes.

21 Q. Did you tell him what you told this jury?

22 A. Yes.

23 Q. In terms of the compensation?

24 A. Yes.

of him 25 Q. And did you tell Mr. Nichols what would be expected

7138

Timothy Donahue – Direct

1 in terms of the day-to-day work?

2 A. Yes.

3 Q. All right. What did you tell him?

4 A. Just that at the beginning of his employment it

would be a

5 lot of livestock work, feeding cattle, taking care of
cattle.

6 Then after about, oh, first of May, we go more to
farming

7 operations. We have all of our cattle out on pasture,
and it's

8 more strictly grain farming and explained that to him.

9 Q. Did you tell him how many days per week you would
expect

10 he'd be working?

11 A. Yeah. It was between five-and-a-half- and six-day
12 workweek; and I don't know if I went into average on
hours, but

13 we generally figure about -- averaging about 60 hours a
week.

14 Q. Did Mr. Nichols make any statement to you on this
first

15 occasion about his willingness to make a commitment?

16 A. Oh, yeah. He was -- he was interested in coming to
work

17 and said he'd give me a commitment of working for me
for two

18 years.

19 Q. What did you say in response to that?

20 A. I thought that was kind of tough to live up to
that, so I

21 just requested that he give me a month's notice when he
quit.

22 That would help me out and if plans changed. And
whether he'd

23 be able to keep that two-year commitment was doubtful
to me, so
24 I just requested that he give me a month's notice when
he
25 terminated.

7139

Timothy Donahue - Direct

1 Q. Mr. Donahue, did you hire Mr. Nichols on the spot?
2 A. No.
3 Q. That is, on that first day?
4 A. No.
5 Q. What did you do?
6 A. We just agreed to get together in a few days and
each of us
7 think it over. And that's what we did.
8 Q. And did you eventually then decide to hire Mr.
Nichols?
9 A. Yes.
10 Q. As your hired hand?
11 A. Yes.
12 Q. And in the course of the six months or so that
followed
13 thereafter, did you and Mr. Nichols have much social
contact?
14 A. Socially, no.
15 Q. How often was he in your home?
16 A. Oh, once for Sunday lunch and then maybe a time or

two to

17 use the telephone. That would have been the only times
that I 18 remember.

19 Q. And were you ever invited to Mr. Nichols' home
socially?

20 A. No.

21 Q. Let's spend a little more time, Mr. Donahue,
talking about

22 the nature of the work during that period between March
and

23 September of 1994. You told the jury that in the early
spring

24 you had spent a lot of time attending to the cattle?

25 A. Yes, that's correct.

7140

Timothy Donahue - Direct

1 Q. And I assume you put in a crop of corn sometime in
April?

2 A. Yes.

3 Q. How would the work change as the month of May
rolled

4 around?

5 A. Well, early May, first couple weeks in May, we'd be
pretty

6 busy moving all the cows and calves to grass, to
pasture. And

7 after that, after the cattle are on grass, then they're
pretty

8 well self-sufficient; so we give more to planting milo,
feed,
9 things like that, hay. We go to more a farming
operation.

10 Q. So by the summer, the cattle are out to pasture and
you're
11 spending more of your day-to-day time in the farming
12 operations?

13 A. Yes.

14 Q. Could you tell the jury how Mr. Nichols would start
each
15 workday during the time he worked for you?

16 A. He would drive his pickup up to the ranch yard and
start
17 there at 7:30 in the morning.

18 Q. And that's again the 2-mile road that we saw
earlier in the
19 aerial photographs?

20 A. Yes.

21 Q. And approximately what time each morning would he
arrive?

22 A. About 7:30.

23 Q. And what would happen at the start of each day up
at your
24 house?

25 A. Well, we'd get together and kind of go over the
day's

Timothy Donahue – Direct

1 outline of what we was going to do, and then we'd
proceed to go

2 do that.

3 Q. Some of the days, did you work in the same field
together?

4 A. Yes.

5 Q. And other days in entirely different parts of this
6 15,000-acre operation?

7 A. Yes.

8 Q. Tell us a little bit about the lunch breaks. How
was that

9 handled?

10 A. Well, generally we'd each go to our own house and
eat

11 lunch. On occasions we'd eat together. On occasion
we'd just

12 eat while we was operating the tractor at times. But
I'd say

13 majority of the time for sure we'd go to our own houses
and eat

14 dinner.

15 Q. And I take it there was no set time when work would
stop

16 and the lunch would start?

17 A. No, not really. We'd shoot for noon, but that --
that

18 varied quite a bit. Just depended on what we was
doing, time

19 schedule.

20 Q. So at any point in his employment between late
morning and
21 early afternoon, Mr. Nichols could well have been at
his home?

22 A. It's possible, yes.

23 MR. TIGAR: Object to the leading, your Honor.

24 THE COURT: Sustained.

25 BY MR. MACKEY:

7142

Timothy Donahue - Direct

1 Q. Mr. Donahue, let me ask you a few questions about
2 fertilizer and the use of fertilizer at your operation.
Tell
3 the jury what you use or have over the years at least
till
4 1994.

5 A. Well, most of our fertilizer is commercially
applied.

6 Q. Which means?

7 A. We have the co-op -- the local co-op come and
spread it on
8 our fields for us with their own truck. We don't
handle it
9 any. The only time we handle any is through our
planters.

10 It's a blended fertilizer we incorporate at planting
time with
11 milo and corn.

of your 12 Q. In all the years of your operation, the farming end

13 operations, have you ever used ammonium nitrate?

14 A. No.

do as a 15 Q. Do you know any other farmers in central Kansas who

16 routine matter?

17 A. No.

18 Q. Which co-op do you frequent?

19 A. It's Tampa Co-op Association.

op? 20 Q. Have you ever been a member of the Mid-Kansas Co-

21 A. No.

22 Q. Have you ever done business at Mid-Kansas Co-op in
23 McPherson?

24 A. No.

Kansas, 25 Q. Have you at any time sent Terry Nichols to Mid-

7143

Timothy Donahue - Direct

1 McPherson, Co-op in the course of his employment?

2 A. No.

trailers of 3 Q. Mr. Donahue, in operating this farm, do you use

4 any type or size?

5 A. Yes.

6 Q. Describe that for the jury, please.

7 A. All of the different types? I mean, we use
livestock
8 trailers, trailers to haul grain with, flatbed trailers
to haul
9 hay with, utility trailers to hold salt and four-
wheelers, that
10 kind of thing, with.

11 Q. How many utility trailers did you have in September
and
12 October of 1994?

13 A. Three.

14 Q. And what sizes were they?

15 A. There was one smaller one that's a 4-by-8, and then
there
16 is two larger ones that are classified as 8-by-12's.

17 Q. Take a look, please, at Government's Exhibits 2071
through
18 2074. Should be four photographs.

19 A. Okay.

20 Q. Do you recognize what's common in each of those
pictures?
21 A. Yes. It's our utility trailers.

22 Q. And are those photographs of the small utility
trailer that
23 you had on your farm in September and October of 1994?

24 A. Yes.

25 MR. MACKEY: Your Honor, I would move to admit
those

Timothy Donahue - Direct

1 four photographs, 2071 through 2074.

2 THE COURT: Are these all the same trailer,
3 Mr. Donahue?

4 THE WITNESS: Yes.

5 MR. TIGAR: No objection, your Honor.

6 THE COURT: All right. They're received.

7 MR. MACKEY: May we publish, please.

8 THE COURT: Yes.

9 BY MR. MACKEY:

10 Q. Mr. Donahue, we're going to show the jury those
four
11 photographs. Would you just tell them what they're
looking at.

12 A. It's three little utility trailers that we use on
our ranch
13 operation.

14 Q. Take a look at the one that's on the screen right
now,
15 2071.

16 A. Okay.

17 Q. What size bed does that small utility trailer have?

18 A. That's a 4-foot-by-8-foot.

19 Q. Let's take a look at 2072. Is that another angle
of the

20 same small utility trailer?

21 A. Yes.

22 Q. And 2073?

23 A. Yes.

24 Q. This is a photo taken of the same trailer from the
opposite

25 side?

7145

Timothy Donahue - Direct

1 A. Yes.

2 Q. And how about 2074?

3 A. Same trailer.

4 Q. You mentioned you had some larger utility trailers.
How is

5 the small one, the 4-by-8, different from the other two
larger

6 ones?

7 A. The smaller one has white-spoked wheels on it.
It's a

8 newer version of the trailer that they built. It's got
a metal

9 fold-down end gate on it and then that rail that goes
around

10 the bed.

11 Q. And the word "Donahue" appears on the metal
tailgate --

12 A. Yes.

13 Q. -- on the small trailer.

14 Have you used that small trailer over the
years?

15 A. Oh, yes.

16 Q. And you mentioned earlier about hauling salt with
it.

17 A. Yes.

18 Q. Tell the jury when and what you've done with that.

19 A. Well, occasionally I go to the co-op and get a
pallet of

20 salt, and they set it on there and I haul it out to the

21 pastures to put out for the livestock to lick.

22 Q. And what's the weight of the pallet of salt that
you

23 routinely buy and load onto that utility trailer?

24 A. It's 2,000 pounds.

25 Q. Is that 1 ton, then?

7146

Timothy Donahue - Direct

1 A. Yes.

2 Q. And I take it you then travel on the highway with
that load

3 from the co-op out to the pasture?

4 A. Yes.

5 Q. In September and October of 1994, where more often
than not

6 was the small utility trailer?

Ranch. 7 A. Most of the time it was down at the Clover Cliff
home? 8 Q. And that's the ranch some distance east of your
9 A. Yes.
10 Q. When it was there, was it under lock and key?
11 A. No.
12 Q. Was it secured in any fashion?
13 A. No.
14 Q. Where was it usually parked?
15 A. It was usually parked down along a feed bunk there
not too
16 far from the caretaker's house.
17 Q. In September and October of '94, was anyone living
at the
18 Clover Cliff Ranch?
19 A. No.
20 Q. The caretaker that you mentioned: Did you have one
on site
21 living there?
22 A. No. He was traveling -- he'd get there in the
morning and
23 leave in the evening.
24 Q. When was payday at the Donahue Ranch?
25 A. Every other Friday.

Timothy Donahue – Direct

other 1 Q. And was your routine to pay Mr. Nichols then every

2 Friday?

3 A. Yes.

paycheck 4 Q. And what was his routine in terms of having that

5 cashed?

during 6 A. Most often he would take it to the bank sometime

7 that day and get cashed.

period he 8 Q. Do you know where Mr. Nichols banked in the time

9 worked for you?

10 A. Most of the time in Marion.

11 Q. Let me show you Government's Exhibit 46A.

12 A. Okay.

13 Q. And do you recognize what that is?

14 A. It's a map of my area where we live and ranch.

your 15 Q. Is it a section map that shows the location both of

16 residence and the town of Marion, Kansas?

17 A. Yes.

Government's 18 MR. MACKEY: Your Honor, I move to admit

19 Exhibit 46A.

20 MR. TIGAR: No objection, your Honor.

21 THE COURT: Received. 46A.

22 BY MR. MACKEY:

23 Q. Mr. Donahue, with the pen, just get the jury
grounded as to

24 where Mr. Nichols' house was in September and October
of 1994

25 on this map.

7148

Timothy Donahue - Direct

1 A. Okay. Right there.

2 Q. Could you draw a big circle, then, around the town
of

3 Marion, Kansas.

4 And over the years, have you become familiar
with the

5 location of a rock quarry near Marion, Kansas?

6 A. Yes.

7 Q. Would you circle the location of the rock quarry on
that

8 same exhibit.

9 MR. MACKEY: Your Honor, may we ask Kathi to
print

10 that out for the record?

11 THE COURT: We'll ask her to try.

12 MR. MACKEY: Don't clear your pen yet, Mr.
Donahue.

13 THE WITNESS: Oh, I did. I already did.

14 BY MR. MACKEY:

up, 15 Q. We lost the rock quarry. Could you put that back

16 please.

17 A. Okay.

18 Q. Thanks.

your 19 Mr. Donahue, Terry Nichols eventually left

20 employment.

21 A. Yes.

when he 22 Q. And do you remember having conversation with him

23 told you he intended to do so?

24 A. Yes.

25 Q. Do you remember where that conversation took place?

7149

Timothy Donahue - Direct

1 A. I believe it was in the ranch yard.

2 Q. Do you remember what date?

3 A. It was right the last day or two of August.

4 Q. Can you tell the jury what happened in that
conversation.

going to 5 A. Well, he told me he was giving his notice, he was

told him 6 be quitting in 30 days; and we talked a little bit. I

anything I 7 I hated to see him leave and asked if there was

8 could do to get him to stay. He said he had been
offered a job
9 working for a friend and could make twice what I was
paying
10 him, so I couldn't -- I couldn't compete with that. So
I let
11 him go.

12 Q. Did he tell you what new business or what new field
of work
13 he was going into with this friend?

14 A. Yeah. He was going to gun trade shows.

15 Q. Prior to that conversation in late August, 1994,
had you
16 and Mr. Nichols ever talked about gun shows?

17 A. No.

18 Q. Do you know whether he had an inventory of guns or
anything
19 of the like?

20 A. Not to my knowledge.

21 Q. Had he told you he had ever attended a gun show
before?

22 A. No.

23 Q. Did Mr. Nichols tell you in that conversation the
name of
24 the friend with whom he was going to go into the gun
show
25 business?

Timothy Donahue – Direct

1 A. No.

2 Q. In the course of the time that Mr. Nichols worked
with you,

3 did he ever mention any male friend by name?

4 A. Yes.

5 Q. And how many different people?

6 A. Just one that I remember.

7 Q. And what name was the one friend he mentioned to
you?

8 A. Tim.

9 Q. And when did he first mention the name Tim to you?

10 A. Oh, it was the last 60 days, maybe, that he worked
there.

11 Q. And what information did Mr. Nichols tell you about
this

12 friend named Tim?

13 A. He told me he had a friend named Tim that was in
Desert

14 Storm and the government had implanted a chip into him
that

15 monitored his whereabouts.

16 Q. Did he tell you anything about what business Tim
was in?

17 A. No.

18 Q. All right. When you had the conversation with Mr.
Nichols

19 about leaving to join the gun show, did he tell you the
friend

20 he was referring to was this person named Tim?

21 A. No.

the two
22 Q. In the course of your employment with Mr. Nichols,
Nichols
23 of you together, do you recall a conversation where Mr.
24 told you about building a bomb from fertilizer?
25 A. Yes.

7151

Timothy Donahue - Direct

1 Q. Do you remember approximately when that
conversation took
2 place?
3 A. It was the last 30, maybe 60 days that he worked
there.
4 Q. And do you recall who was present?
5 A. Just me.
6 Q. Yourself and Mr. Nichols?
7 A. Yes.
8 Q. Where did the two of you have an opportunity in the
course
9 of a day to have conversations?
10 A. A lot of times it was in the pickup going to and
from the
11 job site.
12 Q. Could you tell the jury what you and Mr. Nichols
talked
13 about concerning making bombs out of fertilizer?

14 A. Well, it's just related to that fact; that I
believe we was
15 talking about fertilizer in general and that he made a
16 statement that he knew how to make bombs using
fertilizer and
17 that he had -- they'd used it -- him and his brother
had used
18 it up in Michigan to blow up rocks and tree stumps.
19 Q. Did he say anything about being proud that he knew
how to
20 make a bomb out of fertilizer?

21 MR. TIGAR: Object to the leading, your Honor.

22 THE COURT: Yes. Sustained.

23 BY MR. MACKEY:

24 Q. What other details did you learn in this
conversation from
25 Mr. Nichols?

7152

Timothy Donahue - Direct

1 A. About building the bomb?
2 Q. Yes.
3 A. Not really anything, I guess. It wasn't a lengthy
4 discussion that I remember. It -- he just made that
statement
5 and that -- well, that they had tried several attempts
to blow
6 up this one rock and finally had increased the charge

enough to

7 get it done.

8 Q. Mr. Donahue, did you and Mr. Nichols ever talk
about Waco,

9 Texas, and the events at Waco, Texas?

10 A. Yes.

11 Q. Do you remember when and where that happened?

12 A. That was also in the pickup going to and from the
job site;

13 and that was towards the end, I'd say last 30 days.

14 Q. Describe to the jury what was said in that
conversation.

15 A. Well, he was talking about government and being too
big and

16 too powerful and that they had abused their power and
raided

17 some -- raided the compound at Waco and killed some
innocent

18 people.

19 Q. And those were his words?

20 A. Yes.

21 Q. Did he describe his view about the government
because of

22 Waco to you at that time?

23 A. Yes.

24 Q. And how did he say it?

25 A. Well, it's just too big, too powerful, too much
power.

Timothy Donahue – Direct

1 Q. In the course of your relationship with Mr.
Nichols, do you

2 recall a conversation where he mentioned the overthrow
of the
3 government?

4 A. Yes.

5 Q. Tell the jury when and where that happened.

6 A. Well, again, that was in the pickup going to and
from the

7 job site. And again, it was towards the end, the last
three,

8 four weeks of his employment there. And he often
talked about

9 government being too big and too much power and that he
felt

10 that the government needed to be overthrown and that
Thomas

11 Jefferson had written that it was our duty to overthrow
the

12 government when it did get too powerful.

13 Q. Prior to that time in the conversation with Mr.
Nichols,

14 had you ever heard anybody else make that kind of
remark?

15 A. No.

16 Q. Mr. Donahue, I want you to take a look at a
photograph

17 previously admitted into evidence, Government's Exhibit
318.

18 A. Okay.

19 Q. Do you know the person that's shown in that
photograph?

20 A. Yes.

21 Q. You can take your pen and clear the screen, please.

22 A. Okay.

23 Q. And who is that?

24 A. That's Timothy McVeigh.

25 Q. Prior to the time that Mr. Nichols left work for
you the

7154

Timothy Donahue – Direct

Donahue 1 end of September, 1994, had you seen Tim McVeigh at the

2 Ranch?

3 A. Yes.

4 Q. On how many different occasions?

5 A. Two times.

6 Q. At the time you saw Mr. McVeigh at the Donahue
Ranch, did

7 you know his name and identity at that time?

8 A. No.

9 Q. All right. When and how did you later come to know
that

10 the person you had seen there was Tim McVeigh?

11 A. Well, the first time I realized his name was when I

seen

12 his picture on TV connected with it.

13 Q. In connection with the bombing?

14 A. Yes.

15 Q. So it would have been after April 19 of '95?

16 A. Yes.

17 Q. Let's return our attention to the first occasion
that you

18 saw Tim McVeigh at your ranch. Tell the jury when and
where.

19 A. That was down at Clover Cliff. I was on one side
of the

20 highway preparing a tractor and implement to work in
the field,

21 and a small silver car drives up with two people in it.
And

22 the lady walks over to me -- I was outside the tractor
-- and

23 asked me where Terry was.

24 Then I realized it was Marife and --

25 Q. Who is Marife?

7155

Timothy Donahue - Direct

1 A. That's Terry's wife.

2 Then I walked over to the driver's door. It's
a

3 little bit difficult to explain how to get over to
where Terry

4 was; so I walked over to the driver and started to
explain to
5 him how to get over there and then just decided to lead
him
6 over there and told him to follow me.

7 Q. And did you do that?

8 A. Yes.

9 Q. And what happened on the other side of the highway?

10 A. He drove up to Terry, and they got out and talked
for a
11 while; and then I turned around and went back to my
job.

12 Q. Do you remember approximately when that was that

13 Mr. McVeigh came out to the field looking for Mr.
Nichols?

14 A. Oh, that would have been towards the end, I would
say -- I
15 would say the last month again.

16 Q. Mr. Donahue, take a look at two photographs that
are marked

17 Exhibits 44 and 45.

18 A. Okay.

19 Q. What are those, please.

20 A. That's Clover Cliff Ranch.

21 Q. Is that the same location that you first
encountered

22 Mr. McVeigh?

23 A. Yes.

24 MR. MACKEY: Your Honor, we'd move to admit

those two

25 exhibits, 44 and 45.

7156

Timothy Donahue – Direct

1 MR. TIGAR: No objection, your Honor.

2 THE COURT: All right. 44 and 45 are
received, may be

3 shown.

4 BY MR. MACKEY:

5 Q. Using the photographs, Mr. Donahue, can you show
the jury

6 where it was that you were working and where it was
that you

7 took Mr. McVeigh.

8 A. Just draw a line through the roads we took?

9 Q. Sure.

10 A. Okay. I was over in this area.

11 Q. The upper right-hand corner of the exhibit?

12 A. Yes.

13 Q. Okay. That would be on the, what, south side of
the

14 highway?

15 A. Yes. And then we got -- he followed me up here,
the

16 highway, right like that.

17 Q. There are a number of structures that are shown in
that

ranch 18 picture, sort of small, perhaps. But are those the

19 house, or the structures at Clover Cliff?

20 A. Yes.

21 Q. Can we see them better in Government's Exhibit 45?

22 A. Yes.

23 MR. MACKEY: Show that, please.

24 BY MR. MACKEY:

mentioned 25 Q. And is this the same Clover Cliff Ranch that you

7157

Timothy Donahue - Direct

of 1994? 1 that the utility trailer was often located in the fall

2 A. Yes.

3 THE COURT: We're at our usual time to recess.

4 MR. MACKEY: All right.

tomorrow, 5 THE COURT: We'll have to have you back

6 Mr. Donahue.

7 THE WITNESS: Okay.

8 THE COURT: You may step down now. Thank you.

usual time 9 Members of the jury, having arrived at our

that I 10 for recess, we will do so with the cautions, of course,

11 must again repeat. And, you know, as you hear more, I
suppose
12 you understand the scope of what you have to stay away
from
13 expands as well, because you've heard a lot this
afternoon
14 about chemistry and explosives and so forth. And
obviously,
15 those are things you must stay away from, too. You
must avoid
16 anything that could touch and concern the issues to be
decided
17 in this case. We ask your cooperation in that regard.
18 And also, of course, you must continue not
only to
19 avoid discussion among yourselves but with all other
persons
20 about anything happening here in the trial and also in
your own
21 minds reserve any judgment until you've heard it all.
You're
22 going to hear a lot more than what you've heard so far.
23 So please continue to follow these cautions,
being
24 careful about all that you may read, see, and hear and
25 publications and communications of any type so that you
can be

7158

1 true to your oath in this case.

2 You're excused now till we resume at 9:00
tomorrow

3 morning.

4 (Jury out at 5:02 p.m.)

5 THE COURT: Mr. Tigar?

6 MR. TIGAR: May the witness be admonished --
is it

7 your Honor's practice to do that? Or counsel can,
perhaps.

8 MR. MACKEY: I certainly --

9 THE COURT: I rely on counsel to avoid
discussions

10 with the witness and to remind him of his obligations.

11 MR. TIGAR: Very well, your Honor. That's
certainly

12 acceptable to us. I just wanted to know your Honor's
practice.

13 MR. MACKEY: For the record, I'd like to move
to admit

14 Government's Exhibit 46C.

15 THE COURT: Did it work?

16 MR. MACKEY: Yes, it did. Yes, it did.

17 MR. TIGAR: May I look, your Honor?

18 THE COURT: Yes, of course.

19 MR. TIGAR: It's virtually illegible, your
Honor; and

20 I have no objection to it, however.

21 THE COURT: It can't hurt if you can't read
it, I

22 guess.
23 MR. TIGAR: That's right.
24 THE COURT: 46C is received.
25 MR. MACKEY: Thank you, Judge.

7159

9:00. 1 THE COURT: All right. We'll be in recess.

2 (Recess at 5:04 p.m.)

3 * * * * *

4 INDEX

Page 5 Item

6 WITNESSES

7 Paul Rydlund

8 Direct Examination by Ms. Wilkinson
7015

9 Cross-examination by Mr. Tigar
7077

10 Redirect Examination by Ms. Wilkinson
7118

11 Recross-examination by Mr. Tigar
7123

12 Timothy Donahue

13 Direct Examination by Mr. Mackey
7124

14 Voir Dire Examination by Mr. Tigar
7133

10 690 7065 7065
11 691 7051 7051
12 692 7046 7047
13 693 7052 7052
14 1298 7067 7067
15 2045 7127 7127
16 2071-2074 7143 7144

17 * * * * *

18 REPORTERS' CERTIFICATE

19 We certify that the foregoing is a correct
transcript from

Dated 20 the record of proceedings in the above-entitled matter.

21 at Denver, Colorado, this 5th day of November, 1997.

22

23

Paul Zuckerman

24

25

Kara Spitler