

21
22
23
24
Transcription
Street,
629-9285

Proceeding Recorded by Mechanical Stenography,
Produced via Computer by Paul Zuckerman, 1929 Stout
P.O. Box 3563, Denver, Colorado, 80294, (303)

10837

1 APPEARANCES
2 PATRICK RYAN, United States Attorney for the
Western
3 District of Oklahoma, 210 West Park Avenue, Suite 400,
Oklahoma
4 City, Oklahoma, 73102, appearing for the plaintiff.
5 LARRY MACKEY, BETH WILKINSON, GEOFFREY MEARNES,
JAMIE
6 ORENSTEIN, and AITAN GOELMAN, Special Attorneys to the
U.S.
7 Attorney General, 1961 Stout Street, Suite 1200,
Denver,
8 Colorado, 80294, appearing for the plaintiff.
9 MICHAEL TIGAR, RONALD WOODS, and JANE TIGAR,
Attorneys
10 at Law, 1120 Lincoln Street, Suite 1308, Denver,
Colorado,
11 80203, appearing for Defendant Nichols.
12 * * * * *

13

PROCEEDINGS

14

(In open court at 8:45 a.m.)

15

THE COURT: Be seated, please.

16

I need counsel at the bench, but I don't know

--

17

MR. MACKEY: See if we can get there.

18

(At the bench:)

19

(Bench Conference 92B1 is not herein transcribed by

court

20

order. It is transcribed as a separate sealed

transcript.)

21

22

23

24

25

10841

1

(In open court:)

2

THE COURT: Members of the jury, good morning.

3

Just to remind you, we were hearing testimony

from

4

Mr. Buechele when we recessed, and we had just received

into

5

evidence two exhibits, 28 -- 786 and 786B, and we're

about to

bring in 6 inquire about those. So that's where we were. We'll

7 Mr. Buechele.

under the 8 Good morning. If you'll resume the stand

9 oath earlier taken.

10 (Richard Buechele was recalled to the stand.)

11 THE COURT: Ms. Wilkinson.

12 MS. WILKINSON: Thank you.

13 DIRECT EXAMINATION CONTINUED

14 BY MS. WILKINSON:

15 Q. Good morning, Mr. Buechele.

16 A. Good morning.

limited 17 Q. You told us yesterday that you had done some

recall 18 testing on Government's Exhibit 786 and 786B. Do you

19 that?

20 A. Yes, I do.

that 21 Q. Did you do additional testing on some other plastic

22 was collected from the crime scene?

23 A. Yes, I did.

24 MS. WILKINSON: Your Honor, may I approach the
25 witness?

1 THE COURT: Yes.

2 BY MS. WILKINSON:

3 Q. I'm handing you Government's Exhibit 785. Do you
recognize

4 that, Mr. Buechele?

5 A. Yes, I do. It bears my initials on the back of
this bag.

6 Q. And do you recognize the plastic inside of that
bag?

7 A. Yes, I do.

8 Q. Did you alter that plastic in any way?

9 A. No, ma'am, I did not.

10 Q. Did you cut off portions for testing?

11 A. Again, as I mentioned yesterday, I would have
removed a

12 small sliver of one of those pieces of plastic for
testing.

13 Q. And is that the only way you altered the plastic
that's in

14 Government's Exhibit 785?

15 A. Yes, it is.

16 MS. WILKINSON: Your Honor, we'd offer
Government's

17 Exhibit 785.

18 MR. TIGAR: May I --

19 MS. WILKINSON: I'm sorry. I forgot one other
20 question.

21 BY MS. WILKINSON:

22 Q. Is there also a Q number on there, Mr. Buechele?

23 A. Yes, there is. This was given Laboratory No. Q116.

24 Q. And is that how you referred to it when you were
doing your

25 testing?

10843

Richard Buechele - Direct

1 A. Yes, it is.

2 THE COURT: Yes, you may inquire.

3 MR. TIGAR: May I approach? Thank you, your
Honor.

4 VOIR DIRE EXAMINATION

5 BY MR. TIGAR:

6 Q. Agent Buechele, when this item that's labeled
"plastics"

7 labeled "Q785" came to you, it was in a plastic Ziploc
bag;

8 correct?

9 THE COURT: I think there's a misreference.
You said

10 Q --

11 MR. TIGAR: I'm sorry. Government Exhibit
785. Thank

12 you, your Honor.

13 BY MR. TIGAR:

14 Q. When Government Exhibit 785 came to you, it was in
a

15 plastic Ziploc bag; is that correct?

16 A. Yes, sir. That is correct.

17 Q. And that's the Ziploc brand; is that right?

18 A. Ziploc, generic term, yes, sir.

YR and
19 Q. Okay. And the -- when you received it, it had the

20 DW initials on it; is that right?

it or
21 A. I'm not sure if they were present when I received

22 not. They probably were.

assigned
23 Q. Had a -- when you received it, had a Q number been

24 to it?

25 A. Yes, sir, I believe it had.

10844

Richard Buechele - Voir Dire

I'm
1 Q. And do you recognize on this edge of plastic that

were
2 showing you -- is that where you cut the piece that you

-- this
3 going to do a materials analysis on? Can you see this

4 edge I'm showing you there, sir?

exact
5 A. Yes. I see that edge, but to say that that was the

6 location of my sample, I couldn't say.

7 Q. Okay. And when you received the items in the bag,

did they

8 have this Q116, etc., typewritten tag with it?

did my

9 A. I don't recall if that Q116 tag was present when I

10 examination or not.

The

11 Q. And whose initials are these up here; do you know?

12 green.

those are

13 A. Without being 100 percent certain, I would say

14 Roger Martz' initials.

received the

15 Q. Okay. And were those on there at the time you

16 bag?

17 A. Again, I don't recall.

on at the

18 Q. Okay. The RH, do you know if those initials were

19 time you got there?

I can

20 A. I -- I don't recall. The only initials, sir, that

order

21 testify to are my own initials, and I don't recall what

22 any others would have been placed on there.

recognize the

23 Q. Okay. So your testimony is, sir, that you

24 plastic as the plastic you received; correct?

25 A. That's correct.

Richard Buechele – Voir Dire

1 Q. And do you know if this cut edge here on this other
little
2 shard here was one that was there at the time you
received it?

3 A. Again, I can't specifically say which edge of that
plastic
4 I sampled and which ones might have been sampled by
other
5 individuals.

6 Q. Okay. And you can't remember exactly which --
which of
7 these pieces were cut at the time you received them and
which
8 not; correct?

9 A. That's correct.

10 Q. Is it the case, sir, though, that any of these cuts
that we
11 see that are clean cuts are not the plastic as it
existed at
12 the time that you got it?

13 A. I'm not sure that I understand that question.

14 Q. Well, when you got these pieces of plastic, were
all of
15 them in a form that did not have these clean cuts that
I've
16 just been showing you?

17 A. I don't recall if there were any clean cuts when I
sampled
18 that plastic. No, sir.

19 Q. Okay. All right.
20 MR. TIGAR: We have no objection to 785, your
Honor.
21 THE COURT: All right. It's received.
22 You may continue.
23 MS. WILKINSON: We have no further questions
for this
24 witness, your Honor.
25 THE COURT: All right. Mr. Tigar, do you have
some

10846

Richard Buechele - Voir Dire

1 cross?
2 MR. TIGAR: Yes, your Honor.
3 CROSS-EXAMINATION
4 BY MR. TIGAR:
5 Q. Agent Buechele, in April and May of 1995, sir, you
were
6 part of the Materials Analysis Unit; is that correct?
7 A. Yes, sir, that is correct.
8 Q. And the Materials Analysis Unit was responsible for
the
9 identification of evidence that came from bombing crime
scenes;
10 is that correct?
11 A. The Materials Analysis Unit was certainly one of
the units

bombing 12 in the laboratory that would receive evidence from
13 crime scenes, yes, sir.
14 Q. And in that period of time, you're -- one of your
analyze 15 responsibilities was to receive -- excuse me -- and
16 evidence from the Oklahoma City bombing crime scene; is
that 17 right?
18 A. That is correct, yes, sir.
19 Q. Now, when the pieces of plastic came into your
possession,
20 they were all in Ziploc-type bags of the type that
we've been
21 examining; is that right, sir?
22 A. I believe most of them were, yes, sir.
23 Q. Now, do you have a procedure, or did you at the
time -- let
24 me start this again. Was -- is one of the functions of
the
25 Materials Analysis Unit at that time to examine items
of

10847

Richard Buechele - Cross

1 evidence for potential residues of bombing crime
scenes?
2 A. At that particular time, I'm not sure if the
examination of
3 residues from bomb scenes was delegated to the

Chemistry

4 Toxicology Unit or if it was still being handled by the
5 Materials Analysis Unit.

6 Q. But the -- both of those are parts of the FBI
Laboratory,

7 are they not?

8 A. Yes, sir.

9 Q. And you knew that, did you not, that it was a part
of the

10 investigative process here to attempt to identify
residues of

11 the device?

12 MS. WILKINSON: Objection, your Honor. I
think this

13 is beyond the scope of the direct.

14 THE COURT: Well, I take it you're asking in
terms of

15 handling of the exhibits?

16 MR. TIGAR: Yes, your Honor.

17 MS. WILKINSON: I withdraw my objection.

18 THE COURT: All right.

19 THE WITNESS: Would you repeat the question,
please.

20 BY MR. TIGAR:

21 Q. If I can remember it. Did you understand it was
part of

22 the function of the FBI Laboratory at this time to try
to

23 examine crime-scene evidence for -- for residues of the
device?

24 A. Yes, sir.

25 Q. Now, before you received the Exhibit 785 and 786,
do you

10848

Richard Buechele - Cross

1 know whether they had been subjected to any analysis
for
2 potential residues?

3 A. Yes, sir. I believe they had.

4 Q. They had already been subjected to that?

5 A. Yes, sir.

6 Q. And what we've looked at here when we looked at
these

7 plastic bags is a bag that has initials from someone
who picked

8 up the item at the crime scene and then a series of
initials

9 that reflect the passage of the item from the crime
scene to

10 the laboratory; is that right?

11 A. Yes, sir. That would be the standard procedure.

12 Q. Now, you did an analysis, you testified, of pieces
of

13 plastic using an instrument in your laboratory;
correct?

14 A. That's correct.

15 Q. And in order to do that, you testified you removed

16 fingernail-clipping-size pieces from some of the
plastic; is
17 that right?
18 A. Approximately, yes, sir.
19 Q. Now, was it -- do you know whether there was a
policy at
20 that time in the FBI Laboratory as to whether items
collected
21 from a bombing crime scene should be stored in Ziploc-
type
22 polyethylene plastic bags?
23 A. I don't recall any written policy at that time, no,
sir.
24 Q. Do you know the characteristics of polyethylene
bags in
25 terms of whether they are or are not permeable to items

10849

Richard Buechele - Cross

1 commonly found at crime scenes?
2 A. No, sir.
3 Q. Bombing crime scenes?
4 A. No, sir. I've done no research, and I'm not
familiar with
5 any studies along that line.
6 Q. Okay. Was there a -- in effect at the time you
handled
7 this evidence any policy with respect to using paint
cans for

8 items collected at bombing scenes? Do you know?

9 A. Again, if there was any policy along those lines, I
was
10 unfamiliar with it.

11 Q. Now, what you did, sir, was the test that you've
described;
12 correct? The removing the clipping and looking at it;
right?

13 A. In addition to that, I subjected it to
instrumentation
14 analysis.

15 Q. Well, let's start. How many pieces of plastic did
you
16 analyze in connection with this case?

17 A. Probably approximately eight to ten.

18 Q. Now, were all of those pieces of plastic shards or
19 fragments collected at the crime scene, or were there
other
20 pieces that you also examined?

21 A. There was pieces of plastic collected from the
crime scene,
22 and there was also pieces of plastic shavings which I
removed
23 from drums, barrels.

24 Q. And the pieces that you removed from drums included
four --
25 did you -- did some of those drums come from Mr. Terry
Nichols'

Richard Buechele - Cross

1 home?

2 A. I believe they did, yes, sir.

3 Q. And did you examine shavings from four drums?

4 A. I believe there were four, yes, sir.

5 Q. Two of those had been manufactured by Smurfit;
isn't that

6 what you found out?

7 A. Yes, sir.

8 Q. And two of them had been manufactured by Van Leer;
correct?

9 A. I believe that's correct, yes, sir.

10 Q. In addition to that, you examined material from a
drum

11 taken from the home of Mr. James Nichols, did you not,
sir?

12 A. I believe we did, yes, sir.

13 MS. WILKINSON: Objection, your Honor. I
think this

14 is beyond the scope.

15 THE COURT: Sustained.

16 BY MR. TIGAR:

17 Q. Well, sir, you testified about two analyses of 785
and 786;

18 correct, sir?

19 A. 785 and 786 --

20 Q. Government -- your Q numbers. Just a moment. Your
Q No.

21 116; correct?
22 A. Yes, sir.
23 Q. And your Q No. 1112 (sic); correct?
24 A. Yes, sir. That's correct.
25 Q. Now, when you were assigned to look at Q116 and
1112(sic),

10851

Richard Buechele - Cross

with
1 that was not the only thing you were supposed to do
2 respect to plastics; correct?
3 A. That's correct.
4 Q. And when did you first receive the assignment to
examine
5 Q116 and 1112 (sic)?
6 A. It would have been at the time the evidence was
submitted
7 to the FBI Laboratory.
8 Q. And who made that assignment?
9 A. I'm not sure who asked -- or made the request to
have these
10 plastics compared. Probably would have been the case
agent at
11 the time.
12 Q. The senior special -- the special agent who was
going to
13 submit a lab report about them?

14 A. Yes, sir.
15 Q. And who would that be?
16 A. I believe it was David Williams.
17 Q. And in fact, after you completed your examination
of Q116,
Q1112
18 which is here in evidence as 785, Government 785, and
19 (sic), which is here in evidence as Government 786, you
20 reported your results to Senior Special Agent Williams;
21 correct?
22 A. Yes, sir, I did.
23 Q. Now, was the choice of you to conduct these
plastics
was not
24 analysis made because another person in the laboratory
25 available?

10852

Richard Buechele - Cross

1 A. No, sir.
2 Q. Did you ever discuss this plastics work with Dr.
Frederic
3 Whitehurst?
4 A. No, I don't believe --
5 MS. WILKINSON: Objection, your Honor.
6 THE COURT: Objection sustained.
7 BY MR. TIGAR:

assignment

8 Q. Now, when -- who was it then that made the

9 directly to you to examine Q116 and 112?

the

10 A. That would have been done through our paperwork and

report.

11 laboratory worksheets by the case agent in the lab

12 Q. And -- and the case agent in the lab -- you mean

13 Mr. Williams?

14 A. Yes, sir.

15 Q. So you got this assignment in a written form?

16 A. Yes, sir.

than one

17 Q. All right. Now, what was -- did you receive more

18 assignment with respect to plastics or just one?

compare

19 A. I believe the assignment was more generic to

drums

20 plastic from the crime-scene debris to plastics in the

21 that were recovered at a separate location.

of a more

22 Q. So your analysis of 116 and 112 was simply a part

plastic

23 generic assignment that embraced a whole bunch of

24 fragments and pieces; is that correct?

25 A. That's fair to say, yes, sir.

10853

Richard Buechele - Cross

rest of 1 Q. All right. Well, now I'd like to ask you about the
2 your assignment. The -- you looked at these
fingernail- 3 clipping-size pieces; is that right?

4 A. Yes, sir.

5 Q. And what did you determine from looking at the
fingernail- 6 size pieces?

7 MS. WILKINSON: Objection, your Honor.

8 THE COURT: Sustained. He hasn't offered any
9 conclusions.

10 MR. TIGAR: Well, if I -- I don't mean to
argue with 11 your Honor. It's our position that it was gone into on
direct 12 that he conducted an examination.

13 THE COURT: Yes. But the results weren't
testified 14 to.

15 MS. WILKINSON: It was only for chain of
custody 16 purposes, your Honor.

17 THE COURT: You can call him as a witness
later if you 18 choose, of course.

19 MR. TIGAR: Well, let me continue the
examination, 20 your Honor, and see.

21 BY MR. TIGAR:

22 Q. Did you -- in connection with the examination, did
you

23 telephone -- did you contact barrel manufacturers?

24 MS. WILKINSON: Objection.

25 THE COURT: Sustained.

10854

1 MR. TIGAR: Your Honor, in light of the
Court's

2 rulings, I do not have any further examination of the
witness

3 at this time.

4 THE COURT: All right.

5 MR. TIGAR: I would ask that the witness be
made

6 available so that he can be called in the defense case.

7 THE COURT: He'll be available.

8 MS. WILKINSON: That's fine, your Honor. We
have his

9 phone number.

10 THE COURT: You may step down now, and we'll
let you

11 know when you're to be back.

12 THE WITNESS: All right. Does that mean I'm
not free

13 to leave town, your Honor?

14 THE COURT: You can leave town, but don't
leave the 15 country.

16 THE WITNESS: Thank you, your Honor.

17 THE COURT: Next witness.

18 MR. MACKEY: Your Honor, we'll call Mr. Tony
Tikuisis.

19 THE COURT: All right.

20 (Tony Tikuisis affirmed.)

21 THE COURTROOM DEPUTY: Would you have a seat,
please.

22 Would you state your full name for the record
and

23 spell your last name.

24 THE WITNESS: Tony Tikuisis, T-I-K-U-I-S-I-S.

25 THE COURTROOM DEPUTY: Thank you.

10855

1 THE COURT: Mr. Mearns.

2 DIRECT EXAMINATION

3 BY MR. MEARNS:

4 Q. Where do you live, Mr. Tikuisis?

5 A. Calgary, Alberta.

6 Q. Is that in Canada?

7 A. Yes. In western Canada, just north of Montana.

8 Q. How long have you lived in Canada?

9 A. 37 years, all my life.

10 Q. Where did you go to college?

11 A. University of Waterloo.

12 Q. And where is that institution?

13 A. Waterloo, Ontario.

14 Q. When did you graduate from the University of
Waterloo?

15 A. 1984.

16 Q. And what was your degree or -- and your major?

17 A. Chem -- honors degree in applied chemistry.

18 Q. Where do you work now?

19 A. I work for Nova Chemicals.

20 Q. And how long have you worked for Nova Chemicals?

21 A. Approximately 13 years.

22 Q. And has that company always been known as Nova
Chemicals?

23 A. No, it hasn't.

24 Q. What was it known as prior?

25 A. Novacor Chemicals.

10856

Tony Tikuisis - Direct

1 Q. It's been the same company, though, during the
entire time

2 that you've been there?

3 A. Yes, it has.

4 Q. What kind of products does Nova Chemicals
manufacture or

5 produce?

6 A. Nova is a major petrochemical producer. We make
plastics

7 and methanol, and we also transport natural gas.

8 Q. What positions or jobs have you had?

9 A. I've held a variety of positions at the company.
Started

10 off in the analytical lab as a chemist, and then I
worked as a

11 tech service specialist for customers and more
recently, as an

12 additive specialist and a regulatory expert.

13 Q. What kinds of things did you do as an analytical
chemist?

14 A. I did a lot of testing on our plastics to look at
the

15 recipe of the additive we put into the plastic to
determine

16 proper concentrations were present, etc. I did a lot
of

17 troubleshooting.

18 MR. TIGAR: Your Honor, if the witness can
speak a

19 little slower.

20 THE COURT: Yes. It's a little difficult to
hear you.

21 If you'll slow down and speak up, please.

22 BY MR. MEARNS:

voice up 23 Q. Okay. Mr. Tikuisis, yes, if you could keep your
24 and slow down a little bit.
25 A. Okay.

10857

Tony Tikuisis – Direct

1 Q. Tell us what you did as an analytical chemist.
2 A. I did a lot of testing on the plastic for customers
to
3 ensure that the formulation was correct and that the
plastic
4 met specifications for the customer.
5 Q. And you're now presently in what position?
6 A. An additive specialist.
7 Q. And what do you do in that job?
8 A. In that job, I have technical responsibility for
all the
9 chemicals and additives that we put into our plastics
to
10 protect the plastic during its life cycle and also to
protect
11 it during processing by our customers.
12 Q. Okay. You're going to have to continue to slow
down for
13 us. Okay?
14 A. Okay.
15 Q. As a result of your education and your experience
with Nova

16 Chemicals, have you written any articles that have been
17 published?

18 A. Yes, I have.

19 Q. How many articles have you published?

20 A. About a dozen have been published.

21 Q. And what are those articles, generally speaking?
What are
-- those articles about?

23 A. They are published articles about plastic analysis
-- polyethylene analysis.

24 Q. And what kinds of publications have they appeared
in?

10858

Tony Tikuisis - Direct

1 A. Various industry trade journals such as the Journal
of
2 Polymer Science, and they are also in a lot of the
publications

3 from conference proceedings where I presented papers.

4 Q. And have you presented papers at -- at many
conferences?

5 A. Yes, I have.

6 Q. Have you taught any classes related to the chemical
7 analysis of plastics?

8 A. I've given several internal seminars to new
employees and

9 other staff members.

10 Q. Now, you told us that Nova Chemicals manufactures
plastics;

11 is that right?

12 A. Yes.

13 Q. What kinds of plastics does Nova Chemicals
manufacture?

14 A. We manufacture polyethylene and polystyrene.

15 Q. And are there different types of polyethylene?

16 A. Yes, there is.

17 Q. Tell us what different types of polyethylene there
are.

18 A. The three basic types of polyethylene are low-
density

19 polyethylene, linear low-density polyethylene, and
high-density

20 polyethylene.

21 Q. And what is the difference between those three
types of

22 polyethylene?

23 A. Their basic difference is their strength and
toughness.

24 Q. Describe for us then with respect to those
characteristics,

25 what are the characteristics of high-density
polyethylene?

It's 1 A. High-density polyethylene is a very strong plastic.
strength. 2 used for rigid containers and materials that require

products. 3 Q. And what -- could you tell us what types of
Give 4 us some more examples of what types of products are
made from 5 high-density polyethylene.

It's used 6 A. High-density polyethylene is used in gas pipe.
also 7 in potable water pipe for homes to convey water. It's
ice 8 used in low molded articles such as containers such as
9 cream pails, drums --

down a 10 MR. TIGAR: Your Honor, if he could just slow
11 little bit more.

has to 12 THE COURT: Yes. We have a court reporter who
13 take it down.

14 THE WITNESS: Okay.

when you 15 THE COURT: It's difficult for the reporter
16 go as fast as you've been.

variety of 17 THE WITNESS: High-density is used in a
18 consumer articles.

19 BY MR. MEARNS:

20 Q. Okay. What kinds of consumer articles?

21 A. Pipe -- sorry. Those containers would be ice cream
types of 22 containers, for example. Margarine containers. Those
23 materials.

24 Q. Is high-density polyethylene also used to make
large 25 containers like plastic barrels or plastic drums?

10860

Tony Tikuisis - Direct

1 A. Yes, it is. It's used for fertilizer tanks. It's
used for 2 drums. It's used for pipelines.

3 Q. Does Nova Chemicals actually manufacture those end
pails? 4 products; that is, barrels and drums and ice cream

5 A. No, we do not.

6 Q. What -- what type of plastic or what is the form of
plastic 7 that Nova Chemicals manufactures?

8 A. We sell our material in the form of a pellet which
is 9 similar to a small pebble.

10 Q. And what do you refer to in your -- in your area of
11 expertise -- what do you refer to that plastic pellet
as?

12 A. That's referred to as a resin.

that 13 Q. What kind of customers does Nova Chemicals sell

14 plastic high-density polyethylene resin to?

our 15 A. We sell to a variety of customers that will take

articles. 16 material and process it into a variety of finished

polyethylene resin 17 Q. Does Nova Chemicals sell a high-density

Incorporated? 18 to a company known as Smurfit Plastic Packaging,

19 A. Yes, we do.

the 20 Q. What does Smurfit do with the polyethylene resin,

21 high-density polyethylene resin?

Honor. 22 MR. TIGAR: Object, personal knowledge, your

knows. 23 THE COURT: Yes. We have to find out how he

24 BY MR. MEARNS:

-- at 25 Q. Have you had a relationship in your experience at

10861

Tony Tikuisis - Direct

1 Nova Chemicals in connection with Smurfit?

2 A. Yes, I have.

Smurfit? 3 Q. And what is the nature of your relationship with

4 A. I do some consulting and technical service work for

this

5 customer.

chemical

6 Q. And do you confer with them, discuss with them the

them?

7 composition of the resin that you are going to sell to

8 A. Yes, I do. I have detailed knowledge of -- of the

9 components of what they do.

the

10 Q. And is it important to you in terms of formulating

what

11 recipe for the resin that you provide to them to know

12 Smurfit is going to then use the resin for?

13 A. Yes, it is.

does with

14 Q. So tell us then, do you know what -- what Smurfit

15 the resin that you sell to them?

not

16 MR. TIGAR: Objection, your Honor. If that's

business

17 offered for the truth, simply to -- to inform his

18 decision, we have no objection to it.

witness as

19 MR. MEARNS: We intend to call the next

20 a -- as a representative of Smurfit.

21 MR. TIGAR: Mr. Udell will be here?

22 MR. MEARNS: Yes, sir.

Honor, we

23 MR. TIGAR: If Mr. Udell will be here, your

24 have no objection to any of this, and I will not make

any other

25 objections.

10862

Tony Tikuisis - Direct

1 THE COURT: All right. Proceed.

2 BY MR. MEARNS:

3 Q. Mr. Tikuisis, what does Smurfit do with the plastic
resin
4 that you sell to them -- that Nova Chemicals sells to
them?

5 A. Smurfit takes our plastic material, they add
another
6 component, the UV stabilizer package. They mix those
two
7 components together. The material is then melted and
extruded,
8 or processed into a melt state to where it's blown into
the
9 finished part, into a mold, such as making a pail or a
barrel.

10 Q. So the first thing that they do is they take your
resin?

11 A. Yes.

12 Q. Do they mix anything with that resin prior to
making their
13 barrel?

14 A. Yes. They -- they will add a second component
which
15 contains some specialty additives.

16 Q. And then what do they do with that mixture?
17 A. They will blend that, and then they will put it
through a
18 machine which melts the plastic; and it's slowly pushed
out
19 through the end of the machine where high-pressure air
is used
20 to blow the molten plastic into -- into the mold.
21 Q. And the mold is then a mold of a large plastic
drum?
22 A. Yes.
23 Q. How long has Nova Chemicals supplied the specific
type of
24 resin, plastic resin that Smurfit now uses to make
drums? How
25 long have they -- how long has Nova Chemicals been
supplying

10863

Tony Tikuisis - Direct

1 that specific resin?
2 A. Since 1991.
3 Q. Do you know what month in 1991?
4 A. December.
5 Q. I want to direct your attention now to September of
1997.
6 Were you asked to conduct some chemical tests of some
plastic
7 fragments?

8 A. Yes, I was.

9 Q. Describe briefly what you were asked to do.

10 A. I was asked to identify the composition of the
plastic
11 samples.

12 Q. Okay. What I'd like you to do is to look in the
folder

13 that you have there and locate Government Exhibit 786B
and
14 Government Exhibit 785.

15 And why don't we first begin with 786B. Do
you have
16 that in front of you?

17 A. This is 786B.

18 Q. Do you have that?

19 A. Yes.

20 Q. Is the Government exhibit sticker there?

21 A. Yes.

22 Q. Okay. Do you recognize that?

23 A. Yes. These -- I remember these samples.

24 Q. When were you provided -- were you provided those
samples
25 in September of 1997?

10864

Tony Tikuisis - Direct

1 A. Yes, I was.

2 Q. Who -- who gave them to you?

3 A. Mr. Jim Elliott.

bring 4 Q. Did he provide -- did he send them to you or did he

5 them by hand?

6 A. No. He brought the samples with him.

recognize 7 Q. Okay. And look at 785, if you will. And do you

8 that?

9 A. Yes, I do.

10 Q. How do you recognize that?

on the 11 A. I remember the samples, and also, my initials are

12 sample bag.

was 13 Q. And what were you asked to do with the plastic that

14 contained in 786B and 785?

to 15 A. I was asked to select one sample from each bag and

16 conduct an analysis on that one piece.

17 Q. And did you do that?

18 A. Yes, I did.

ELM0. 19 Q. Okay. What I'd like to do is -- if I may have the

785C. Do 20 If I could show you what is not yet in evidence as

21 you see that on the screen?

22 A. Yes, I do.

23 Q. And do you recognize what's shown in that
photograph?

24 A. Yes.

25 Q. What is it?

10865

Tony Tikuisis - Direct

1 A. It's a sample that I tested from that sample bag.

2 Q. Now, 785C is a sample that you took from which
sample bag?

3 A. From the sample bag labeled as 785.

4 Q. And is this the sample that you subjected to a
chemical

5 analysis?

6 A. Yes.

7 MR. MEARNS: Your Honor, we would offer the
photograph

8 785C.

9 MR. TIGAR: No objection, your Honor.

10 THE COURT: Received, 785C.

11 BY MR. MEARNS:

12 Q. What I'd like to show you now, Mr. Tikuisis, is
what is

13 marked as 786E. Do you see that on the screen?

14 A. Yes, I do.

15 Q. And what is that?

16 A. That is the sample that I selected for testing from
the

17 sample bag 786.

18 Q. From sample bag 786B that is in front of you?

19 A. Yes.

20 Q. And is that the -- the plastic that you submitted
to a test

21 in September of 1997?

22 A. Yes.

23 MR. MEARNS: Your Honor, we would offer the
photograph

24 786E.

25 MR. TIGAR: No objection, your Honor.

10866

Tony Tikuisis - Direct

1 THE COURT: Received, photograph 786E.

2 BY MR. MEARNS:

3 Q. Now, did you take this photograph 786E prior to
conducting

4 the test?

5 A. Yes.

6 Q. What I'd like you to do now is also look in your
bag -- in

7 the folder -- excuse me -- for Government Exhibit 190A.

8 A. Okay.

9 Q. Do you recognize that?

10 A. Yes, I do.

11 Q. And what is that?

12 A. That's a sample of a piece of a drum that we cut
out from a

13 sample drum.

14 Q. Okay. Let me show you what is in evidence as
Government

15 Exhibit 190. Do you recognize this drum, Mr. Tikuisis?

16 A. Yes, I do.

17 Q. Okay. How does what you have before you as
Government

18 Exhibit 190A relate to what I'm showing you as
Government

19 Exhibit 190?

20 A. It has the sample number on it -- the same sample
number as

21 on the drum. My initials are on this sample and also
on the

22 drum.

23 Q. Were you present when that sample that you're now
holding

24 was extracted from that drum?

25 A. Yes, sir. I actually assisted in cutting out the
sample.

10867

Tony Tikuisis - Direct

190A? 1 Q. Are your initials on that sample that's before you,

2 A. Yes.

3 MR. MEARNS: Your Honor, we would offer 190A.

4 MR. TIGAR: May I look at it, your Honor?

5 THE COURT: You may.

6 MR. TIGAR: Excuse me.

7 VOIR DIRE EXAMINATION

8 BY MR. TIGAR:

9 Q. Mr. Tikuisis, my name is Michael Tigar. I'm one of
the
10 lawyers appointed to help out Terry Nichols.

11 I didn't hear your answer to the last question
about
12 these numbers. You said that you recognized this
because of
13 these numbers on -- on the back here, or -- what did
you say
14 about how you recognize it?

15 A. I recognized the sample for several reasons. First
of all,
16 I recognized it because I -- I assisted in cutting the
sample
17 out of the drum.

18 Q. Yes, sir.

19 A. I also initialed the sample immediately after it
was cut
20 out.

21 Q. Right.

22 MR. TIGAR: No objection, your Honor.

23 THE COURT: 190A received.

24 MR. MEARNS: May I give 190A back to the

witness, your

25 Honor?

10868

Tony Tikuisis - Voir Dire

1 THE COURT: Yes.

2 DIRECT EXAMINATION CONTINUED

3 BY MR. MEARNS:

4 Q. Mr. Tikuisis, in a moment, I'm going to ask you
about the

5 tests that you performed; but before I do that, I'd
like to ask

6 you a question. As a result of the tests that you
performed on

7 the plastic that we saw in Government Exhibit -- the
piece of

8 plastic in Government Exhibit 785C, which we see there,
and the

9 piece of plastic that you performed your tests on,
786E, and

10 what you have now in front of you, 190A, what happens
to the

11 plastic during the course of that testing?

12 A. Some of the tests that we conducted are destructive
in

13 nature and would consume the sample; i.e., the sample
is used

14 during the testing and is not recovered.

15 Q. Okay. Does any of the plastic from the -- those
fragments

16 or sample remain at the end of your testing?
17 A. Yes. Some of the material, we performed an
extraction on
18 where we had to grind the resin and make it into
smaller
19 particle size, and we retrieved the sample after the
test was
20 complete.
21 Q. Okay. What I'd like you to do then is see if you
could
22 locate Government Exhibit 786A.
23 A. I have it.
24 Q. Do you recognize that?
25 A. Yes.

10869

Tony Tikuisis - Direct

1 Q. What is that?
2 A. That is some ground material left over from Sample
Q112A.
3 Q. And what did you -- how do you recognize that?
4 A. I have my initials on the sample, and I also put
the sample
5 number on the bag after I put the sample back into it.
6 Q. And is that bag sealed at the top there?
7 A. Yes, it is.
8 Q. And are your initials there on top of the seal that
you

9 placed on it in September of '97 after your tests were
10 completed?

11 A. Yes, they are.

12 MR. MEARNS: Your Honor, we would offer 786A.

13 MR. TIGAR: No objection, your Honor.

14 THE COURT: Received, 786A.

15 BY MR. MEARNS:

16 Q. With respect to -- to the piece of plastic that we
see

17 depicted in the photograph 785C, was there a similar
result as

18 a result of your testing on that piece of plastic?

19 A. Yes, there was.

20 Q. Do you see on -- in front of you 785A?

21 A. Yes, I do.

22 Q. And what is that?

23 A. That is some ground -- or leftover material from
the

24 testing I performed on that sample.

25 Q. And did you seal that package the same way that you
sealed

10870

Tony Tikuisis - Direct

1 the other package you testified about a moment ago?

2 A. Yes, I did.

3 Q. And are your initials and -- still on the intact
seal on
4 the top of that envelope?

5 A. Yes, they are.

6 MR. MEARNS: Your Honor, we would offer 785A.

7 MR. TIGAR: No objection, your Honor.

8 THE COURT: Received.

9 BY MR. MEARNS:

10 Q. Did you also test a portion of 190A; that is, the
-- the
11 large piece that you extracted from this barrel?

12 A. Yes, I did.

13 Q. And did you subject that to the same chemical
tests?

14 A. Yes, I did.

15 Q. Okay. Do you have before you 190B, Government
Exhibit
16 190B?

17 A. Yes, I do.

18 Q. And what is that?

19 A. That is some leftover material from the drum
section of the
20 sample I tested.

21 Q. And did you seal that in the same fashion?

22 A. Yes, I did.

23 Q. And are your initials still on the intact seal?

24 A. Yes, they are.

25 MR. MEARNS: Your Honor, we would offer 190B

--

10871

Tony Tikuisis - Direct

1 Government Exhibit 190B.

2 MR. TIGAR: May I examine it, your Honor?

3 THE COURT: Yes.

4 MR. TIGAR: No objection, your Honor.

5 THE COURT: 190B received.

6 BY MR. MEARNS:

7 Q. Mr. Tikuisis, prior to conducting these tests in
September

8 of 1997, did you know the chemical composition of the
resin

9 used by Smurfit to manufacture 55-gallon barrels?

10 A. Yes, I did.

11 Q. And is that because that's the resin that Nova
Chemicals

12 provides them?

13 A. Yes.

14 Q. Prior to conducting those tests, did you also know
the

15 chemical composition of the additive package that
Smurfit mixes

16 with the Nova Chemicals resin?

17 A. Yes, I did.

18 Q. Tell us then about the testing that you did on
those three

19 samples in September of 1997. What tests did you
perform?

20 A. We did a total of seven different tests in order to
21 determine the composition of the material.

22 Q. What was the first test that you performed?

23 A. The first test we did was called "infrared
analysis."

24 Q. And what was the result of the infrared analysis on
each of

25 the samples that you tested?

10872

Tony Tikuisis - Direct

1 A. That test identified the three samples as -- to be
composed
2 of polyethylene.

3 Q. What was the second test that you conducted?

4 A. The second test I performed was a melting point on
the
5 pieces of plastic.

6 Q. And what was the result of that test with respect
to the
7 three samples you tested?

8 A. The melting-point analysis indicated that the
samples were
9 composed of high-density polyethylene.

10 Q. What was the third test that you conducted?

11 A. The third test I did was a melt index measurement.

12 Q. And what was the result of the melt index test with
respect

13 to those three samples?

14 A. The melt index result indicated the material to be
a

15 high-density polyethylene with a melt index of
approximately 5,

16 which is similar to a grade that we manufacture.

17 Q. Does that mean it was consistent or inconsistent
with the

18 Nova resin that you supply to Smurfit?

19 A. It was consistent.

20 Q. What was the fourth test that you conducted?

21 A. The fourth test, I did some additive analysis.
When we

22 manufacture our resin, we add stabilizers to the
plastics to

23 protect it during processing and also to extend its
usage life

24 when the customer uses it. And the test I looked for
was the

25 specific two additives that we add to our recipe when
we make

10873

Tony Tikuisis - Direct

1 this plastic.

2 Q. And what was the result with respect to those three
samples

3 that you tested?

4 A. The antioxidants that I found present in the sample
were

5 identical to the additives that we put into that
formulation.

6 Q. And what was the fifth test that you conducted?

7 A. Knowing that our customer adds a UV stabilizer, a
8 specialized additive to protect the drum from sunlight
just

9 similar to a UV suntan lotion for protecting skin from
the

10 harmful rays of the sun -- the customer adds a UV
stabilizer,

11 so I checked for the presence of that stabilizer in the
plastic

12 samples.

13 Q. And did you find that UV stabilizer present in each
of the

14 three samples you tested?

15 A. Yes, I did.

16 Q. What was the next step that you conducted?

17 A. The next test, I looked for the specific
concentration of

18 those UV stabilizers in the samples.

19 Q. And what did you determine?

20 A. I found them to be present at the approximate
levels that

21 they would be expected to be present if the blending --
if the

22 blending operation was done correctly; i.e., the resin
and the

23 UV master batch was added in the proper concentration.
24 Q. And so the result of your sixth test was again that
it was
25 consistent with the additive package that's added by
Smurfit in

10874

Tony Tikuisis - Direct

1 their manufacturing process?
2 A. Yes.
3 Q. And what was the seventh test that you conducted?
4 A. Because this UV additive is very difficult to
analyze and
5 requires a lot of specialized equipment, most of our
customers,
6 if they are doing a similar test, would -- did not do
that
7 test; so Smurfit had the customer or the supplier that
they
8 purchased this additive from add another component to
this
9 master batch or UV stabilizer.
10 Q. What's the purpose of this additional component?
11 A. The additional component is simply there as a
tracer to
12 help in the identification of the UV stabilizer, to
confirm the
13 concentration.
14 Q. And did you look for the presence of that tracer

chemical?

15 A. Yes. The tracer in use is calcium carbonate,
commonly

16 known as limestone.

17 Q. And did you find that tracer chemical present in
each of

18 the three samples that you tested?

19 A. Yes, I did.

20 Q. As a result of the tests that you then conducted on
21 Government Exhibit 786A -- that is, the -- what you
have

22 left -- what was the result of your tests?

23 A. I identified that material as Smurfit plastic.

24 Q. And with respect to Government Exhibit 785A, what
was your

25 conclusion after these tests?

10875

Tony Tikuisis - Direct

1 A. I identified that material as Smurfit plastic.

2 Q. And with respect to Government Exhibit 190B, the
portion

3 that you tested that was extracted from the barrel,
what was

4 the result of your tests?

5 A. I identified that material as Smurfit plastic.

6 MR. MEARNS: No further questions. Your
Honor.

7 THE COURT: Mr. Tigar.

8 CROSS-EXAMINATION

9 BY MR. TIGAR:

10 Q. Hello again, Mr. Tikuisis.

11 What I'd like to start out with, sir, is to
ask you
12 about the plastics manufacturing that your company
does.

13 You testified that there are three types of
14 polyethylene; correct?

15 A. Yes.

16 Q. And we're talking today about something called
high-density
17 polyethylene; is that right?

18 A. Yes.

19 Q. And we're also talking about high-density
polyethylene that
20 you supply to a company called Smurfit; is that right?

21 A. Yes.

22 Q. And from your relationship with Smurfit, you know
that they
23 manufacture a number of products using high-density
24 polyethylene; is that right?

25 A. Yes. Yes.

10876

Tony Tikuisis - Cross

1 Q. Have you seen their catalogue?

2 A. Yes.

3 Q. I show you now what I have marked as Defense
Exhibit E99,

4 and ask you if you recognize this as the Smurfit --
Smurfit

5 catalogue material.

6 A. Yes, I do.

7 MR. TIGAR: We offer it, your Honor.

8 MR. MEARNS: No objection.

9 THE COURT: E9 -- is it E 99?

10 MR. TIGAR: E99, yes.

11 THE COURT: Received.

12 BY MR. TIGAR:

13 Q. Now, you do not manufacture containers; is that
correct?

14 A. That is correct.

15 Q. You manufacture resin?

16 A. Yes.

17 Q. And when you send the resin to Smurfit, it doesn't
have the

18 UV stabilizer in it, does it?

19 A. No.

20 Q. Now, the UV stabilizer is -- that's something to
prevent

21 damage to what's ever going to be contained in a
manufactured

22 container from the sun; is that right?

- 23 A. No.
- 24 Q. What is the purpose of the UV stabilizer?
- 25 A. It's used to protect the container, itself.

10877

Tony Tikuisis - Cross

light from 1 Q. Oh, so that -- it isn't -- it isn't to prevent
2 passing through; right?

3 A. No.

effect on 4 Q. So this prevents the sun from having some adverse
5 the polyethylene; is that right?

6 A. Yes.

is 7 Q. Now, that UV stabilizer that you were looking for
8 manufactured by a Swiss company, Ciba-Geigy, is it not?

9 A. Yes.

10 Q. C-i-b-a dash G-e-i-g-y; correct?

11 A. Yes.

it? 12 Q. And it goes by the product name Tinuvin, doesn't

13 A. Tinuvin.

14 Q. Tinuvin, T-i-n-u-v-i-n; right?

15 A. Yes.

16 Q. Now, is there more than one kind of Tinuvin?

17 A. There are several of them.

Smurfit 18 Q. Now, the particular kind of Tinuvin that is used by
19 is called Tinuvin 622; is that right?
20 A. Yes.
21 Q. Now, in terms -- and in order to detect the
presence of
22 Tinuvin 622, you used a technique called thin-layer
23 chromatography; correct?
24 A. Yes.
25 Q. Or TLC?

10878

Tony Tikuisis - Cross

1 A. Yes.
2 Q. All right. And using -- and the -- the particular
kind of
3 TLC that you were administering to this sample was
supplied to
4 you by Ciba-Geigy; correct?
5 A. Yes.
6 Q. That is, they have a proprietary method, something
that
7 they own, that helps you to detect the presence of
their
8 product; is that right?
9 A. Yes. But the method is not proprietary.
10 Q. I -- all right. Now, is -- in terms of using thin-
layer

are 11 chromatography or TLC to find Tinuvin 622, is -- is --
12 there other kinds of Tinuvin?
13 A. There are a couple other grades.
uniquely T622, 14 Q. Now, does this method that you used identify
of 15 or does it -- does it read back a result that some form
16 Tinuvin is present?
17 A. No. It identifies specifically Tinuvin 622.
molecule; 18 Q. All right. Now, the Tinuvin 622 is a particular
19 correct?
20 A. Yes.
atoms in 21 Q. And it is a molecule that you've got some carbon
22 there and some nitrogens and some oxygens and -- is
that right?
23 A. Yes.
You'll know 24 Q. And this particular test will read back T622.
25 that's what you've got; right?

10879

Tony Tikuisis - Cross

1 A. The person doing the test has to observe the -- the
2 finished test result and make that conclusion.
3 Q. All right. And that is a conclusion that you made;

4 correct?

5 A. Yes.

6 Q. And you're a person that's experienced in the
process;

7 right?

8 A. Yes.

9 Q. Now, this particular substance called Tinuvin 622
has a

10 shelf life -- correct -- or has a life, a useful life?

11 A. No. I really -- it's -- we can store that chemical
if

12 we -- we do add that chemical in some of the other
grades of

13 polyethylene that we sell, and it has a storage life at
our

14 plant site of at least five years minimum.

15 Q. That was my -- I'm sorry. My question was not
artfully

16 done. Once you've added the T622 to a product that's
going to

17 go to consumers, the -- its ability to protect the
product from

18 the harmful rays of the sun has about a five-year life

19 expectancy; correct?

20 A. I would not agree with that.

21 Q. Well, all right. Then tell me because I -- I'm
just

22 reading off some notes here.

23 A. Okay.

24 Q. How does it work?

would
25 A. Manufacturers of this additive such as Ciba-Geigy

10880

Tony Tikuisis - Cross

how long
1 make recommendations on the expected life expectancy,

you put
2 you could protect the integrity of the container that

3 the Tinuvin in. We have data in the lab that shows the
4 containers can last much longer. The pieces of plastic
can

5 withstand UV for much longer than five years.

years is
6 Q. So Ciba -- Is it correct that Ciba-Geigy says five

in fact,
7 what you can expect in terms of their marketing, but,

8 you'll probably get more? Is that what happens?

9 A. Exactly. It's used as sort of a limited warranty.

that
10 Q. All right. Now, Ciba-Geigy sells T622 to anybody

11 wants to buy it; correct?

12 A. Yes.

in its
13 Q. So Smurfit is not the only company that uses T622

14 high-density polyethylene; is that correct?

15 A. Probably not.

16 Q. And you say, for instance, that in your laboratory,

you do

17 add T622 for other customers' purposes; right?

18 A. Yes.

19 Q. Now, you -- what you sell to Smurfit are these
resin beads;

20 right?

21 A. Yes.

22 Q. And they are then melted down -- mixed, melted --
melted,

23 mixed, and then made into things; correct?

24 A. Yes.

25 Q. Now, you mentioned that high-density polyethylene
is used

10881

Tony Tikuisis - Cross

1 in a number of products; right?

2 A. Yes.

3 Q. And you mentioned that it's used in pipe; correct?

4 A. Yes.

5 Q. And that pipe that carries gas?

6 A. Gas and water.

7 Q. Now, when you say "gas," do you mean gas like
natural gas?

8 A. Natural gas.

9 Q. All right. Does it -- is it used to carry liquid
10 hydrocarbon products?

11 A. It can be.

12 Q. All right. That is, the polyethylene is high-
density

13 polyethylene -- does it begin its life as a
hydrocarbon?

14 A. Yes, it does.

15 Q. And by beginning its life as a hydrocarbon, do you
mean to

16 say that it starts out its life as -- as petroleum?

17 A. Basically.

18 Q. So that the -- the plastics that we're talking
about here,

19 these polyethylene products, are byproducts of
petroleum that

20 you get out of the ground, crude oil?

21 A. Yes.

22 Q. Now, how many pounds of high-density polyethylene
pellets

23 does your company sell in a calendar year?

24 A. Approximately 300 million.

25 Q. So the 3 -- now, how many pounds of high-density

10882

Tony Tikuisis - Cross

1 polyethylene pellets do you sell to Smurfit in a
calendar year?

2 A. I don't have that exact information.

3 Q. Now, are you aware that Smurfit uses T622 in its

entire

4 line of industrial plastic containers?

5 A. Yes. But that is not exactly correct.

6 Q. Okay. Well, what -- what is not correct about it?

7 A. Smurfit also manufactures a variety of colored
drums where

8 they add a pigment to the container. In those cases,
the

9 presence of the Tinuvin 622 is not required.

10 Q. All right. And is that because the color that they
add

11 fulfills this role of protecting from ultraviolet;
right?

12 A. Yes.

13 Q. Now, is it a fact, sir, that there are certain
United-

14 Nations-based regulations on the recycling of these
containers

15 when they are manufactured?

16 A. I'm not aware of that.

17 Q. Are you aware that one of the things that you can
do with

18 high-density polyethylene is to recycle it?

19 A. Yes.

20 Q. Now, by recycling, do you mean that you can take
21 high-density polyethylene and you can grind it up and
melt it

22 down and use it over again?

23 A. Yes. But it depends.

24 Q. Depends on --
25 A. It depends on what the container was previously
used for.

10883

Tony Tikuisis - Cross

1 If it was containing a hazardous chemical, then the
recycling
2 of that material -- you would have to careful in what
you
3 recycle that plastic into.

4 Q. Now, in addition to being able to grind it up and
use it
5 over again, you can reuse the container itself;
correct?

6 A. Yes.

7 Q. In fact, in your discussions with Smurfit, have you
had --
8 have you talked to them about the use of high-density
9 polyethylene barrels to increase market share for the
storing
10 of lubricating oils?

11 A. Yes.

12 Q. And isn't it a fact, sir, that -- that Smurfit
believes
13 that its barrels can be reused 15 to 25 times?

14 A. I'm not aware of that.

15 Q. All right. How many times would you say that a
barrel

16 that's manufactured of high-density polyethylene with
the
17 Tinuvin 622 additive in a -- with no dye in it could be
reused?
18 A. It depends.
19 Q. And could you give me a range and tell me what it
depends
20 on, sir?
21 A. First of all, it depends on what the use is. If
the
22 container was used to contain a hazardous chemical,
then
23 internal recycling of that material would be encouraged
so that
24 these drums could not get out into the public and --
25 Q. Let's stop there. If it was used for a hazardous
chemical,

10884

Tony Tikuisis - Cross

1 you would recommend internal recycling; that is, you
only use
2 it -- the same company ought to use it --
3 A. Yes.
4 Q. -- for the same thing so that you don't get these
hazardous
5 chemicals out into the world; correct?
6 A. Yes. Yes.
7 Q. All right. Go ahead.

deposit 8 A. Okay. You could also -- some companies have a
that 9 program, where the drum is made, filled with a chemical
drum for a 10 goes out to a customer, and the customer returns the
11 deposit to encourage recycling.

12 Q. All right. So that's another kind; right?

13 A. Yes.

14 Q. All right. What's some other kinds?

United States 15 A. Some people -- there are entrepreneurs in the

market, 16 and in Canada that are starting now to look at this

every 17 because there's a large volume of plastic being used

this 18 year, and some companies are starting to try to recycle

plastic 19 plastic and recover it and reuse it, so they will buy

20 off the open market.

it in 21 Q. And is that in order to resell a container, or is

22 order to grind it up and make more things out of it?

collect 23 A. Most recyclers that are sophisticated enough will

types of 24 the material, they will segregate it to the different

into a 25 plastic and they will usually remelt and extrude it

Tony Tikuisis - Cross

1 plastic pellet.

2 Q. Now, and is there also -- well, go ahead. Tell me
the

3 other categories, then.

4 A. Well, that's the -- that's the basic separation.
The first

5 step is collection, either from the landfill site or
garbage

6 site or wherever the plastic comes in. It's cleaned
and washed

7 and then examined. Some -- some plastic will not be
reused

8 again because of the hazardous nature of the chemicals
it

9 contained, or whatever and the rest is again washed,
melted,

10 and reextruded into plastic pellet. And these pellets
can be

11 sold as sort of a low-grade polyethylene to use into
such

12 articles as flowerpots or trays, things like that.

13 Q. Now, it's -- what you didn't mention -- I didn't
hear you

14 mention -- is the use of a high-density polyethylene
barrel

15 that's been used for a nonhazardous chemical that is
simply

16 sold for people to use for household purposes.

17 A. I would not recommend that practice.

occurs? 18 Q. All right. Are you aware that that practice
of any 19 A. I'm not aware of anybody doing it that I'm aware --
20 customers that I'm aware of doing that.
surplus 21 Q. You do not know of any commercial landfill or
22 operations that sell used barrels?
23 A. Not personally, no.
24 Q. All right. Now, this particular barrel originally
25 contained something called STER-BAC; right?

10886

Tony Tikuisis - Cross

1 A. Yes.
you know 2 Q. And that is a quaternary ammonium stabilizer. Do
3 anything about STER-BAC or what it is?
4 A. I know a little bit about that product.
5 Q. All right. What is that product?
6 A. It's used as a sanitizing agent.
7 Q. It cleans dairy barns; right?
8 A. It could. It could clean other equipment.
Stainless steel
9 equipment.
10 Q. Okay. Now, we have in evidence now Defense Exhibit
E99.

11 These are some barrels or containers that are
manufactured by

12 Smurfit; correct?

13 A. Yes.

14 Q. And what we're looking to here are -- now I'm
pointing to

15 the tops of these items here. Are these, in your
experience,

16 going to be chemically identical to what you tested in
this

17 case?

18 A. They could be.

19 Q. Now, here is a -- a product that Smurfit makes
called the

20 Medi-Bin. And does this here that I'm pointing to
appear to be

21 something chemically identical to what you were
describing?

22 A. No.

23 Q. And is that because it has white pigment in it?

24 A. And red pigment and yellow pigment.

25 Q. And that -- you're saying that's white pigment?

10887

Tony Tikuisis - Cross

1 A. Yes, it appears to be white.

2 Q. Now, I'm putting up the Tight-Head Family. Tight-
Head

3 Family. Did some of these appear to be chemically

identical to

4 what you were describing for us?

5 A. Possibly.

6 Q. Now, you don't work for Smurfit; correct?

7 A. No, I don't.

8 Q. Now, when you tell us that high-density
polyethylene is --

9 you sell it in the form of resin beads; right?

10 A. Pellets.

11 Q. Pellets. You call them pellets?

12 A. Yes.

13 Q. Now, high-density polyethylene is consistent; that
is, if I

14 go to a place and say I want high-density polyethylene
pellets,

15 within a certain range, I will get a chemically
identical

16 product from various suppliers; correct?

17 A. Not necessarily.

18 Q. Well, does high-density polyethylene have a certain
19 recycling number that's attached to it?

20 A. Yes, it does.

21 Q. And that's the number 2; is that correct?

22 A. Yes.

23 Q. So that in terms of the consumer market, the three-
arrow

24 recycling symbol with the number 2 inside it marks
something

25 called "high-density polyethylene"; correct?

10888

Tony Tikuisis – Cross

1 A. Yes.

2 Q. And that is something that identifies high-density
3 polyethylene for people that are in the recycling
business; is
4 that right?

5 A. Yes.

6 Q. So that -- and so that certain characteristics of
7 everything that has a 2 on it are identical; right?

8 A. No.

9 Q. Tell me why not.

10 A. Because if you start analyzing high-density, there
are
11 several different physical properties associated with
those
12 materials, and it can range considerably.

13 Q. All right.

14 A. For example --

15 Q. What are those physical properties? The melt
index?

16 A. Melt index is one of the best examples. High-
density can

17 be made in a variety of melt indices, ranging from as
little as

18 1 up to over 100.

19 Q. All right. And the resin beads that you sell when
you said
20 you sold 300 million pounds a year --
21 A. Approximately.
22 Q. -- do they all have the same melt index?
23 A. No, they don't.
24 Q. All right. Do the -- does the -- then how much do
you sell
25 to Smurfit a year?

10889

Tony Tikuisis - Cross

1 A. I'm not sure exactly, but I think it's in the order
of
2 100 million pounds, possibly.
3 Q. All right. So that Smurfit takes one-third of your
output;
4 right?
5 A. Approximately.
6 Q. Are you the only supplier of these beads to
Smurfit?
7 A. For --
8 Q. For pellets?
9 A. For this application, yes.
10 Q. For this particular application?
11 A. We are the sole supplier to Smurfit.
12 Q. All right. Now, in addition to looking for

polyethylene,

13 you said that you looked for a -- this T622 additive;
correct?

14 A. Yes.

15 Q. All right. And you also said that what you found
was a --

16 you were looking for a specific concentration of it;
correct?

17 A. Yes.

18 Q. And you testified that the concentration was
consistent

19 with; right?

20 A. Yes.

21 Q. Now, what does the phrase "consistent with" mean?

22 A. To be in the approximate target concentration that
I would

23 expect.

24 Q. All right. And it does not mean identical to; is
that

25 right?

10890

Tony Tikuisis - Cross

1 A. It could.

2 Q. I understand it could, sir; but there is a
difference

3 between "consistent with" and "identical to," isn't
there?

4 A. Yes. But I have to explain.

5 Q. Well, go ahead and explain.

6 A. These additives that we add are hydrocarbons, as
you
7 described, consisting of carbon, hydrogen, oxygen,
nitrogen,
8 for example. And they are stable, they are -- they
have a job
9 to protect the polymer. But during the processing,
when we
10 manufacture the pellets, or when Smurfit manufactures
the drum,
11 some of the additive is consumed by doing its job;
i.e.,
12 protecting the polymer from degradation or burning, so
when we
13 analyze for the finished levels, there are some
variations in
14 the concentration because of the consumption of the
additive.

15 Also, when we manufacture polyethylene, we put in a
target
16 concentration. There is an allowable range.

17 Q. You put in what? I'm sorry?

18 A. A target concentration of a component. Because we
can't
19 make that concentration exactly, there is an allowable
range,
20 which is called the specification for that additive.
We have a
21 minimum and a maximum level. The material that we sell
has to
22 meet that -- the minimum and maximum level to go out

the door

23 to a customer as a prime resin.

622 that 24 Q. So there is a range of the amount of -- of Tinuvin

the same 25 one would find even in several different samples from

10891

Tony Tikuisis - Cross

1 company; is that right?

2 A. Yes. Yes.

correct? 3 Q. Now, you did two laboratory analyses in this case;

about? 4 A. For -- for which -- which test are we talking

5 Q. You did one set of analyses in 1996, did you not?

6 A. Yes.

September of 7 Q. And then you did another set of analyses in

8 1997; is that right?

9 A. Yes.

10 Q. And what was the reason that you did a second set?

was in 11 A. The first time we did -- the actual testing we did

were 12 September of '95. The reason we did the testing, we

looked 13 looking at -- I performed the same seven tests. But I

14 at multiple pieces within the sample bag. And this

time, we

15 were asked to do all seven tests on one piece of
plastic.

16 Q. I'm going to show you now what I've marked as
Defendant's

17 Exhibit E79. Is that your first test?

18 A. Yes, it is.

19 MR. TIGAR: We offer it, your Honor.

20 THE COURT: I take it it's a report of the
test.

21 MR. TIGAR: Yes, your Honor.

22 MR. MEARNS: May I have just a moment?

23 THE COURT: Yes.

24 MR. MEARNS: Mr. Tigar, can I just make sure
that I

25 know --

10892

Tony Tikuisis - Cross

1 MR. TIGAR: E79.

2 MR. MEARNS: No objection, your Honor.

3 THE COURT: All right. What is the number
again,

4 please?

5 MR. TIGAR: E79, your Honor.

6 THE COURT: Thank you. E79 received.

7 BY MR. TIGAR:

Does 8 Q. I'm going to put up on the device here a summary.
9 this sheet reflect the summary of the analyses that you
10 performed?
11 A. Of the first analysis I performed, yes.
this? 12 Q. Yes, this is the September. What time frame was
13 A. September of '95. This letter was written in '96.
describe 14 Q. All right. The letter that -- that you wrote to
15 your results was written in '96, but the test was in
'95; is 16 that right?
17 A. Yes. Yes.
that you 18 Q. Now, can you find on here where are the fragments
19 tested?
as 20 A. They are under -- located under the column labeled
21 sample identification. "Sample ID."
22 Q. And which ones are they?
23 A. Well, I tested all of those samples in that table.
two 24 Q. I understand. But you only testified today about
25 tests; correct? Two pieces of plastic?

10893

Tony Tikuisis - Cross

1 A. Three pieces of plastic.

2 Q. Well, two, one from the drum and two that were in
the

3 little bags; right?

4 A. Yes.

5 Q. All right. Now, the -- I'm asking you to look on
here and

6 find the two that were in the little bags.

7 A. Okay. I have to refer to the Q number.

8 Q. Please do.

9 A. Q112.

10 Q. All right. That's the top one up here?

11 A. Yes.

12 Q. Okay.

13 A. And Q1 -- not Q121. Q116. Sorry.

14 Q. 116. And that's the third line down; correct?

15 A. Yes.

16 Q. Now, you testified that for Q112 here, the first
thing you

17 did was a melt index; correct?

18 A. Yes.

19 Q. And what is the reference to Van Leer and Smurfit?

20 A. When the samples came to us with Monica Knuckles,
they had

21 already had some previous test results of those
samples; so in

22 this particular sample, I did not perform a melt index

23 measurement. I simply recorded the melt index data

that was

24 already -- were already completed.

25 Q. All right. So that's not a test you did on that
sample at

10894

Tony Tikuisis - Cross

1 that time?

2 A. No. Did not -- the names in parentheses represent
the
3 companies that performed the tests on that piece of
plastic.

4 Q. Oh, I see. And then we're looking over here to T
-- UV
5 stabilizer type; correct?

6 A. Yes.

7 Q. And that's T622; correct?

8 A. That's the -- that's the abbreviation I use for
Tinuvin
9 622.

10 Q. And that's reflected at the bottom. Tinuvin 622;
right?

11 A. Yes.

12 Q. So that's what you found, is Tinuvin 622?

13 A. Yes.

14 Q. And in that sample, you found 995 parts per
million;

15 correct?

16 A. Yes.

target
17 Q. Now, in your manufacturing process, what is the
the
18 range for -- in parts per million for the addition of
19 ultraviolet stabilizer Tinuvin?

20 A. That depends. We don't, as I said before -- we
don't add
21 it to high-density resin. We add it to a linear low
resin.

22 Q. So do you know and you do not have -- you do not
add
23 Tinuvin to the pellets that you sell to Smurfit; is
that right?

24 A. That is correct.

25 Q. They add it?

10895

Tony Tikuisis - Cross

1 A. Yes.

2 Q. And do you know what their target parts per million
is?

3 A. Yes, I do.

4 Q. What is that?

5 A. It's 2 percent of 6.25 percent, or .125 percent,
which is
6 1250 PPI, parts per million.

7 Q. 1250?

8 A. Parts per million.

9 Q. Okay. And this one here is 995; correct?

10 A. Yes.

11 Q. Now, over -- what is it that causes the Tinuvin
level in a

12 manufactured drum such as this to vary?

13 A. Several things.

14 Q. All right.

15 A. First of all, the manner in which it was added or
the

16 accuracy of the addition could be questioned. First of
all --

17 Q. Okay. The accuracy of what?

18 A. The addition of the -- of the Tinuvin to the
original

19 material.

20 Q. Oh, the accuracy of how it's added; correct?

21 A. Yes.

22 Q. All right.

23 A. For example, Smurfit purchases its UV stabilizer
from

24 Allied Color. The specification calls for 6.25 percent
of this

25 Tinuvin 622 in the concentrate. There is a
manufacturing range

10896

Tony Tikuisis - Cross

1 there. 6.25 percent simply represents the range, but

it could

2 be lower than that or it could be higher.

3 Q. Okay. So let me interrupt you there. Smurfit buys
its

4 Tinuvin 622 from an outfit called Allied Color?

5 A. Yes.

6 Q. Does what it buy -- does Allied Color supply
Tinuvin 622,

7 or does it supply some product that contains Tinuvin
622?

8 A. It supplies what we refer to in the industry as a
"master

9 batch," which is a concentrate of the UV additive or
other

10 components.

11 Q. All right. Okay. So the first thing is that what
they

12 receive from Allied could be different; correct?

13 A. Well, it could be -- suppliers usually do some QC
or

14 quality control testing to ensure that the key
components are

15 present at the approximate levels; but there is a range
called

16 a minimum and maximum allowable concentration.

17 Q. And are there -- does the quantity, the discernible
or

18 measurable quantity of this UV additive change over
time? That

19 is, if I leave this barrel -- Now, in its natural
state, by the

correct? 20 way, this barrel didn't have all this powder on it;

21 A. Probably not.

is what 22 Q. Okay. And this is a natural barrel; right? This

23 we call a natural one?

24 A. Yes.

kind; 25 Q. That is, it doesn't contain any color dyes of any

10897

Tony Tikuisis - Cross

1 right?

2 A. That is correct.

because 3 Q. And thus, it's -- it looks white, but that's only

container 4 it's -- it's thicker than, let us say, a -- a milk

5 you'd get at the store; correct?

6 A. Exactly.

7 Q. All right. And there are other high-density --

you 8 Now, if -- come back to my question. If -- if

measurable 9 leave this out in the sun for a long time, do the

10 levels of T622 diminish?

11 A. They could.

12 Q. And are there any other things that would cause the

13 measurable levels of T622 to change?
14 A. Yes. Getting back to the first part, when Smurfit
received
15 the master batch, they have to -- they add it to our
plastic.
16 Additional level of 2 percent. That can vary from
maybe as
17 little as 1.5 percent or 2-1/2 percent, depending on
what their
18 range is. Or they could simply make a mistake in the
addition
19 of it.
20 Q. So there could be changes in the manufacturing
process?
21 A. Yes. But they check for the final to confirm that
they
22 have added the components at the approximate level.
23 Q. That's right. They want to make sure that they are
getting
24 a consistent product; right?
25 A. Yes.

10898

Tony Tikuisis - Cross

1 Q. Because they're buying 100 million pounds a year of
this
2 stuff from you and manufacturing it into things that
are
3 supposed to do a job; correct?
4 A. Yes.

are
over
5 Q. And they are telling their customers that what they
6 making and selling as these bins can be used over and
7 again; right?

8 A. Not necessarily.

customers they
9 Q. Well, in many cases, they are telling their
10 can be used over and over again; right?

the
11 A. Depends on the product stream and the chemical that
12 drum is going to contain.

for the
13 Q. Well, are there standards, international standards,
14 number of times that a container made of high-density
15 polyethylene should be reusable?

16 A. I'm not -- I'm not -- I don't know.

United
17 Q. Do you know of any numbers that begin with UN, for
18 Nations, and some numbers after that --

19 A. Yes.

20 Q. -- that have to do with these things?

21 A. Uh-huh.

with, among
22 Q. Is it your -- are those -- do those have to do
23 other things, the ability of the thing to be recycled?

24 A. Not necessarily.

25 Q. Do some of them have to do with that?

10899

Tony Tikuisis - Cross

1 A. It depends -- it depends on what you're talking
about
2 recycling. If you're recycling to reuse the container
for that
3 specific application, yes.
4 Q. Yes, sir.
5 A. But recycling can also mean taking that drum or
finished
6 article, grinding it up, and processing it into another
piece
7 of plastic.
8 Q. I understand that. Do some of these UN numbers
have to do
9 with the number of times it can be reused?
10 A. Probably. I'm not familiar with that code.
11 Q. All right. And you have worked with Smurfit in the
--
12 their attempt to penetrate the market with respect to
using
13 their high-density polyethylene drums for lubricating
oils;
14 correct?
15 A. Not -- not specifically lubricating oils.
Including
16 lubricating oils, but other chemicals, as well.
17 Q. Lubricating products; correct?

of 18 A. Well, that wouldn't -- Smurfit sells to a variety
which may 19 customers, and they package a variety of chemicals,
20 include lubricating oils.

21 Q. We can ask Smurfit.

ways in 22 So over time -- Have we gone through all the
23 which the amount of Tinuvin 622 might be different?

24 A. No. We haven't finished that.

25 Q. All right. Please finish.

10900

Tony Tikuisis - Cross

could be 1 A. We first talked about that the -- the level added
we call 2 in question a little bit. There could be a range, what
3 a "range" in the industry, or variation.

plastic, if 4 Depending on how Smurfit processes the
some of 5 they overcook the resin, for example, you can consume
present 6 these additives during processing. Tinuvin is mainly
i.e., in 7 there to protect the polymer in the finished state;
8 the drum.

9 Q. When you say the "polymer," you mean the -- the

10 polyethylene; right?

11 A. High-density.

12 Q. But in polymerization, p-o-l-y-m-e-r, that simply
refers to

13 a process by which relatively short chains are made
into

14 relatively longer chains; is that right?

15 A. That's correct.

16 Q. All right. So that -- that what starts out as a
relatively

17 simple molecule that contains a carbon and some
hydrogen gets

18 cooked and is made into a -- a much longer molecule;
right?

19 A. Yes.

20 Q. Is that fair enough?

21 A. That's fair.

22 Q. Is that about a B-plus answer? Okay. Get -- it --
okay.

23 We'll go on from there.

24 You're saying that there could be a problem
because

25 they might cook it too much; right?

10901

Tony Tikuisis - Cross

1 A. A little bit.

2 Q. Okay. Have we gone through the list now?

the
was
That

3 A. Well, then the article is finished. Depending on
4 trauma that the container sees, how much sunlight it
5 exposed to, some of that Tinuvin could be consumed.
6 depends on the life of the drum.

it
go away?

7 Q. Well, we already went through that. If you leave
8 outside, the bright sunlight, that Tinuvin is going to

9 A. Not all of that.
10 Q. Well, some will. That is, that will change the
amount of

11 it; correct?
12 A. Yes.
13 Q. Okay. Now, the next thing that -- the next -- you
also
14 said you looked at Q116; right?

15 A. Yes.
16 Q. And there, you've got a melt index of 5.72;
correct?

17 A. Yes.
18 Q. And you determined that it was -- it contained an
19 antioxidant; right?

20 A. Two antioxidants.
21 Q. Two. All right. And that was -- which
antioxidants did

22 you find Q116 contained? I see you have two numbers
here.

23 A. Irganox 1010 and Weston 399.

24 Q. And up here, for Q112, you found two antioxidants
and they

25 were 1010 and I168; right?

10902

Tony Tikuisis - Cross

1 A. Yes.

2 Q. So are you saying that the two samples that you
had, the

3 little fragment samples, had different antioxidants?

4 A. Partially.

5 Q. All right. And are -- tell us why that is.

6 A. When we manufactured the specific grade HPW555, we
have an

7 alternate antioxidant package so we can make it with --
it

8 contains -- there's two antioxidants used, a primary

9 antioxidant which Irganox 1010 is and we add a
secondary

10 antioxidant. The secondary antioxidant we can use for
that

11 grade, we're authorized to use Weston 299 or Irgafos
168. In

12 our opinion, they can be used interchangeably.

13 Q. So that of the 100 million pounds a year of pellets
that

14 you sell to Smurfit, some are going to have one
combination of

15 antioxidants and some are going to have another
combination;

16 right?

17 A. Yes.

18 Q. And do you tell Smurfit which combination, or do
you regard

19 these as chemically identical?

20 A. Disclosing antioxidant or additive formulation
information

21 to customers is usually not done because that
information is

22 considered proprietary.

23 Q. All right. That is, you'd like to stay in the
pellet

24 business and keep them in the drum business; right?

25 A. Basically.

10903

Tony Tikuisis – Cross

1 Q. Okay. So the answer is that you wouldn't
necessarily tell

2 them that; right?

3 A. No. It depends on the nature of our working
relationship

4 with that customer.

5 Q. All right. Now, here, if we can put this back up.
Here is

6 Q116 again. And I'm going to follow across with my
finger, and

7 we're going to find T622 again; right?

8 A. Yes.

9 Q. And we're going to find 673 parts per million;
right?

10 A. Yes.

11 Q. And that's -- that -- the first one we had was 995
and the

12 second was 673; correct?

13 A. Yes.

14 Q. And if we use the lower number, what percentage
more in

15 terms of parts per million is Q112 from Q116?

16 A. It looks like approximately 25 to 30 percent.

17 Q. Okay. Now, you also testified about that you
looked at the

18 calcium carbonate content; correct?

19 A. Yes.

20 Q. Now calcium carbonate, that's chalk?

21 A. Chalk, limestone.

22 Q. And I mean, that -- it's like what we write on a
blackboard

23 with; right?

24 A. Exactly.

25 Q. And we could go out in a limestone quarry, we could
pick up

10904

Tony Tikuisis - Cross

1 a piece, we could write on the board with it; right?

2 A. Uh-huh.

3 Q. Now, is calcium carbonate -- is that -- the
addition of

4 that something that's unique to your high-density
polyethylene?

5 A. No. It's unique to Smurfit.

6 Q. All right. Smurfit uses calcium carbonate. Do you
know of

7 any other -- do then they put the calcium carbonate in
all

8 100 million pounds of this stuff that they use?

9 A. No. It only goes in the UV stabilizer where they
add --

10 when they make a natural container.

11 Q. So that the calcium carbonate would not be present
in the

12 red and blue --

13 A. That's right.

14 Q. -- plastic, or black, or whatever color they have?

15 A. Exactly.

16 Q. Now, when you say 100 million pounds, is that to
supply

17 Smurfit's United States market, or do you supply them
for their

18 entire international market?

19 A. We supply them -- they have several manufacturing
locations

20 in the United States. We supply those plants. The
finished

21 drums from those plants may be shipped worldwide.
22 Q. Now, Smurfit is a company based where? In Ireland?
23 A. Headquarters?
24 Q. Yes.
25 A. I think so.

10905

Tony Tikuisis - Cross

1 Q. And they also sell high-density polyethylene in the
2 European community; correct?
3 A. Possibly.
4 Q. Do you know if they have plants located within the
European
5 community?
6 A. Not personally, no.
7 Q. All right. Now, the calcium carbonate that you
found in
8 Q112 -- we can just trace across here -- was 1776 parts
per
9 million; correct?
10 A. Yes.
11 Q. Now, in Q116, you found 2714 parts per million;
correct?
12 A. Yes.
13 Q. Now, do you know what the target parts per million
is in --
14 at Smurfit for calcium carbonate?

15 A. Yes, I do.

16 Q. What is that?

17 A. It's 7 -- it's 2 percent of 7 percent, or .14
percent, or

18 1400 PPI.

19 Q. 1400 PPI. Okay. So that the level of calcium
carbonate

20 that you found is 1776 here and 2714 there? Correct?

21 A. Yes.

22 Q. Now, do you think that is -- well, do you have an
23 explanation for, first, the fact that both of these
numbers are

24 higher than the target amount of calcium carbonate that
Smurfit

25 wants to put in its plastics?

10906

Tony Tikuisis - Cross

1 A. Yes. It's not unusual.

2 Q. Okay. It's -- all right. It's not unusual, but do
you

3 have an explanation for it?

4 A. It -- there's several explanations. The first one
could be

5 that there was a higher level of calcium carbonate put
into the

6 UV master batch, or the sample that I tested -- when we
do this

7 analysis, we do it on a small piece. There could have
been a
8 glomerate or a chunk of calcium carbonate in the sample
that I
9 analyzed. It depends on how well that calcium
carbonate is
10 dispersed in the material.

11 Q. Now, when you do the calcium carbonate analysis, do
you do
12 a destructive test?

13 A. Yes, we do.

14 Q. And the destructive test consists of grinding;
correct?

15 A. Not this test, no.

16 Q. All right. What does this test consist of?

17 A. This test, we actually burn the sample to get an
ash, and

18 we dissolve the ash in an acid/water mixture, and we
subject it

19 to a test called ICP, inductive-coupled plasma emission
20 spectroscopy.

21 Q. Okay. Easy for you to say.

22 And when you did that, you got these two
results;

23 correct?

24 A. That's right.

25 Q. Now, which -- looking at this sheet here, a part of
E79 --

Tony Tikuisis – Cross

1 A. Actually, these are an average of the results. The
results
2 reported are an average.

3 Q. All right. An average of what, sir?

4 A. Of two determinations.

5 Q. Of?

6 A. Two determinations, or two measurements.

7 Q. All right. Two determinations. And the two
determinations
8 are determinations based on the entirety of the sample,
or did
9 you divide the sample in order to do it?

10 A. A portion of the sample.

11 Q. You took a portion of the sample.

12 A. Well, the test requires a sample weight.

13 Q. The test -- I'm sorry?

14 A. We use a specific sample weight.

15 Q. Okay. A sample weight. That is to say, there's a
certain
16 amount you have to have in order to have confidence in
your
17 results; right?

18 A. That's right.

19 Q. Now, looking at this, which is the piece -- which
is the
20 test that reflects what you did with what you took from
the

21 drum that's sitting right there?

22 A. Which -- can you repeat the question?

23 Q. Yes, sir. Which of the tests reflected on E79 here
has to

24 do with the -- the particular drum?

25 A. I did all seven tests on that piece of drum.

10908

Tony Tikuisis - Cross

1 Q. Okay. I'm saying -- I'm sorry. I'm just trying to
find

2 out which line I should look at to find it. Which Q
number?

3 A. The drum test was done -- that's reported on a
different

4 table.

5 Q. Well, where is -- what's Q14?

6 A. That would -- that was a clerical typo. It's --
and that

7 was from a -- that was from a sample Q121, but a
different

8 section of the drum.

9 Q. But a different section of the same drum?

10 A. Yes.

11 Q. So that Q14 result does reflect an analysis of a
piece of

12 what is in evidence as Government's 190; correct?

13 A. Yes.

we're 14 Q. All right. Now, zooming on out so we can see what

The top 15 talking about, we first talked about Q112; correct?

16 line?

17 A. Yes.

18 Q. And then we talked about Q114; correct?

19 A. No.

20 Q. No. Excuse me. Q116; correct?

21 A. Yes.

right? 22 Q. And then -- and you did a whole bunch of tests;

23 A. Yes.

plastic? 24 Q. On a different -- bunch of different kinds of

are not 25 A. Initial tests, we did. We had several samples that

10909

Tony Tikuisis - Cross

samples. 1 reported here because we were screening some of the

Knuckles 2 Q. Okay. Now, these were all things that Monica

3 brought to your laboratory?

4 A. Yes.

5 Q. All the things on this sheet?

6 A. Yes.

7 Q. All right. Now, the -- the test that refers to
that refers

8 to a piece from the drum that's in evidence as
Government's

9 Exhibit 190 is here at Q14; correct?

10 A. Yes.

11 Q. And the first thing you did was a melt index --
correct --

12 or you did a melt index; right? Or a melt index was
done. You

13 wrote ND here; right?

14 A. That was not determined. The initial testing we
did on

15 that piece, we only did -- we had limited samples, so
we only

16 did a few tests, or we decided -- Monica decided that
the tests

17 were not necessary at that point.

18 Q. And then you looked at the antioxidant composition?

19 A. Yes.

20 Q. Correct?

21 A. Yes.

22 Q. Now, this -- the antioxidant that's in this drum,

23 Government's 190, is the I1010 and W399; correct?

24 A. Yes.

25 Q. And that is the same antioxidant package as in Q116
but not

10910

Tony Tikuisis - Cross

1 the same as in 112; correct?

2 A. That's correct.

3 Q. Now, then, we see T622 -- whoops. I'm got them --
T622;

4 correct?

5 A. Which line are you on?

6 Q. I'm on the -- the Q14 line.

7 A. Okay.

8 Q. And parts per million here is 1587; right?

9 A. Yes.

10 Q. Now, that means that your test, the first test you
ever did

11 of this drum, shows that it contains Tinuvin 622 in a
certain

12 concentration, and that concentration is 1587 parts per
13 million; correct?

14 A. Yes.

15 Q. The test on Q116 that you've been testifying about
today --

16 correct?

17 A. Uh-huh.

18 Q. -- shows 673 parts per million? Correct?

19 A. Yes.

20 Q. Now, in terms of percentage, how much more in terms
of

21 parts per million is in this drum than in the sample

Q116?

22 A. Approximately double.

23 Q. Okay. Double plus; right? 673 times 2 is 1346;
correct?

24 A. Yes. I can't say, though, for sure that the sample
is from

25 that drum. It was identified to me as that.

10911

Tony Tikuisis - Cross

1 Q. And -- well, who identified it to you as from the
drum?

2 A. Well, we -- Monica Knuckles.

3 Q. And Monica Knuckles works for the Federal Bureau of
4 Investigation; correct?

5 A. Yes.

6 Q. All right. So we have 2 -- we have 2 -- what
percentage

7 more here, 673 to 1587? 200-and-some percent; right?

8 A. Okay.

9 Q. Is that correct?

10 A. Yes.

11 Q. Okay. And on the Q112, the UV stabilizer T -- was
995;

12 right?

13 A. Yes.

14 Q. And that's -- so from 995 to 1587, that's 100-and-
some

15 percent more; right?

16 A. Yes.

17 Q. At least 150?

18 A. Uh-huh.

19 Q. And over here, the calcium carbonate content on the
piece

20 excised from the drum, Government 190, is 953; correct?

21 A. I can't see it on the table here.

22 Q. Okay. Can you -- let me zoom in. There it is.
953.

23 Shall I put the paper in front of you?

24 A. I can see it now.

25 Q. You can see it?

10912

Tony Tikuisis - Cross

1 A. Yeah.

2 Q. 953?

3 A. Yes.

4 Q. And that correlates to 2714 for the sample Q116,
the small

5 piece of plastic; right?

6 A. Yes.

7 Q. And correlates to 1776 for the other small piece of
plastic

8 Q112; correct?

9 A. Yes.

10 Q. Now, you said that you did then a second study in
1997;

11 correct?

12 A. Yes.

13 Q. And you reported on that on September the 17th,
1997;

14 correct?

15 A. Yes.

16 Q. I show you what I have marked as Defendant's
Exhibit E80,
17 and I ask you if that's the cover letter to Mr. Mearns
and the
18 summary of your results from the 1997 tests.

19 A. Yes, it is.

20 MR. TIGAR: And I offer it, your Honor. E80.

21 MR. MEARN'S: No objection, your Honor.

22 THE COURT: E80 received.

23 MR. TIGAR: May I have a moment, your Honor?

24 THE COURT: Yes.

25 BY MR. TIGAR:

10913

Tony Tikuisis - Cross

1 Q. I'm going to place up on the machine here what I
have
2 marked -- what's now been received in evidence as
Defense

summary 3 Exhibit E80. And I'll ask you, sir, is that the chart

4 of your 1997 analysis?

5 A. Yes, it is.

excuse me. 6 Q. Now, does it reflect the same information as --

7 Is it the same type of information as is reflected in
your 1995

8 tests?

9 A. Yes.

the 10 Q. And the information is arranged in the same way on

earlier, 11 chart as on the chart that we've been looking at

12 Defendant's E79?

13 A. Yes.

Q112A; 14 Q. Now, here, the first sample we -- you have here is

15 correct?

16 A. Yes.

fragments; 17 Q. Now, and that is a sample from a little bag of

18 correct?

19 A. Yes.

bring 20 Q. And that's the bag of fragments now. Now, did they

keep 21 the bag of fragments back to you or did you -- did you

22 them there all the time?

23 A. They brought them to me.
1995, the -- 24 Q. That is, after you had completed your tests in
they 25 the agents of the FBI took the materials back, and then

10914

Tony Tikuisis - Cross

1 brought them to you again in '97; correct?
2 A. Yes.
3 Q. And they asked you to perform some additional
tests; is 4 that right?
5 A. Yes. Yes.
6 Q. Now, here, we see that there is a -- you've got
three 7 samples here, 112A and 116A. That's from the little
bags of 8 fragments; right?
9 A. Yes.
10 Q. And 121A, that's from the -- the cutout of the
barrel; 11 correct?
12 A. Yes. Which -- that's the sample that I labeled and
I -- I 13 labeled it as Q14 on the -- on the sample, but I
misread the 4 14 for a 2.
15 Q. I understand. We don't have any dispute about

that, sir.

The 16 I mean, I understand how the labeling process worked.

somebody 17 point is that that sample is for sure what you watched

18 cut out of this barrel?

19 A. I assisted in cutting the sample out. It was quite

20 difficult.

21 Q. Pardon me?

22 A. It took a little bit of time to get the sample out.

instrument of 23 Q. I can imagine. You had to use a very sharp

24 some kind.

took about 25 A. We didn't actually have the proper tools, so it

10915

Tony Tikuisis - Cross

1 a half an hour.

tell us 2 Q. Now, when you say the "melt index," can you just

3 what that means.

of the 4 A. "Melt index" is a measurement of the processability

5 resins, the full -- the processability, or flowability.

when you 6 Q. The -- I see. That has to do with the fact that

of them 7 sell these pellets, you can't make anything useful out

8 unless you can melt them and then make them into
something;
9 correct?
10 A. That's right. And we sell a variety of melt
indices.
11 Different resins with different melt indices.
12 Q. All right. That is to say -- what you said before,
is that
13 what you're saying -- telling us about that now; that
is, that
14 within the family called HDPE, we will find resins that
have a
15 range of melt indices; is that correct?
16 A. Yes.
17 Q. And those are for different applications; right?
18 A. Yes.
19 Q. For instance, HDPE might be used for a -- to make
something
20 that looks like corrugated cardboard?
21 A. Possibly.
22 Q. Yes. And if that were done, would that be a melt
index the
23 same as you would use for a barrel?
24 A. Not necessarily.
25 Q. And what -- how would we choose?

the part. 1 A. Depends on the processing. You choose to produce
container 2 For example, if you were making a -- a beer -- a beer
called 3 that's used at a baseball game, that can be also be a
4 high-density polyethylene, but that's made in a process
could be 5 injection molding. The melt index of the resin there
6 as high as 50 to 100.

what 7 Q. All right. And -- Now, injection molding, is that
8 Smurfit uses to make its HDPE barrels?
9 A. No. They use a process called blow molding.

blow 10 Q. Blow molding. And what is the different between
11 molding and injection molding?
and 12 A. In injection molding, the material is still melted,
13 it's -- it's goes into a melted state and then a high-
pressure 14 ram forces the molten plastic into a mold at high
pressure. 15 Q. All right. That's -- That's blow molding?
16 A. That's injection molding.

is what 17 Q. Injection molding. And what's blow molding which
18 Smurfit uses?
machine 19 A. In blow molding, the plastic melt come out of the

then the 20 in a thin tube called -- what is called a parison, and
the 21 high-pressure air is injected into that parison to blow
the 22 molten plastic against the wall of the mold.
index; 23 Q. I see. Now -- so you wanted to measure the melt
24 correct?
25 A. Yes.

10917

Tony Tikuisis - Cross

1 Q. Now, you found that the melt index of the two
samples from 2 the little bag of samples was 5.9 and 5.82
respectively; 3 correct?
4 A. Yes.
5 Q. And you found that the melt index of the piece
taken out of 6 that barrel was 5.17; correct?
7 A. Yes.
8 Q. And so from 5.17 to 5.9, we have a what percent
difference? 9 A. Less than 10 percent.
10 Q. Well, 10 percent of 5.17 is .517; correct?
11 A. Uh-huh.
12 Q. And if I add .517 to 5.17, I get a number that's
somewhat

13 less than 5.9, don't I?
14 A. Yeah. It could be 10 to 15 percent.
15 Q. 10 to 15 percent. Okay. So it's 10 to 15 percent
more;
16 correct?
17 A. I need a calculator to determine the exact
percentage.
18 Q. Well, we could figure it out with a pencil or a
calculator;
19 right? It -- Now, then there's the antioxidant
content;
20 correct?
21 A. Yes.
22 Q. And once again, we see that the antioxidant
package,
23 particular package used in the 116A is the same package
as
24 happens to be in 121A; correct?
25 A. Can you open up the -- yes.

10918

Tony Tikuisis - Cross

1 Q. Can you see that? You want me to go --
2 A. No. No. That's fine. I can see it.
3 Q. Got it?
4 A. Yeah.
5 Q. Okay. Good. And the amounts, the respective
amounts we've

Q121A; 6 got -- the Q116A has the same antioxidant package as
7 correct?
8 A. Yes.
9 Q. The -- however, the amount of I1010 in 116A is 616;
10 correct?
11 A. Yes.
12 Q. And that's in parts per million?
13 A. Yes.
million; 14 Q. The amount of I116 in 121A is 862 parts per
15 correct?
16 A. Yes.
million 17 Q. And what is the percentage difference in parts per
18 between the little bag of fragments, 116, and the
barrel one?
19 A. Approximately, I guess, 25 to 30 percent.
That 20 Q. Now, we look at infrared spectrum. That says PE.
21 means that using this infrared machine, we know it's
some kind 22 of polyethylene; correct?
23 A. Yes.
light 24 Q. Okay. And that infrared spectrum means you shine a
25 through it and look at something?

10919

Tony Tikuisis - Cross

1 A. Yes. You get a chemical fingerprint. You use an
infrared
2 source. The outprint is a spectrum, an infrared
spectrum.

3 Q. And you know it's some kind of polyethylene because
you can
4 see that you've got some kind of carbon chain there;
right?

5 A. Yes.

6 Q. They are called carbon atoms?

7 A. Well, carbon ethylene chains. Ethylene units.

8 Q. Right. Then you look at the melting points, and
the three
9 melting points are pretty consistent here; correct?

10 A. Yes.

11 Q. Then we see that the UV stabilizer type is the
same, T622;
12 correct?

13 A. It yes.

14 Q. And that identifies it as somebody (sic) that's
been made
15 by somebody who buys stuff from Ciba-Geigy; right?

16 A. Yes.

17 Q. And Ciba-Geigy, we've now established, sells not
only --
18 they sell through intermediaries; correct?

19 A. That's right.

20 Q. Such as --

21 A. Well, it depends on what you mean by
"intermediary," but

22 they usually sell directly to a customer.

23 Q. Well, you said that Smurfit purchased their
ultraviolet --

24 you know, sunlight protector additive from Atlas; is
that

25 correct?

10920

Tony Tikuisis - Cross

1 A. No. Allied Color.

2 Q. I'm sorry. Allied. Allied is not Ciba-Geigy, is
it?

3 A. No.

4 Q. So that's -- what happened there was that Ciba-
Geigy, the

5 Swiss company, has -- has either licensed Allied to
make it or

6 they have sold it directly to them; correct?

7 A. Not a license. They sold it.

8 Q. They sold it. So it goes through an intermediary
in that

9 instance?

10 A. Yes. But the customer could also buy the additive
11 directly.

12 Q. You also can?

13 A. Yes.

14 Q. Because Tinuvin 622, Ciba-Geigy obviously wants to
sell as

15 much of that as they can; right?

16 A. Yes.

17 Q. And they sell it to a lot of different people;
correct?

18 A. Yes.

19 Q. Okay. And the idea of using calcium carbonate as a
20 stabilizer -- that's not patented, is it?

21 A. It's not a stabilizer. It's used --

22 Q. Calcium carbonate. What's the purpose of calcium
23 carbonate?

24 A. It's a gravimetric tracer. I.e. Smurfit would do
an ash

25 test. They would burn the plastic after it was made a
piece of

10921

Tony Tikuisis - Cross

1 the drum to confirm that the UV stabilizer was added.

2 Q. Okay. That's not a patented process, is it, using
calcium

3 carbonate?

4 A. No. But it's unique.

5 Q. In your experience, it's unique; correct?

6 A. No. It's unique because this formulation -- Allied

Color

7 makes this concentrate specifically for Smurfit
Plastics and no

8 one else.

9 Q. All right. That is, Allied Color has a deal with
Smurfit

10 where they make something that contains Tinuvin 622 and
calcium

11 carbonate?

12 A. Yes.

13 Q. All right.

14 A. And that's a unique formulation.

15 Q. And -- and you know that because that's a
contractual

16 relationship between Smurfit and Allied?

17 A. Yes.

18 Q. All right. And you know about that; right?

19 A. Yes.

20 Q. All right. Now, are you saying that the idea is --
the

21 idea of putting a tracer in your plastic -- is that
only

22 Smurfit that does that?

23 A. No.

24 Q. There are other companies that do it; right?

25 A. It depends what -- the tracer is unique. The
choice of

Tony Tikuisis - Cross

1 tracer is specific.

2 Q. I understand it's specific. Are you the only
company that

3 manufactures resin pellets?

4 A. No.

5 Q. Okay. There are others; correct?

6 A. Yes.

7 Q. What's your market share?

8 A. We are --

9 Q. For the -- Canadian -- Canada/U.S. market?

10 A. In North America, we are probably about No. 4 on
the list

11 of 20 producers, so we have significant market share.

12 Q. All right.

13 A. I don't know what it is. I don't have the numbers
offhand,

14 but we are a major player in the business.

15 Q. So you do 300 million pounds a year. And do you
know how

16 many million pounds a year of these resin pellets are
made?

17 A. Well, we manufacture in total -- Nova manufactures
about

18 2.2 billion pounds of polyethylene a year.

19 Q. All right. The 2.2 billion pounds of polyethylene
-- and

20 you're one of 23 players in that market?

21 A. One of 22 players.
22 Q. 22 players. And your market position is No. 4?
23 A. In North America.
24 Q. In North America. And beyond the 22 billion pounds
that
your
25 you make, do you have any idea what the total size of

10923

Tony Tikuisis - Cross

1 market is?
2 A. 2.2 billion pounds.
3 Q. 2.2 billion. Got my decimal wrong.
4 A. The annual volume of polyethylene produced is
approximately
5 50 billion pounds.
6 Q. Now, how much of the 50 billion pounds of
polyethylene is
7 high-density polyethylene?
8 A. I don't know. Approximately maybe a third. I'm
not sure.
9 Q. All right. And now, you then looked for the amount
of this
10 Tinuvin; is that correct?
11 A. Yes.
12 Q. And you found 814 and 815 parts per million
respectively in
13 the two fragments out of the little bag of fragments;

correct?

14 A. Yes.

15 Q. And then you looked at the amount in the barrel
itself and

16 you found 1343 parts per million; correct?

17 A. Yes.

18 Q. And that represents a difference, does it not, of,
well,

19 what -- what percentage, from 815 to 1343? It's a
hundred

20 and --

21 A. Maybe 40 percent.

22 Q. So it's about 160 percent of the amount in the
little bag

23 of fragments pieces that's represented in the big
barrel;

24 correct? Something like that? Is that about right?

25 A. Could be.

10924

Tony Tikuisis - Cross

1 Q. Okay. But we could do the arithmetic?

2 A. Yes.

3 Q. Correct? If we had -- I'm not attacking your
numbers. Are

4 you -- you're the -- are you -- you use a calculator
for this;

5 right?

6 A. Yes.

7 Q. Okay. And at my age, we didn't have those.

8 Now, the calcium carbonate content varies
among the

9 three samples; correct?

10 A. Yes.

11 Q. And it varies from 954, which is the amount in your
sample

12 barrel, to 2603 in your Q116A; correct?

13 A. Yes.

14 Q. And then back down to 1138 in Q112A; correct?

15 A. Yes.

16 THE COURT: We need to take a recess
somewhere.

17 MR. TIGAR: Yes, your Honor. If -- I would
appreciate

18 the opportunity. I could assemble my notes and then
head for

19 the home stretch on this witness. Thank you.

20 THE COURT: All right. We'll take the recess
now.

21 You may step down, sir.

22 Members of the jury, we'll take our usual 20-
minute

23 rest stop, during which, of course, please continue to
follow

24 the cautions given always when we stop -- and you're
excused --

25 avoiding discussion of the case or anything about the
testimony

10925

Tony Tikuisis - Cross

1 or issues in the case. And continue to stay away from
anything
2 outside the evidence, knowing that you will decide on
the basis
3 of all of the evidence received. You're excused now.
20
4 minutes.

5 (Jury out at 10:25 a.m.)

6 THE COURT: Okay. We'll recess. 20 minutes.

7 (Recess at 10:25 a.m.)

8 (Reconvened at 10:48 a.m.)

9 THE COURT: Please be seated.

10 (Jury in at 10:48 a.m.)

11 THE COURT: Please resume the stand, Mr.
Tikuisis.

12 Mr. Tigar?

13 BY MR. TIGAR:

14 Q. Mr. Tikuisis -- is it Dr. Tikuisis, or Mr.
Tikuisis?

15 A. Mister.

16 Q. Mr. Tikuisis, before the break, we were talking
about a

17 little while ago this calcium carbonate. Do you
remember that?

18 A. Yes.

19 Q. And calcium carbonate we see is chalk. Right?

20 A. Limestone.

21 Q. Limestone. Now, what -- does limestone have other
22 applications, industrial applications other than being
used as
23 chalk?

24 A. Yes, it does.

25 Q. And does it have applications in construction?

10926

Tony Tikuisis - Cross

1 A. Possibly.

2 Q. Is it part of mortar, do you know?

3 A. Could be in cements.

4 Q. Cements?

5 A. Cements.

6 Q. Now, the samples that you got in the plastic bag:
Did --

7 what did -- how did you test for calcium carbonate?
You made

8 them into an ash? Is that right?

9 A. We took the sample and we burned it into an ash,
and then

10 we analyzed the ash.

11 Q. Now, at the time you received those samples, they
were not

12 in the original nice, clean character that a freshly

13 manufactured Smurfit product would be. Correct?
14 A. Yes.
15 Q. They were distorted and they were discolored;
correct?
16 A. Partially discolored. Some were still completely
natural.
17 Q. And which ones did you select to reduce to ash?
How did
18 you make your selection?
19 A. Because we were instructed to do the tests on one
piece of
20 plastic, I weighed several of the pieces to get a
sample with a
21 minimum weight so I could do the complete analysis.
22 Q. And the sample that you used for the minimum
weight: Was
23 it clean, or dirty?
24 A. Could be either/or. It was partially white. Some
of it
25 was a little bit discolored, black.

10927

Tony Tikuisis - Cross

1 Q. And did it show signs of having been stressed by
something?
2 A. Yes.
3 Q. Did you wash the sample with anything before you
reduced it
4 to ash?

5 A. No.

6 Q. Is a finding of calcium carbonate -- could some of
the

7 parts per million of calcium carbonate that are
associated with

8 those samples result from calcium carbonate that had
adhered to

9 the exterior of the sample or been -- that the sample
had been

10 in contact with, as opposed to being a part of the

11 manufacturing process?

12 A. I would say no.

13 Q. And on what basis do you base your conclusion "no"?

14 A. Because I carefully examined each piece that we
tested to

15 look at the surface, and we did not find any powders or

16 anything on the surface.

17 Q. Now, the -- you didn't see any visible powders;
right?

18 A. Exactly.

19 Q. You do -- it is the case, isn't it, that the sample
showing

20 signs of stress was distorted and that there were
jagged edges

21 to it? Correct?

22 A. It was irregularly shaped.

23 Q. Now, in your -- in your process there, what's the

24 temperature at which a piece of high-density
polyethylene of

25 the kind that Smurfit -- that you sell to Smurfit for

10928

Tony Tikuisis - Cross

1 manufacturing barrels will melt?

2 A. Somewhere between 130 to 140 degrees.

3 Q. Celsius; is that correct?

4 A. Yes.

5 Q. Now, that's the Celsius or centigrade thermometer;
right?

6 A. Yes.

7 Q. Now, water boils at 100 degrees Celsius at sea
level.

8 Correct?

9 A. Yes.

10 Q. Not in Denver; right?

11 A. That's correct.

12 Q. Okay. It boils at a lower temperature in Denver;
right?

13 A. Uh-huh.

14 Q. So you're saying that -- and paper burns at what?

15 A. I'm not sure.

16 Q. Do you read Ray Bradbury? 454 (sic) Fahrenheit?

17 A. I recall the book, but I don't think I remember
that.

18 Q. 130, 140 degrees means that if I took a common,
ordinary

19 cigarette lighter and a piece of that and applied the

flame to

20 the edge of that barrel, I'd see it start to melt;
correct?

21 A. Yes.

22 Q. And at that point, the resin becomes liquid;
correct?

23 A. Becomes molten.

24 Q. Molten. And in its molten state at 130 to 140
degrees

25 Celsius, what is that in Fahrenheit? Can you tell me
offhand?

10929

Tony Tikuisis - Cross

1 A. No.

2 Q. Okay. But we could figure it out using a formula?

3 A. Very easily.

4 Q. All right.

5 A. I'm more familiar with conversing in centigrade or
Celsius.

6 That's the scale that we use.

7 Q. I understand. And is there a temperature at which
the

8 resin beads vaporize?

9 A. Yes.

10 Q. What is the temperature at which the resin beads
used in

11 high-density polyethylene of the sort that you sell to
Smurfit

12 vaporizes?
13 A. I don't know exactly, but I would say it's very
high.
14 Probably over -- at least over 500 degrees Celsius.
15 Q. 500 degrees Celsius?
16 A. At least.
17 Q. And -- and we could use a formula to convert that
into
18 Fahrenheit. Correct?
19 A. (Witness nods head.)
20 Q. Now, did you examine the fragments that were
submitted to
21 you to -- in an attempt to determine to what
temperatures they
22 had been subjected?
23 A. No.
24 Q. Now, if I melt -- if I used a common or ordinary
cigarette
25 lighter to melt this barrel, part of this barrel, and
then I

10930

Tony Tikuisis - Cross

1 took the flame away, the stuff would get solid again;
correct?
2 A. It would freeze.
3 Q. It would freeze. And by "freeze," you mean become
solid;

4 correct?

5 A. Yes.

6 Q. That is, this chemical, like -- I guess almost
every

7 other -- has three states: a liquid, a solid and a gas;
8 correct?

9 A. Theoretically, yes.

10 Q. And what we're looking at here is the solid form;
right?

11 A. Yes.

12 Q. And it gets liquid at something like 130, 140
degrees

13 centigrade; right?

14 A. Yes.

15 Q. And vaporizes or becomes a gas at these high
temperatures

16 that you've estimated for us; correct?

17 A. Yes.

18 Q. Now, are the only samples that you analyzed -- you
were

19 asked to analyze high-density polyethylene samples?

20 A. No.

21 Q. Did you analyze, without getting into what it was,
any

22 polyvinyl chloride samples?

23 A. I think one sample.

24 Q. And was that brought to you by the FBI?

25 A. Yes, it was.

10931

Tony Tikuisis - Cross

1 Q. Was it blue?

2 A. I can't recall.

3 Q. Did you examine any polypropylene samples?

4 A. Yes, I did.

5 Q. And how many?

6 A. I think two or three.

7 Q. Do you remember what color they were?

8 A. No, I don't.

9 Q. Were they brought to you by the FBI?

10 A. Yes.

11 Q. In addition to high-density polyethylene, polyvinyl
12 chloride and polypropylene, are there any other plastic

types

13 that were brought to you by the FBI to analyze?

14 A. Not that I know of, no.

15 Q. Now, a polyvinyl chloride is another one of these
16 plastic
17 polymers; correct?

17 A. Yes, but there is a distinction between the two.

18 Q. Between HDPE and PVC?

19 A. Yes.

20 Q. Oh, yes. Tell the jury: What is the difference?

21 A. Polyethylene is what's called a thermoplastic. It

can be

22 heated and solidified several times. PVC is what is
referred

23 to in the industry as a thermal-set resin.

24 Q. And PVC --

25 A. It is cured into a finished state. It cannot be
remelted.

10932

Tony Tikuisis - Cross

1 Q. Now, polyvinyl chloride is the sort of thing that's
used

2 for plumbing pipes?

3 A. Yes and -- yes.

4 Q. That's one of the things it's used for; and if we
go to the

5 hardware store, we might see a bunch of, for the

6 do-it-yourselfers, plumbing pipes in 8-, 12-foot
lengths;

7 correct?

8 A. Partially because the market is changing, PVC is
starting

9 to be used less because of environmental concerns with
it.

10 Q. But it is the sort of thing that has been used;
right?

11 A. Yes.

12 Q. And the distinction between the PVC and the HDPE,
you say,

13 is that once PVC is set, you can't melt it and then put
it back
14 together again?
15 A. It can be remelted, but it can't be readily
reprocessed,
16 plus there are obviously the chemical differences and
chemical
17 structure of the material and other physical-property
18 differences.
19 Q. Yes. And polypropylene is another one of those
plastics --
20 correct -- that's used in commerce and industry.
Right?
21 A. Yes.
22 Q. And on PVC, what's the recycle number for that?
23 A. I can't recall.
24 Q. And PP, do you know? Polypropylene?
25 A. Not offhand, no.

10933

Tony Tikuisis - Cross

1 Q. But these -- is there some requirement now that
when you
2 make something out of plastic, you put a number on it
to tell
3 what it is?
4 A. I think -- I don't know -- I'm not sure if that was
5 legislated, or not. I know the industry has talked
about doing

the SPE; 6 it through the SPI, Society of Plastics Industry, and

7 but I'm not sure if it's mandatory for all producers.

correct? 8 Q. And to your knowledge, Smurfit uses that system;

9 Can I -- I'm going --

10 MR. TIGAR: May I approach, your Honor?

11 THE COURT: Yes.

12 BY MR. TIGAR:

you, 13 Q. I'm going to bring Government's Exhibit 190 over to

symbol 14 sir. We can just read out here. You see the recycle

15 and the "2"?

16 A. Yes.

17 Q. And that says "HDPE"; correct?

18 A. Yes.

know what 19 Q. And then underneath it says "5-10HLMI." Do you

20 that means?

21 A. No.

22 Q. Okay. And then there is some other --

23 A. Actually I do now that I've read it.

24 Q. Go ahead.

10. 25 A. It probably refers to high-load melt index, 5 to

10934

Tony Tikuisis - Cross

1 Q. What does that mean?

2 A. That is an indication of the melt index of that
material

3 that was used to make the drum.

4 Q. What's the range, 5 to 10?

5 A. Exactly what it is, 5 to 10.

6 Q. Does that mean a melt index of 5 to 10? I just
don't
7 understand what the numbers mean.

8 A. It probably refers to the drums -- of melt index
varying
9 between 5 to 10 could be used to make a drum of that
nature.

10 Q. And to what temperature does a melt index of 5 to
10
11 correlate?

12 A. Doesn't correlate to any temperature.

13 Q. Then what does the melt index refer to?

14 A. The processability of the resin, its molecular
weight.

15 Basically's an approximation of the molecular weight,
the
16 length of the polyethylene chains.

17 Q. The polyethylene chains. And those you mean the
chains --

18 A. Chains of molecules.

19 Q. -- molecules that go to make up the polymer?

no 20 MR. TIGAR: Thank you very much, sir. I have
21 further questions, your Honor.
22 THE COURT: Mr. Mearns, do you have any
follow-up?
23 MR. MEARNS: Yes, briefly, your Honor.
24 THE COURT: All right.
25 REDIRECT EXAMINATION

10935

Tony Tikuisis - Redirect

1 BY MR. MEARNS:
2 Q. Mr. Tikuisis, Mr. Tigar was asking you certain
questions
3 about plastics being produced from hydrocarbons. Is
that
4 correct?
5 A. Yes.
6 Q. The plastic fragments that you tested came to you
in
7 plastic bags; is that right?
8 A. Yes.
9 Q. Are those plastic bags made out of high-density
10 polyethylene?
11 A. No, they are not.
12 Q. What are those plastic bags made out of?
13 A. Either linear low-density polyethylene or low-
density

14 polyethylene.

15 Q. Would storing the high-density polyethylene
fragments that

16 you tested in those plastic bags have any risk of
affecting the

17 results of your test?

18 A. Not at all.

19 Q. You were asked questions both about the first test
that you

20 conducted in September of 1995 and the second test that
you

21 testified about on direct. Do you recall those
questions?

22 A. Yes.

23 Q. If I can -- showing you a portion of what is the
third page

24 of Exhibit -- Defense Exhibit E79. That is the chart
that you

25 prepared after your first test in September of 1995; is
that

10936

Tony Tikuisis - Redirect

1 correct?

2 A. Yes.

3 Q. Based upon the results of those tests, what
conclusions did

4 you draw?

5 A. I concluded that the samples -- some of the samples

that I

6 analyzed in that table were identified as Smurfit
plastic.

7 Q. And specifically with respect to the samples
contained in

8 Q112 that you subsequently retested in September of
'97, what

9 was your conclusion about Q112 in September of 1995?

10 A. It was identified as Smurfit plastic.

11 Q. And with respect to Q116, what was the result of
your

12 initial test?

13 A. The initial test is September of '95?

14 Q. Yes.

15 A. That it was identified as Smurfit plastic.

16 Q. Was there any difference between the results of
your first

17 test and your second test in September of 1997?

18 A. Not statistically significant.

19 Q. Let me show you a portion of the third page, the
chart from

20 Defense Exhibit 80. That is a chart that you prepared
after

21 your second test in September of 1997?

22 A. Yes.

23 Q. And the entry here for Q112A -- that refers to
Government's

24 Exhibit 786A. Is that correct?

25 A. Yes.

10937

Tony Tikuisis – Redirect

that 1 Q. And Q116A refers to Government's Exhibit 785A; is

2 correct?

3 A. Yes.

that 4 Q. And Q121A refers to Government's Exhibit 190B; is

5 correct?

6 A. Yes.

differences 7 Q. Mr. Tigar asked you about certain variations or

8 in terms of the numbers with respect to each sample.

9 A. Yes.

variations, 10 Q. Do you recall those? With respect to those

11 does that change the conclusion that you reached about

12 identifying the samples that you tested?

13 A. No.

UV 14 Q. Specifically with respect to the variations in the

then 815 15 stabilizer content, we see -- for Q112A, we see 814;

any 16 for Q116A and Q120A -- excuse me -- Q121A. Is there

17 statistical significance between those variations?

18 A. Not in terms of what we were looking at, no.

19 Q. If the samples that were in Q112A and Q116A were
subjected
20 to extreme heat, how would that affect the parts per
million
21 with respect to the UV stabilizer content?
22 A. They could be changed, probably lowered.
23 Q. With respect to the calcium carbonate content, the
first
24 two referred to the fragments that you tested?
25 A. Yes.

10938

Tony Tikuisis – Redirect

1 Q. And that last column refers to the sample that you
drew
2 from the drum; right?
3 A. Yes.
4 Q. If the samples in Q112A and Q116A were subjected to
extreme
5 heat, how would that affect the concentration of the
calcium
6 carbonate?
7 A. In this case, they wouldn't change because they are
8 inorganic.
9 Q. When you test for the presence of calcium
carbonate, what
10 do you do to the carrier package; that is, the plastic
in which
11 the calcium carbonate is present?

12 A. It is removed by ashing the sample.

13 Q. By "ashing" it, do you mean --

14 A. You burn it in a controlled environment so you can
recover

15 all of the ash, and the ash is composed of calcium
carbonate.

16 Q. So when you burn it, what happens to the plastic?

17 A. The plastic is combusted basically to carbon
dioxide and

18 water.

19 Q. And what happens to the calcium carbonate?

20 A. It remains as an ash. It can -- some of it can be
21 converted to calcium oxide.

22 Q. So it remains as the plastic is burned to ash?

23 A. It remains as an ash residue.

24 Q. Mr. Tigar asked you certain questions about whether
you

25 provide this resin to other manufacturers of high-
density

10939

Tony Tikuisis - Redirect

1 polyethylene products. Do you recall that?

2 A. Yes.

3 Q. And I believe you testified that Smurfit plastic is
unique.

4 A. Yes.

5 Q. What did you mean by that?

6 A. They take a specific combination of additives to
make their

7 natural drums.

8 Q. That is -- what do they do with the additives?

9 A. The additives: They specify the UV stabilizer
package that

10 they combine with our material, and that recipe is
specific to

11 them and nobody else uses.

12 Q. And are you aware of any manufacturer of any type
of a

13 high-density polyethylene product that uses your
Novacor resin

14 that you supply to Smurfit and the additive package
that

15 Smurfit uses to make natural high-density polyethylene
drums?

16 A. No, I'm not.

17 Q. What does that tell you, then, about the conclusion
you

18 drew about the sample that you tested in September --
the two

19 samples that you tested in September of 1997? Could it
have

20 come from any other source but a Smurfit high-density
21 polyethylene natural drum?

22 A. No.

23 Q. Finally, Mr. Tigar showed you a catalogue, a
Smurfit

24 catalogue, Defense Exhibit E99. And I'll just show you

the

25 cover that Mr. Tigar showed you, and we see drums on
there of

10940

Tony Tikuisis - Redirect

1 various colors; correct?

2 A. Yes.

3 Q. Could any of those Smurfit drums have produced the
results

4 of the test samples that you tested?

5 A. No.

6 Q. Why not?

7 A. Because all these drums in the picture contain a
colorant

8 or a pigment.

9 Q. So the only type of Smurfit drum that has the same
chemical

10 composition is Smurfit's natural drums?

11 A. Yes.

12 Q. So none of these drums pictured here could have
been --

13 produced those samples?

14 A. No.

15 MR. MEARNS: No further questions.

16 THE COURT: Mr. Tigar?

17 RE-CROSS-EXAMINATION

18 BY MR. TIGAR:

19 Q. You were just shown this picture, sir.

20 A. Yes.

21 Q. See the top of this drum here?

22 A. Yes.

23 Q. Is that natural?

24 A. I can't tell from the reflectant -- reflection of
the light

25 on the picture.

10941

Tony Tikuisis - Recross

1 Q. You see the top of that drum?

2 A. Yes.

3 Q. Is that natural?

4 A. It appears to be white to me.

5 Q. See this top of this drum?

6 A. Yes.

7 Q. Is it natural?

8 A. I can't tell.

9 Q. If these three lids that I've just pointed to are
-- well,

10 let's look at 02, here. This is white. Correct?

11 A. Yes.

12 Q. From the picture. Looking at that, does that help
you to

13 tell whether these are white, or natural?
14 A. Not really.
15 Q. If they are natural, then -- And Mr. Udell from
Smurfit
16 would know; correct?
17 A. Yes.
18 Q. If these are natural, then the tops of these drums
would be
19 consistent with what you found in your laboratory;
correct?
20 A. Possibly. I don't know the formulation for when
they make
21 the lids. That's a separate process.
22 Q. When you say a process is unique to Smurfit, you
mean it's
23 unique to 55-gallon Smurfit drums?
24 A. To their natural containers, as far as I know.
25 Q. So all of their natural containers; correct?

10942

Tony Tikuisis - Recross

1 A. Not all. There is a specific volume range.
2 Q. 55-gallon is one; correct?
3 A. Yes.
4 Q. 30-gallon is another?
5 A. I think so. I'm not sure.
6 Q. Is there a smaller one than that?

7 A. I'm not sure.

8 Q. Do you know if these Smurfit items are made with
that same
9 recipe?

10 A. No, I don't.

11 Q. Do you know if these items that I'm pointing to
here from
12 the Smurfit catalogue, the non-blue ones, are made from
that
13 recipe?

14 A. No, I don't.

15 Q. And specifically I'm pointing to the Delex and
Delex
16 models. Do you see that?

17 A. Yes.

18 Q. Do you know if these Delex and Delcon containers
that are
19 not blue and black are made from that recipe?

20 A. No, I don't.

21 MR. TIGAR: No further questions, your Honor.

22 MR. MEARNS: I have no questions, your Honor.
He may
23 be excused.

24 THE COURT: Agree to excuse the witness?

25 MR. TIGAR: Yes, your Honor.

excused. 1 THE COURT: You may step down. You're
2 Next please.
3 MR. MACKEY: Call Mr. Theodore Udell.
4 THE COURT: All right. Mr. Udell.
right 5 THE COURTROOM DEPUTY: Would you raise your
6 hand, please.
7 (Theodore Udell affirmed.)
8 THE COURTROOM DEPUTY: Would you have a seat,
please. 9
and 10 Would you state your full name for the record
11 spell your last name.
12 THE WITNESS: Theodore H. Udell, U-D-E-L-L.
13 THE COURTROOM DEPUTY: Thank you.
14 THE COURT: Proceed.
15 DIRECT EXAMINATION
16 BY MR. MEARNS:
17 Q. Mr. Udell, where do you live, sir?
18 A. I live at Westchester, Pennsylvania.
19 Q. Is that near Philadelphia?
20 A. Yes, it is.
21 Q. Where did you go to college?
22 A. Fairleigh Dickinson University.
23 Q. When did you graduate from Fairleigh Dickinson?
A. 1968.

24 Q. What kind of degree do you have?
25 A. I have a bachelor's of science in mechanical
engineering.

10944

Theodore Udell – Direct

1 Q. You also said a moment ago that you have a master's
degree.

2 Do you?

3 A. I do.

4 Q. Where did you get your master's degree?

5 A. From Rensselaer Polytechnic Institute.

6 Q. What was your master's degree in?

7 A. Management.

8 Q. When did you get your master's degree?

9 A. 1973.

10 Q. Where do you work now?

11 A. I work for Russell Stanley Corporation.

12 Q. How long have you worked for Russell Stanley?

13 A. Two weeks.

14 Q. How was it that you came to work for Russell
Stanley within

15 the last two weeks?

16 A. Russell Stanley Corporation purchased Smurfit
Plastics

17 Packaging on November 10.

18 Q. Where you work prior to November 10?
19 A. Smurfit Plastics Packaging.
20 Q. Prior to November 10, how long had you been working
for
21 Smurfit?
22 A. 24 years.
23 Q. And what was your position at the time Russell
Stanley
24 acquired Smurfit? What was your position at Smurfit?
25 A. I was manager of engineering and product
development.

10945

Theodore Udell – Direct

1 Q. Any particular division or product area?
2 A. Plastics division.
3 Q. How long had you had that position?
4 A. For approximately 10 years.
5 Q. What kind of product does the plastics division at
Smurfit
6 produce?
7 A. We produce plastic drums from 3 1/2 gallons to 67
gallons
8 in capacity.
9 Q. What were your duties and responsibilities at
Smurfit?
10 A. I was in charge of purchasing the resin,
negotiating the

11 price for the resin, the material that the drum is made
out of
12 for the color concentrates and the UV stabilizers that
are
13 added to the drum to give it color or protection from
the sun,
14 the price of the color concentrates, all new-product
15 development, product modifications. I took care of the
16 environmental aspects of it, the accessories that went
into the
17 drums, the closures, the handles, the pins that were
part of
18 the drums, and some legal aspects on patents.

19 Q. How long have you been doing those kinds of -- how
long had
20 you had those responsibilities?

21 A. Those responsibilities I had for about five years.

22 Q. Now, I want to show you what's been marked as
Government's
23 Exhibit 2040 and Government's Exhibit 190. Did you
have an
24 opportunity to inspect those exhibits before you came
to court
25 today?

10946

Theodore Udell - Direct

1 A. Yes, I did.

2 Q. Did you have a chance to look at them closely?

3 A. Yes, I did.

4 Q. Can you tell us what those two items are,
Government's

5 Exhibit 2040 and Exhibit 190?

6 A. Those are two Delcon 55D drums with tops missing.

7 Q. When you say "Delcon," what do you mean?

8 A. It's a -- Delcon is our -- is our plastic
container's name.

9 We call everything Del something or other because we
used to be

10 Delaware Drum and Barrel, so that's the Delcon line of
plastic

11 containers.

12 Q. Those are essentially, then, barrels manufactured
by

13 Smurfit?

14 A. They are manufactured by Smurfit Plastics
Packaging.

15 Q. And Delcon is a line of drums that you produce?

16 A. That is correct.

17 Q. How do you know that those are 55-gallon barrels
produced

18 by Smurfit?

19 A. First of all, I designed, developed, produced, and
patented

20 those containers; and if I wasn't sure at that point,
there are

21 also plates on the drum that signifies -- they have a
Smurfit

22 logo, the Smurfit name. They have an M number which is

a 23 registered by the Department of Transportation. It has
Smurfit 24 third-party certification number that goes along with
those 25 Plastics Packaging, and it has the plant that produced

10947

Theodore Udell - Direct

1 containers.
2 Q. You said the design is patented. Does that mean
the design 3 of that barrel is unique?
4 A. Very unique. It's patented in most of the world
and the 5 United States.
6 Q. Who has that patent?
7 A. Well, Smurfit Plastics Packaging holds the patent.
I am 8 the author of the patent.
9 Q. Now, on -- both of these barrels have no lids on
them. Is 10 that how they were when they were manufactured by
Smurfit? 11 A. No. When the containers were manufactured, they
were 12 considered Tight-Head. That means they had a lid on
the top 13 with two closures that we call "bungs" usually; and in
the

-- have 14 bungs are plugs that go to seal it off. So those are
15 been modified.

16 Q. Now, was the lid that was manufactured with that
barrel one 17 that could be removed, just taken off?

18 A. No. It cannot. It was -- it's called a Tight-Head
as

19 compared to a full Open-Head drum. Those drums --
those lids

20 are not removed. An Open-Head drum is where the hole
lid would

21 be removed to give you access to the inside of the
drum.

22 Q. So with a Tight-Head drum like this, it's actually
one

23 large piece of plastic all molded together?

24 A. That's correct, with 2-inch openings.

25 Q. Could you describe for us just in a simple fashion
how

10948

Theodore Udell - Direct

1 those barrels were manufactured? What's the process?

2 A. The process is called extrusion blow-molding.
Basically,

3 we take resin that's from a railcar. We pump it into a
mixer;

4 and in that mixer, we add -- if it's -- drum has color,
it will

5 be color. In this case, it has a -- basically like a
sunscreen
6 to protect the drum from the rays -- protect the drums
from the
7 rays of the sun. And then that material is put into an
8 extruder that melts it, and it's blow-molded very much
like
9 glass-blown molding into a mold, and then it's cooled;
and the
10 results are a 55-gallon, one-piece drum.

11 Q. Where does Smurfit get its plastic resin that it
uses to
12 manufacture those barrels?

13 A. Those drums were made with Novacor resin -- Nova
Chemical
14 resin.

15 Q. And when did Smurfit first begin to purchase the
specific
16 type of resin that's used to manufacture these barrels?

17 A. We first purchased resin for those drums at the end
-- the
18 end of December, 1991.

19 Q. And when did Smurfit first produce a 55-gallon, or
any kind
20 of a natural drum with that specific type of Nova
Chemicals
21 resin?

22 A. The first records of production would be in January
of
23 1992.

24 Q. You indicated a moment ago that the resin is mixed

with

25 some kind of an ultraviolet --

10949

Theodore Udell - Direct

1 A. Protection.

2 Q. -- protection?

3 A. Yes.

that it

4 Q. Where does Smurfit get the ultraviolet protection

5 mixes with the resin to manufacture those barrels?

it's a

6 A. That formulation comes from Allied Chemical, and

7 proprietary formulation that we specify.

the

8 Q. Is the combination of the Smurfit -- excuse me --

additive

9 combination of the Nova Chemicals resin and the Allied

10 package used only to manufacture 55-gallon drums?

11 A. No. We use that same formulation for 15-, 30- and

12 55-gallon drums.

additive

13 Q. Is -- is it used -- is that resin used and that

besides

14 package used to manufacture drums of any other color

15 the natural color that we see here?

16 A. No.

17 Q. What other color drums does Smurfit produce?

18 A. We have black, blue, white. I think we have a
purple. But
19 most of the drums are black, blue, white, and natural.
20 Q. Is the combination of Nova Chemicals resin and the
additive
21 package from Allied -- is that unique to Smurfit?
22 A. Yes, it is.
23 Q. To your knowledge, does any other manufacturer of
24 high-density polyethylene products use that same
combination of
25 Nova Chemicals resin and Allied Chemicals additive
package?

10950

Theodore Udell - Direct

1 A. I'm sure that they do not.
2 Q. Who does Smurfit sell 55-gallon drums like this to?
3 A. Well, that drum there was sold to a company called
Ecolabs;
4 Ecolabs, Diversey, who is a company very similar to the
5 products that Ecolabs sell; Du Pont; Hercules, ICI,
Roman Haus,
6 Ashland Chemical, a number of -- a very large number of
7 chemical houses. And they also sell it to the food
product
8 line, janitorial chemical suppliers.
9 Q. Where does Smurfit ship these barrels after they're
10 manufactured?

11 A. Usually to the people who fill them with product.

12 Q. And after your barrels are filled with your
customers'

13 products, where are Smurfit barrels shipped then?

14 A. To their ultimate customers.

15 Q. Where are those customers?

16 A. They're throughout the world.

17 Q. You told us a moment ago that you first
manufactured this

18 type of Smurfit barrel with that Nova Chemicals resin
in

19 January of '92. Is that correct?

20 A. Yes.

21 Q. Between January of 1992 and April of 1995, how many
22 55-gallon Tight-Head natural drums did Smurfit
manufacture?

23 A. 700,000.

24 MR. MEARNS: I have no further questions, your
Honor.

25 THE COURT: Mr. Tigar?

10951

Theodore Udell - Cross

1 CROSS-EXAMINATION

2 BY MR. TIGAR:

3 Q. Good morning, Mr. Udell.

4 A. Good morning.

5 Q. My name is Michael Tigar. I'm one of the lawyers
appointed
6 to help out Terry Nichols in this case.
7 Smurfit -- can we still call it Smurfit even
though
8 it's been acquired?
9 A. Yes, you can.
10 Q. Smurfit is an international company, is it not,
sir?
11 A. Yes, they are.
12 Q. And how many manufacturing plants does Smurfit have
in the
13 United States for plastic products?
14 A. Five.
15 Q. Now, when I say "plastic products," are you also
related to
16 a company called Jefferson Smurfit?
17 A. Yes, I am. Yes, we are.
18 Q. And Jefferson Smurfit manufactures container board
and
19 corrugated board, and so forth and so on?
20 A. They are a paper company, yes.
21 Q. And so the kind of -- when I ask you about Smurfit,
let's
22 just agree that we're talking about the Smurfit that
makes the
23 plastic; correct?
24 A. Yes.
25 Q. Where does the name "Smurfit" come from?

10952

Theodore Udell - Cross

1 A. The owner of the company, Michael Smurfit.

2 Q. All right. Now, do you have plants also in the
European
3 community?

4 A. There are paper plants there, yes, but not
plastics.

5 Q. You do not have plastic plants in the European
community?

6 A. No.

7 Q. And how much -- one of the -- or some of the
products you
8 make are high-density polyethylene products; correct?

9 A. Excuse me?

10 Q. Some of the products that Smurfit makes at its
plants in
11 the United States are high-density polyethylene
products;
12 correct?

13 A. We make drums from high-density polyethylene.

14 Q. Do you make any other kinds of containers than
drums?

15 A. No.

16 Q. Do you make any products for consumer things that
you might

17 see on the shelves in your grocery store or
supermarket?

18 A. No.

19 Q. So Smurfit's business is uniquely plastic drums and
20 containers of -- for industrial uses; is that correct?

21 A. Industrial plastic containers, yes.

22 Q. And how many pounds of high-density polyethylene do
you use
23 each year in your five manufacturing plants in the
United
24 States?

25 A. About 50 million pounds.

10953

Theodore Udell - Cross

1 Q. How much does one of these 55-gallon drums weigh?

2 A. 23 pounds.

3 Q. Now, of the drums that you manufacture, do you know
what
4 percentage are natural?

5 A. Of 55-gallons?

6 Q. No, of any kind.

7 A. Give me a second.

8 About 25 percent.

9 Q. 25 percent are natural?

10 A. Yes.

11 Q. And in the course of a calendar year, how many
different

12 natural-color containers do you manufacture, looking to
13 calendar year 1992?

14 A. I can't give you exactly that count for 1992.

15 Q. For any given year.

16 A. The -- from the time period of January 1, 1992, to
17 April 30, 1995, the total number of natural drums using
the
18 Novacor resin and the Allied color concentrate was 2.5
million.

19 Q. 2.5 million from what period to what period?

20 A. From January 1, 1992, to April 30, 1995.

21 Q. Now, you testified that in your natural color drums
you use
22 a proprietary formulation provided to you by Allied
Chemical;
23 correct?

24 A. That's correct.

25 Q. That proprietary formulation contains a product

10954

Theodore Udell - Cross

1 manufactured by Ciba-Geigy under a patent; correct?

2 A. That's correct.

3 Q. And it also contains some calcium carbonate or
chalk;
4 correct?

5 A. That's correct.

6 Q. Now, you testified that you are sure that no other
7 manufacturer uses T622 and calcium carbonate. Right?

8 A. In natural drums, that's correct.

9 Q. In natural drums.

10 A. In natural drums.

11 Q. Now, do you know that from trade associations?

12 A. No.

13 Q. How do you know it, sir?

14 A. I called up every manufacturer of plastic drums,
all

15 manufacturers of -- anybody who uses Novacor resin
provided by

16 Novacor, all people who purchase resins of the same
type from

17 Mobil and Union Carbide.

18 Q. So you called everybody who uses resins not only
from

19 Novacor but from Union Carbide and Mobil; correct?

20 A. That's correct, of the same type of material that's
used in

21 that drum.

22 Q. Because what Novacor makes is not unique to
Novacor; right?

23 A. That's correct.

24 Q. These people who you were calling: They are your

25 competitors; right?

Theodore Udell – Cross

1 A. In some cases, they were.

2 Q. What's your market share?

3 A. In which market?

4 Q. In the drum market. In the market we're talking
about

5 here, these plastic barrels.

6 A. About 25 to 30 percent.

7 Q. And the people that you called: They would
represent the

8 other 70 to 75 percent of the market?

9 A. That is correct.

10 Q. Now, in this -- so you have a lot of competition;
right?

11 A. Yes, I do.

12 Q. Now, in this highly competitive industry, do you
all just

13 share your proprietary formulas with phone calls like
that on a

14 regular basis?

15 A. No.

16 Q. And do you sometimes find that when you call up
your

17 competitors to find out how they make their stuff that
they

18 don't have much interest in leveling with you?

19 A. I think everybody was very honest with me.

20 Q. Well -- and you were talking to them on the phone;
is that

21 right?

22 A. That is correct.

23 Q. Did you have the opportunity to observe their
demeanor?

24 A. No.

25 Q. Did you have the -- did you ask them for production
runs on

10956

Theodore Udell - Cross

1 their plant that showed you the chemical processes that
they

2 used for their own proprietary mixtures?

3 A. No.

4 Q. And did you feel like you cross-examined them
effectively?

5 A. Yes.

6 Q. And you were satisfied that what you were hearing
was the

7 truth; right?

8 A. Yes.

9 Q. Does your company have a policy about calling up
your

10 competitors and asking them for all the details of
their

11 proprietary processes?

12 A. What I was asking them for was data that was old at
that

13 point in time.

14 Q. You weren't asking them for how they make them now?

15 A. That's correct.

16 Q. So you didn't even find out whether they're all
using

17 Tinuvin 622 and calcium carbonate at the present time;
correct?

18 A. Excuse me?

19 Q. Well, what period of time were you asking them
about, sir?

20 A. I asked them prior to April 30, 1995, going back as
far as

21 their records would indicate.

22 Q. And did you ask them to send you records to verify
that

23 what they were telling you was true?

24 A. No.

25 Q. Now, why did you conduct this investigation?

10957

Theodore Udell - Cross

1 A. Because I wanted to be certain that no one else was
using

2 the same formulation that Smurfit was using.

3 Q. Did you conduct this investigation for your own
competitive

4 purposes, or did somebody ask you to do it?

5 A. Somebody asked me to do it.

6 Q. Who asked you to do it?

7 A. The FBI.

8 Q. So -- Now, is this a policy at your firm, when the
FBI asks

9 you to go call up your competitors about their
proprietary

10 processes, that you do those investigations?

11 A. Is it a policy?

12 Q. Yes. Do you have a policy about that?

13 A. No, we don't have a policy.

14 Q. Now, you were asked on direct examination -- and
you said

15 that this here drum that we're looking at here -- these
drums

16 are patented?

17 A. That's correct.

18 Q. Now, does that patent refer to what they're made
of?

19 A. No.

20 Q. No. The patent doesn't have anything to do with
what

21 they're made of, does it?

22 A. That's correct.

23 Q. The patent has to do with the Tight-Head-type
construction;

24 correct?

25 A. Basically, yes.

Theodore Udell - Cross

1 Q. And so -- and the reason that you patented it is
that

2 these -- the Tight-Head thing has to do -- helps you to
3 penetrate certain markets that would otherwise be held
by

4 manufacturers of drums made of different things;
correct?

5 A. Correct.

6 Q. In other words, you all were trying to penetrate
the market

7 that was formerly held by steel drum manufacturers;
correct?

8 A. And fiber drum.

9 Q. And fiber drums. And one of the things you were
trying to

10 convince people is that you should be able to put
lubricating

11 things in here; correct?

12 A. Among other things.

13 Q. And Conoco was one of your customers?

14 A. That's correct.

15 Q. In order to get Conoco's business, you've got to
16 manufacture a drum that meets certain specifications in
terms

17 of its strength and construction; correct?

18 A. Yes.

19 Q. And one of the things you looked for in
manufacturing drums

20 for Conoco under this patent that you told us about is
21 reusability; correct?

22 A. That's correct.

23 Q. And how many times have you been telling Conoco
that you
24 can reuse these things?

25 A. We haven't told Conoco that. They've decided that
on their

10959

Theodore Udell - Cross

1 own.

2 Q. They what?

3 A. They decided that on their own.

4 Q. And what have they decided, sir?

5 A. That it can be reused.

6 Q. How many times does Conoco decide that your drum
can be
7 reused?

8 A. I do not know how many times.

9 Q. Does the word "15 to 30" refresh your recollection?

10 A. I was never told that.

11 Q. Now, when you say that this -- these drums that you
make --
12 are you familiar with the reports that your company
files with
13 the Securities and Exchange Commission?

14 A. No.

15 Q. Do you review the annual report to the shareholders
on how

16 you all are doing?

17 A. I mean I've seen it, yes. I don't review it.

18 Q. Do you remember anything in there about the
reusability of

19 the drums that you make?

20 A. There is no legal limit to the life of a plastic
drum. It

21 can be reused.

22 Q. Okay. Well, there is no -- that is to say that the
plastic

23 police don't come and say you've used that drum enough
times,

24 we're taking it back; right?

25 A. That's correct.

10960

Theodore Udell - Cross

1 Q. Okay. And one of the things that you like about
this

2 plastic is that it lasts a very long time; correct?

3 A. Yes.

4 Q. And in fact, Ecolab here --

5 A. Ecolab. It's on the bottom of the label.

6 Q. On the bottom? On the bottom of the label. Down
here on

7 the label of Government's Exhibit 190?

8 A. Yes.

9 Q. Now, are you the only supplier to Ecolab?

10 A. No.

11 Q. In fact, they also buy from Van Leer, don't they?

12 A. Yes, they do.

13 Q. And they buy from Van Leer a natural drum that is
also in
14 the 55-gallon type; correct?

15 A. Yes.

16 Q. Is it the same-type head design that you've
described here?

17 A. It's a Tight-Head design. It's a different
construction.

18 Q. So it's not covered by that same patent; correct?

19 A. That's correct.

20 Q. Now, Ecolab puts into their drums that they buy
21 agricultural products; correct? Do they put
agricultural
22 products that are used in agriculture?

23 A. Ecolabs, as far as I know, supplies the dairy
industry and

24 the commercial restaurants area. I'm not sure what
other

25 products they make.

Theodore Udell – Cross

there: 1 Q. The dairy industry -- for instance, that barrel
the 2 That was used to contain something that's used to -- in
3 dairy industry; correct?
4 A. Yes.
5 Q. Now, do they also manufacture, or does Ecolab, your
6 customer, also put cleaning products in drums that are
7 manufactured from this natural plastic?
8 A. Yes.
knowledge: To 9 Q. And that -- those cleaning products to your
10 whom are those distributed?
are used 11 A. Commercial restaurants. A lot of those products
12 for sanitizers or cleaners.
are they 13 Q. Are they used by other than restaurants? I mean,
where 14 usable for sanitizing other spaces, other than spaces
15 food is served?
products. 16 A. I'm not familiar with all the uses of their
is in 17 Q. Well -- but -- Now, once you've taken out whatever
18 the barrel, it can be washed out and reused; correct?
containers, 19 A. Ecolabs has a return policy for reusing their
20 yes.

policy
at
your

21 Q. And are you aware that in addition to the return
22 that manufacturers use that these barrels are available
23 landfills and surplus stores?
24 A. I hope not.
25 Q. Whether you hope not or not, sir, do you know of

10962

Theodore Udell - Cross

1 knowledge whether or not those sorts of things exist?
2 A. I do not know that.
3 Q. Now, I want to show you some of what's been
received in
4 evidence here as Defense Exhibit E99 and put it up on
the
5 board. Here's the machine.
6 That's Smurfit Plastic Packaging. That's your
7 company?
8 A. That's correct, yes.
9 Q. And this is a portion of your product literature?
10 A. This is the Open-Head family product line.
11 Q. Now, the Open-Head family -- that's what we're
looking at
12 here.
13 That's the Tight-Head family?

14 A. Yes.

15 Q. Now, looking at the Open-Head family here, do you
see any

16 plastic in this picture that is manufactured from
Novacor resin

17 and contains Tinuvin 622 and calcium carbonate?

18 A. Not in that picture.

19 Q. How about the lids of these barrels?

20 A. They're injection-molded, and they are not made out
of

21 Novacor 555, nor do they contain any calcium carbonate.

22 Q. All right. So even though they're natural color,
they do

23 not contain it; correct?

24 A. That's correct.

25 Q. All right. Now I'm going to show you the Tight-
Head

10963

Theodore Udell - Cross

1 family. Correct?

2 A. That's the 5-gallon Tight-Head family. The 3 1/2-
to

3 8-gallon Tight-Head family.

4 Q. Now, what's this one down here?

5 A. That's called the Delcon Plus.

6 Q. And how many gallons does that contain?

7 A. 5 gallons.

of this 8 Q. 5 gallons. Now, in your 5 gallons, do you use any
9 Novacor with the Tinuvin 622 and the calcium carbonate?
10 A. No.
family 11 Q. No. All right. Now, let's look at the Tight-Head
calcium 12 15- to 64-gallon. Do you use Tinuvin -- the Tinuvin
family? 13 carbonate mixture for this part of the Tight-Head
14 A. There are no natural drums in that picture.
instance -- 15 Q. I understand. But in that -- can I buy, for
16 see this blue drum here?
17 A. Yes.
-- made 18 Q. Can I buy that from you in a natural color with the
19 with this kind of resin?
Novacor 20 A. If you buy a natural drum, you will find it with
21 resin and the additive package.
blow- 22 Q. Now, is only the drum injection, or the drums are
23 molded; is that correct --
24 A. That's correct.
this 25 Q. -- that you were talking about here? And you use

Theodore Udell - Cross

1 particular additive package in the blow-molded ones?

2 A. In the natural blow-molded containers.

3 Q. Natural blow-molded containers. Are your bungs
4 blow-molded, or injection-molded?

5 A. Injection-molded.

6 Q. So the bungs would not have the same resin content?

7 A. That is correct.

8 Q. So I can order this one here, this blue one, in
natural and

9 then I'd get that package; correct?

10 A. Yes.

11 Q. All right. And is that the one you made 700,000
of?

12 A. Yes.

13 Q. Now, here's a smaller one down here with a carry
handle.

14 Is that injection-molded, or blow-molded?

15 A. That's blow-molded.

16 Q. Now, if I ordered that one in white -- or excuse me
-- in

17 natural, would I get this same package?

18 A. Yes.

19 Q. All right. And how many gallons is that?

20 A. 15.

21 Q. 15. And who do you sell those to?

22 A. Ecolabs buys a lot of those containers as well.

23 Q. And do you have any other large companies that do?
24 A. Well, we -- Diversey, Ecolabs, Du Pont, Hercules,
ICI,
25 Roman Haus; and we sell to a lot of chemical
distributors and

10965

Theodore Udell - Cross

1 chemical houses.
2 Q. Now, do you all make pipe?
3 A. No.
4 Q. And these -- these drums here: How many of those
have you
5 made? When did you start making those, this particular
one
6 that I'm pointing to?
7 A. We have made those for 20 years.
8 Q. And when did you start making them with this
particular
9 combination of Tinuvin 622 and calcium carbonate, the
Allied
10 Signal -- excuse me -- Allied Chemical package?
11 A. That all started January 1, 1992.
12 Q. And from January, 1992, to April, 1995, how many of
them
13 did you make?
14 A. In what color?
15 Q. In natural.

16 A. 1.5 million.
17 Q. All right. So we've got 700,000 of these and 1.5
million
18 of these.
19 Now, let's look down here at the bottom. You
see this
20 black one?
21 A. Yes.
22 Q. Can I order it -- is that injection-molded, or
blow-molded?
23 A. That's blow-molded.
24 Q. Can I order that in natural?
25 A. Yes, you can.

10966

Theodore Udell - Cross

1 Q. Or could I?
2 And you started making those -- how many of
those
3 between January, '92, and April, '95, did you make with
the --
4 this Allied -- can we call it the Allied Chemical
package?
5 A. That's fine.
6 Q. So shorthand, we'll call it the Allied Chemical
package.
7 How many of those did you make with the Allied Chemical
8 package?

9 A. Zero.

10 Q. Zero. Now we'll go to the next one, No. 4. See
this light

11 blue one there, the baby blue?

12 A. 30-gallon, yes.

13 Q. 30-gallon. Can I order that in natural?

14 A. Yes, you can.

15 Q. How many of those did you make?

16 A. Between January 1, 1992 --

17 Q. Yes, always the same time frame. It will get you
home

18 quicker. Okay?

19 A. That was 300,000.

20 Q. 300,000. So we got 700,000, 1.5 million and
300,000.

21 Correct?

22 And could we just -- we've got one, two,
three, four

23 more here. Using the same parameters, could we --
could you

24 just give me some numbers?

25 A. The numbers I gave you include all those.

10967

Theodore Udell - Cross

1 Q. Include all of those?

2 A. Yeah. I -- I grouped them together for you.

They're

3 basically 55 gallons of just different colors.

4 Q. These ones back here are 55-gallons?

with

5 A. Well, there is a 64 in the back, but we never made

6 that additive, that package.

barrels

7 Q. Okay. So you made using this package -- you made

8 in three different sizes. Correct?

9 A. Basically, yes.

10 Q. And during that period of time, there is a total of

11 2 million; correct?

12 A. Yes.

other

13 Q. Now, did you use that package for any other product

that I

14 than the ones depicted on this page, which is the page

E99?

15 have, just for our reference, marked 005 of Exhibit

16 A. Excuse me. What was the question?

correct,

17 Q. Okay. We've just been looking at these barrels;

18 sir?

19 A. Yes.

You see

20 Q. For the record, I'm going to show that's page 005.

21 that at the bottom?

22 A. Yes.

23 Q. Other than the barrels that are depicted here, did

you make

24 any more with that package?

25 A. No.

10968

Theodore Udell - Cross

1 Q. Other than that? Right?

2 A. That's correct.

3 Q. So there are 2 million of those things out there;
correct?

4 A. Yes.

5 Q. Have you done tests to determine how many of the
6 2 million -- excuse me. Have you done tests to
determine how

7 many times a barrel can be reused?

8 A. No.

9 Q. Are you in the business of marketing the barrels to
people?

10 A. Am I -- the what?

11 Q. I mean is it a part of your job to try to convince
people

12 to buy your barrels?

13 A. Yes. I work with the sales department.

14 Q. And is one of the things that makes the barrels
attractive

15 to people that they can be reused?

16 A. Yes.

the 17 Q. Well, do you make representations to people about
18 number of times your barrels could be reused?
19 A. No.
20 Q. Do they ask?
21 A. The -- the Department of Transportation that
regulates the
22 barrels that we make have decided that there is no
legal limit
23 to the use of the containers. Really, the -- it's up
to the
24 packager, people who fill the container, whether the
container
25 is fit for reuse. We encourage reuse of the container.

10969

Theodore Udell - Cross

all 1 Q. Now, is the use of calcium carbonate as a tracer --
2 right -- is that something that you or that Allied
Chemical
3 came up with?
4 A. We did.
5 Q. And you did that beginning in 1991 and then began
using it
6 in 1992; is that right?
7 A. No, the tracer was -- my earliest record that I
could find
8 was '88, official records; but we used that formulation
before

9 that period of time.

mean? 10 Q. Okay. That calcium carbonate formulation, you

11 A. Yes.

12 Q. And how long has Tinuvin 622 been around?

13 A. A long time.

14 Q. Well, is it still under patent?

15 A. The patent has expired, but you can still buy 622.

16 Q. Oh. So -- now, the life of the patent is 17 years;
17 correct? Is that right?

18 A. Basically.

be used 19 Q. Okay. So when is the first time that T622 began to

20 in this industry?

21 A. I can't tell you.

22 Q. As long as you've been connected with the industry?

23 A. Yes.

24 Q. More than 17 years?

25 A. Yes.

10970

Theodore Udell - Cross

this 1 Q. And were you a part of the team that decided to use

2 calcium carbonate?

3 A. No.

standards, 4 Q. Now, in addition to Department of Transportation
things; 5 there are also U.N. numbers that are attached to
6 correct?

7 A. That is correct.

8 Q. And does that mean "United Nations"?

9 A. Yes.

10 Q. Well, what's the significance of the U.N. numbers?

11 A. The -- because drums are made in all different
countries,

12 the U.N. is trying to standardize the testing and the
13 performance requirements of plastic drums. The
Department of

14 Transportation, the DOT, has basically accepted the
U.N.

15 guidelines for physical testing and performance
standards of

16 plastic drums.

17 Q. And is the DOT principally interested in the
integrity of

18 the drums or their recyclability?

19 A. The integrity of the drums.

20 Q. Now, the U.N. standards are also addressed to
21 recyclability; correct?

22 A. To their performance standards.

23 Q. The number of times they can be reused: Is that
one of the

24 things the U.N. standards are concerned with?

25 A. Not directly.

10971

Theodore Udell - Cross

in the 1 Q. Do you manufacture drums that are suitable for use
2 European community?

3 A. Yes, we do.

to 4 Q. Are there standards relating to reuse that you have
5 observe in order to do that?

and 6 A. The European community has standards based on size
7 color as well as utilizing the U.N. performance
criteria.

8 Q. Now, the -- when did the FBI first come to you,
sir?

9 A. About two years ago.

10 Q. And did they bring you some plastic?

11 A. Yes, they did.

12 Q. And how many pieces of plastic did they bring you?

13 A. There were bags full. Bags and bags full.

pieces 14 Q. And did you -- how many -- can you tell me how many
15 they had?

plastic 16 A. Well, I had a conference table covered with the

17 pieces, so it -- I couldn't tell you. There were

different

18 sizes and shapes and sizes, so . . .

19 Q. And who was it in the FBI that brought you these
pieces?

20 A. Monica Knuckles.

21 Q. And when Ms. Knuckles brought them, did you all
spread them

22 out on this table and look at them?

23 A. Yes.

24 Q. What colors were the ones that she brought you?

25 A. They're blue, natural. I think there might have
been -- I

10972

Theodore Udell - Cross

1 remember the blue and natural particularly. There
might have

2 been other colors as well.

3 Q. Do you remember black plastic?

4 A. Not directly, but there could have been black.

5 Q. Did you examine the plastic first to determine what
kind of

6 plastic it was?

7 A. I examined the plastic to see if I could separate
it out --

8 the plastics into different categories that may or may
not have

9 been used to make a drum.

10 Q. And so you were looking for HDPE; correct?

11 A. No, I was looking more for texture, size, as well
as color.

12 Q. And how many pieces did you select -- did you
select a

13 certain number of pieces then?

14 A. We zeroed in on, I think, two -- two separate bags
that had

15 pieces in it.

16 Q. All right. And of the two separate bags that you
zeroed in

17 on, how many -- what percentage of the total of all the
plastic

18 pieces that were on your conference table did that
represent?

19 A. I couldn't count how many. It was a small
percentage of

20 the total.

21 Q. When you saw those two plastic bags, then what did
you do

22 with them when you picked them out?

23 A. Well, we did a melt index test on them to determine
if it

24 felt -- fell into the range of material that we used.

25 Q. And that is the only test that you were able to
perform, or

10973

Theodore Udell - Cross

1 did you do some other tests?

2 A. I think we did quick density tests.

tests? 3 Q. And what did you find when you did the density

4 A. Well, one piece that we looked at did not fall into

the 5 range of our products.

6 Q. And did the one piece you looked at: The reason it

didn't 7 fall into the range of your products is that you have a

8 procedure -- you have a procedure for density tests;

correct? 9 A. Yes, we do.

10 Q. And the procedure requires you to drop the piece of

plastic 11 that you're testing into some vessel that contains a

liquid. 12 Is that correct?

13 A. That's correct.

14 Q. And is that what you did on this occasion?

15 A. Yes, we did.

16 Q. Now, you drop it into a vessel that contains a

liquid and 17 you try to get it into a vessel where it floats up at

the 18 midpoints; is that right?

19 A. That's correct.

20 Q. And this particular piece, you dropped it into

several 21 vessels and it kept sinking. Right?

22 A. That's correct.

23 Q. And even with the last one, it sank all the way to
the

24 bottom. Right?

25 A. That's correct.

10974

Theodore Udell - Cross

1 Q. Do you remember if the piece that sank all the way
to the

2 bottom had a Q number?

3 A. It did, but I don't recall it. I don't recall the
number.

4 Q. And -- but it was one of the pieces in the bags
that you

5 had selected with Ms. Knuckles; correct?

6 A. Yes.

7 Q. Now, what did the -- if the thing had floated up in
the

8 middle of one of these containers, what was that
supposed to

9 tell you?

10 A. It would indicate the density of that piece of
plastic.

11 Q. And density is one of the characteristics of
polyethylene;

12 right?

13 A. Of everything.

14 Q. Well, of everything. But you've got low-density

you've 15 polyethylene and high-density polyethylene, and I mean

16 got initials on there; right?

17 A. That's correct.

you were 18 Q. So you're looking for HDPE. Right? Is that what

19 looking for?

20 A. Yes.

21 Q. And -- but the sample kept sinking; right?

22 A. On that particular sample, yes.

23 Q. Yes. Now, the others floated; right?

24 A. No. We only tested one piece.

it was 25 Q. But the one piece you did sank. Now, did that mean

10975

Theodore Udell - Cross

consistent 1 consistent with high-density polyethylene, or not

2 with it?

but did 3 A. That was consistent with high-density polyethylene

4 not have the melt index of the ones we were using.

5 Q. And so you gave that back to Ms. Knuckles?

6 A. I gave everything back to Ms. Knuckles.

sank? 7 Q. Did you perform any further tests on the piece that

and a 8 A. I believe we did two tests; that we did a density
9 melt index.

there, that 10 Q. Now, at what temperature does that thing over
11 barrel, Government's Exhibit 190, get to be liquid?

12 A. We process the material over -- about 400 degrees
13 Fahrenheit.

14 Q. And what is that Celsius?

15 We can figure it out; right?

16 A. Right. Yeah.

17 Q. And do you know at what temperature it vaporizes?

18 A. No.

19 Q. Do you have standards with respect to the
performance of

involves 20 these barrels if you involve them in a fire that
21 burning gasoline, for example?

22 A. I don't follow you.

right? 23 Q. You sell them to Conoco to put lubricants in;

24 A. That's correct.

to this 25 Q. Do you discuss with Conoco what's going to happen

10976

Theodore Udell - Cross

1 barrel if there is a fire?

2 A. No.

3 Q. All right. Is the flammability/meltability any
part of the

4 decision as to whether you're -- they're going to buy
this

5 product, or use some other product to store their
stuff?

6 A. I would think they would consider that.

7 Q. But have you ever talked to them about it?

8 A. No.

9 Q. You don't have any personal knowledge about that;
right,

10 sir?

11 A. No.

12 Q. Now, is this meeting with Ms. Knuckles where you
spread out

13 plastic pieces all over a whole conference table the
only

14 meeting you had with the FBI at which you looked at
pieces of

15 plastic?

16 A. That's the only one that we looked at pieces of
plastic to

17 try to determine which parts may have come from a
plastic drum.

18 Q. Now, when was it that you were asked by the FBI to
call up

19 your competitors and find out what proprietary formulas
they

20 were using?

21 A. That was before the first trial.
22 Q. Now, in addition to your Tight-Head drums, do you
also
23 manufacture some drums that can be -- excuse me. Are
these
24 Tight-Head drums the sort that can be handled by a
parrot's
25 beak?

10977

Theodore Udell - Cross

1 A. That's correct.
2 Q. And a parrot's beak is a certain kind of a loader;
correct?
3 A. It's a hook.
4 Q. A hook. And do all of your barrels that you're
discussing
5 here -- are they all handleable by a parrot's beak-type
hook?
6 A. 30 through 55's, yes.

7 MR. TIGAR: Thank you, your Honor. I have no
further
8 questions.

9 THE COURT: Any redirect?

10 MR. MEARNS: Very briefly, your Honor.

11 REDIRECT EXAMINATION

12 BY MR. MEARNS:

13 Q. Mr. Udell, with respect to the calls that you made
to

the 14 determine whether or not Smurfit plastic -- that is,
unique, 15 plastic that is used to make these natural drums -- was
testimony? 16 did you do that in order to be prepared for your

17 A. Yes, I did.

the 18 Q. And did -- when you made those calls, did you tell
19 people you were calling why you were calling them?

20 A. Yes, I did.

21 Q. What did you tell them?

with the 22 A. I told them may name. I told them I was working

them that 23 FBI on the Oklahoma City bombing case. I asked (sic)

certain 24 I was looking for some information whether they used a

using at 25 type of resin. I didn't want to know what they were

10978

Theodore Udell - Redirect

felt 1 the present time or if they had information that they

calcium 2 proprietary but if they could let me know if they used

the 3 carbonate or the similar type of material describing

4 physical characteristics of the material, not the name

brand of

5 the material.

was using

6 And basically, the key here was that no one

have

7 calcium carbonate, even with -- even though they might

were not

8 been using similar materials. But in every case, they

very

9 using calcium carbonate in natural drums. And I felt

10 strongly that they were telling me the truth.

the

11 Q. As you sit here today, do you have any doubt that

Smurfit?

12 recipe Smurfit uses to make natural drums is unique to

to

13 A. I'm absolutely certain that that package is unique

14 Smurfit Plastics Packaging.

specifically

15 Q. Mr. Tigar asked you certain questions about the

16 reusability, the recycling of the drum. And you

Ecolab;

17 told him that you were aware of a recycling program at

18 is that correct?

19 A. That is correct.

similar

20 Q. Are you aware that other customers of yours have

21 reusability programs, recycling programs?

Conoco.

22 A. A number of companies have programs, including

23 The -- the unit itself has, like I said earlier, no

limited

of a 24 life. It can be reused. We actually encourage reuse
25 container.

10979

Theodore Udell - Redirect

1 My new company, Russell Stanley -- part of
that -- the
2 Russell Stanley family is a company called Container
Management
3 Services that -- they actually lease the container.
You get to
4 use the container. It has a logo actually embossed on
the
5 drum, "Property of CMS." That has an 800 number. You
call and
6 they will pick up -- have that drum delivered back to
them so
7 they can clean it and then reuse the drum. The market
that we
8 are looking at in plastic drums is the replacement of
all fiber
9 and steel, and that market presently is approximately
10 100 million containers a year.

11 So during the period of time that we're
talking about,
12 there are more than 250 million steel and fiber --
steel and
13 fiber containers made. And look at the same number of
drums

14 produced natural: There were only 1 million. That
meant only
15 1 in 250 containers have the additive package in it.
16 Q. Does the existence of those recycling programs and
the
17 market-share information you just spoke about -- does
that make
18 it more, or less likely that if you went to a landfill,
as
19 Mr. Tigar indicated, that you would find a Smurfit 55-
gallon
20 natural drum?
21 A. Most plastic companies have a stewardship program
on
22 preserving the environment --

23 MR. TIGAR: Excuse me, your Honor.
Nonresponsive.

24 THE COURT: Sustained.

25 BY MR. MEARNS:

10980

Theodore Udell - Redirect

1 Q. Mr. Udell, with respect to the existence of those
recycling
2 programs and the characteristics of the drum and the
3 market-share information that you testified about a
moment ago,
4 does it make it more likely, or less likely that if you
went to

5 a landfill, as Mr. Tigar said, you would find a Smurfit
6 55-gallon natural drum?

7 A. Less likely.

8 Q. Finally, with respect to Defense Exhibit E99, Mr.
Tigar

9 showed you certain pages from it; and he asked you
specifically

10 some questions about page 5 from that.

11 A. Yes.

12 Q. Just so that the record is clear, are there any
natural

13 drums of any volume depicted in that brochure?

14 A. No.

15 MR. MEARNS: Thank you.

16 THE COURT: Mr. Tigar?

17 MR. TIGAR: Very briefly, your Honor.

18 RECROSS-EXAMINATION

19 BY MR. TIGAR:

20 Q. Are you aware of businesses that are set up
expressly to

21 resell empty containers that you make -- manufactured
by you

22 and other manufacturers after they have been emptied of
the

23 original product? Do you know of any businesses like
that?

24 A. Yes.

25 Q. Do you know of any businesses like that in Kansas?

10981

Theodore Udell - Recross

1 A. No. I couldn't -- there are reconditioners
throughout the

2 country that recondition plastic. I can't give you
their exact

3 locations.

4 Q. Now, these reconditioners: What do they do?

5 A. They clean the drum and resell it.

6 Q. You mean like this drum here, Government 190?

7 A. The Ecolab drums -- Ecolabs has their own
reconditioning

8 program.

9 Q. I understand. Do you know whether or not Ecolab
drums are

10 acquired by reconditioners and put into commerce? Yes,
or no?

11 A. No.

12 Q. And the question whether it's going to be
reconditioned by

13 sending back to Ecolab or given to one of these other

14 reconditioners is going to be the decision of the
consumer of

15 that Klenzade product; correct?

16 A. I'm not sure.

17 Q. Well, if I -- if a dairy barn buys that stuff
there,

18 STER-BAC, and they use up everything that's in the
barrel, the

back to 19 owner of the dairy barn can decide whether to send it
right? 20 Ecolab or to give it to one of these reconditioners;
They 21 A. There might be a return associated with that drum.
22 may have a deposit on the drum that might --
you're going 23 Q. Whatever they have, sir, the decision whether
Right? 24 to do it belongs to the person that bought the product.
25 A. Yes.

10982

Theodore Udell – Recross

what 1 Q. The plastic police aren't going to come and enforce
2 you do with it; right?
3 A. That's correct.
the 4 Q. And there are people that you know of that are in
selling 5 business of acquiring drums, reconditioning them, and
6 them; correct?
7 A. Yes.
8 Q. And you're not aware of any particular names of any
9 particular places in Kansas; correct?
10 A. Correct.

11 Q. When the FBI had you calling up a lot of people,
did they
12 have you call up reconditioners and ask them how many
drums
13 they handle?
14 A. No.
15 Q. Did the FBI give you a list of the questions that
you were
16 supposed to ask the witnesses that you were
interviewing on
17 their behalf?
18 A. I think I gave them a list of questions.
19 Q. Did they accept your list?
20 A. I believe so.
21 Q. Did you make a report to the FBI, a written report
as to
22 your findings?
23 A. No.
24 Q. Did you keep notes as to your findings?
25 A. Yes, I did.

10983

Theodore Udell – Recross

1 Q. Do you have the notes?
2 A. Not with me.
3 Q. All right. And what -- would those notes tell us
what
4 questions you asked and what answers you received?

5 A. Yes.

6 Q. After you interviewed these witnesses on behalf of
the FBI,

7 you rendered a report orally?

8 A. Yes.

9 Q. Were you ever asked to make a written report?

10 A. No.

11 Q. Did you tape-record the interviews?

12 A. No.

13 Q. And when you say you're certain -- did you know all
of

14 these people you were calling up personally?

15 A. No.

16 Q. Did you know some of them?

17 A. Yes.

18 Q. And had you been at trade shows with them?

19 A. Some of them.

20 Q. Yes. They're your competitors; right?

21 A. Yes.

22 Q. Okay. And where are the notes today of your
conversations

23 with these people?

24 A. I believe my office.

25 MR. TIGAR: Nothing further, your Honor.

1 MR. MEARNS: Just one question, your Honor.

2 THE COURT: All right.

3 REDIRECT EXAMINATION

4 BY MR. MEARNS:

5 Q. Did you reduce the results of your telephone calls
to a
6 chart form?

7 A. Yes, I did.

8 Q. And do you have in your folder Government's Exhibit
2055?
9 Do you have a --

10 THE COURT: I'm not going to receive it
without the
11 notes, and we're not going to have him go back and get
the
12 notes.

13 MR. MEARNS: I wasn't intending to offer it.
I was
14 just going to have him identify it.

15 THE COURT: Well, if you're not going to offer
it, why
16 identify it?

17 MR. MEARNS: Simply to indicate that Mr. Tigar
has the
18 results of that study, Government's Exhibit 2055 --

19 MR. TIGAR: I object to the colloquy, your
Honor.

20 THE COURT: Sustained. Stricken.

21 MR. MEARNS: I'm sorry, your Honor. I was
trying to

22 answer the question.

23 THE COURT: He was asked about notes, not
about a

24 chart.

25 MR. MEARNS: I have no further questions.

10985

1 THE COURT: All right. So the witness may now
be

2 excused?

3 MR. TIGAR: Yes, your Honor.

4 THE COURT: You can go home.

5 THE WITNESS: Thank you.

6 THE COURT: Members of the jury, you can't go
home.

7 You can go to recess, and we'll take our usual recess
till 1:30

8 and, of course, with the usual cautions and conditions
of

9 keeping open minds, avoiding discussion of anything
connected

10 with this trial with yourselves, other jurors, and all
other

11 persons, and continuing to be watchful and careful
about things

12 outside of the evidence coming to your attention.

13 You're excused now till 1:30.

14 (Jury out at 12:00 p.m.)

15 THE COURT: Okay. 1:30.

16 (Recess at 12:00 p.m.)

17 * * * * *

18

19

20

21

22

23

24

25

10986

1 INDEX

Page 2 Item

3 WITNESSES

4 Richard Buechele

5 Direct Examination Continued by Ms. Wilkinson
10841

6 Voir Dire Examination by Mr. Tigar
10843

7 Cross-examination by Mr. Tigar

10846						
	8	Tony Tikuisis				
	9	Direct Examination by Mr. Mearns				
10855						
	10	Voir Dire Examination by Mr. Tigar				
10867						
	11	Direct Examination Continued by Mr. Mearns				
10868						
	12	Cross-examination by Mr. Tigar				
10875						
	13	Redirect Examination by Mr. Mearns				
10935						
	14	Recross-examination by Mr. Tigar				
10940						
	15	Theodore Udell				
	16	Direct Examination by Mr. Mearns				
10943						
	17	Cross-examination by Mr. Tigar				
10951						
	18	Redirect Examination by Mr. Mearns				
10977						
	19	Recross-examination by Mr. Tigar				
10980						
	20	Redirect Examination by Mr. Mearns				
10984						
	21	PLAINTIFF'S EXHIBITS				
	22	Exhibit	Offered	Received	Refused	Reserved
Withdrawn						
	23	190A	10867	10867		
	24	190B	10870	10871		
	25	785	10842	10845		

1 PLAINTIFF'S EXHIBITS (continued)

Withdrawn

2	Exhibit	Offered	Received	Refused	Reserved
3	785C	10865	10865		
4	785A	10870	10870		
5	786E	10865	10866		
6	786A	10869	10869		

7 DEFENDANT'S EXHIBITS

Withdrawn

8	Exhibit	Offered	Received	Refused	Reserved
9	E79	10891	10892		
10	E80	10912	10912		
11	E99	10876	10876		

12 * * * * *

13 REPORTERS' CERTIFICATE

14 transcript from

We certify that the foregoing is a correct

Dated

15 the record of proceedings in the above-entitled matter.

16 at Denver, Colorado, this 26th day of November, 1997.

17

18

19

Paul Zuckerman

20

Carpenter

21

22

23

24

25

Bonnie